	Turbot County Work Bession Teorating 7,	
	Page 1	
1	COUNTY COUNCIL OF TALBOT COUNTY, MARYLAND	
2		
3		
4	Council Work Session	
5	Regarding the Lakeside Project	
6		
7	February 7, 2022; 5:00 p.m.	
8		
9		
10	Via Webex Events	
11		
12		
13	COUNCIL MEMBERS:	
14	Chuck F. Callahan	
15	Pete Lesher	
16	Frank Divilio	
17	Corey W. Pack	
18	Laura E. Price	
19		
20	Reported by	
21	Diane Houlihan	

			, ,
	Page 2		Page 4
1	TRANSCRIPT OF PROCEEDINGS	1	we've heard in the past several months. So we
2		2	need to give them an opportunity to explain
3	MR. CALLAHAN: I'd like to start off	3	some of this stuff that's been said to help the
4	welcoming everybody to the work session	4	public feel more comfortable, more positive of
5	tonight.	5	what Trappe is doing. Because at the end of
6	And I thought it was very, very crucial	6	the day, we want a safe environment, we want a
7	with some of the material that we've heard over	7	healthy environment. And at the same time, we
8	the last several months to bring all the	8	want to support a municipality like Trappe, we
9	parties to the table. I thought it was for	9	want to support them.
10	the Lakeside project.	10	So hopefully the information presented
11	Specifically, as the project relates to	11	tonight will better inform Council, as us, to
12	308, which proposes rescinding 281 in its	12	consider the pending resolutions. So the stuff
13	entirety. And the Resolution 313, which	13	that we hear tonight hopefully helps the
14	proposes to impose additional conditions on	14	public, the Council sort of decide on the
15	Lakeside, on phase one to existing Trappe	15	situation, and make us feel more comfortable,
16	wastewater.	16	makes the community more comfortable on what
17	We've heard many concerns expressed by the	17	we're going to be listening to.
18	public over the last past few months, and I	18	So at this time, what I'd like to do, I'd
19	really want to truly help everybody understand	19	like to turn it over to Patrick Thomas, which
20	because there's a lot of people in the county	20	is our county attorney, to briefly summarize
21	that are very, very concerned. I'm concerned,	21	the water and sewer and the water, the
	Page 3		Page 5
1	Council is concerned. And I think this will	1	comprehensive, I'm sorry, comprehensive water
2	help find some of the solutions tonight in what	2	and sewer plan process.
3	we've heard.	3	So Patrick, could you help the public and
4	And this is supposed to be a very, very	4	help everybody understand sort of where we're
5	positive meeting to help everybody understand	5	at at this point. It will be very, very
6	some of the facts, some of the stuff we're	6	helpful.
7	hearing, bring the public eyes into where to	7	MR. THOMAS: Yes. Thank you, Council
8	simplify what 308 means and 281 means. That's	8	President.
9	why I'm here tonight and Council is here	9	So each Maryland county and Baltimore City
10	tonight, to listen and help provide information	10	is required by law to adopt a comprehensive
11	to the public.	11	water and sewer plan. And this plan, it's a
12	This is an opportunity for staff to	12	planning tool for the provision of adequate
13	explain the comprehensive water and sewer plan,	13	water supply systems and sewer systems
14	generally specifically for 281. So we have	14	throughout the county, including within its
15	gotten staff here to help people understand	15	municipalities.
16	what this means because it is complicated to	16	And it doesn't just address existing
17	somebody that is not used to hearing these	17	systems, it also looks at planned systems and
18	terms. And we're going to try to simplify it	18	looks ahead at least ten years.
19	so the public understands it.	19	And no water supply system or sewer system
20	This is an opportunity to allow Trappe and	20	can be installed or extended in the county,
21	the developer to address the concerns that	21	including in any municipality, unless it

			D 0
1	Page 6 conforms to the plan.	1	Page 8 Public Works Advisory Board make their findings
2	These plans are required by Title 9,	2	and recommendations, the amendment will come
3	Subtitle 5 of the Environmental Article and	3	back to Council for a public hearing and vote.
4	Title 26, Subtitle 3, Chapter 1 of the Code of	4	If the Planning Commission has certified
5	Maryland Regulations.	5	that the proposed amendment is not consistent
6	They can be amended from time to time, but	6	with the Comprehensive Plan, then the Council
7	there are certain requirements.	7	cannot act upon it in its current form,
8	In Talbot County, the amendment process	8	although it does have the option of amending it
9	works like this. There's either an application	9	and sending it back to the Planning Commission,
10	from a party that seeks an amendment for a	10	Public Works Advisory Board.
11	particular system. It might be a residential	11	If the Council does not amend the
	property owner looking to convert from a		
12		12	resolution, then typically what happens is the
13	existing septic system to hook up to a sewer	13	Council members that sponsored the resolution
14	system. More relevant, the instant matter, a	14	will withdraw it and it die for lack of a
15	municipality may be seeking to construct a new	15	sponsor.
16	water or sewer system or upgrade an existing	16	But if the Council votes to adopt the
17	one. And then the county can also initiate	17	resolution, if the Planning Commission has
18	amendments.	18	given its certification, then this adoption is
19	And when a proposed amendment to the plan	19	a preliminary approval. It still has to go to
20	comes in, whether it's initiated by application	20	the Maryland Department of Environment for
21	or by the county, it's first introduced by the	21	review and final approval. And that's because
	Page 7		Page 9
1	Council in the form of a resolution. And then	1	pursuant to 9507A of the Environment Article,
2	that resolution is sent to the Planning	2	MDE has final authority over amendments to a
3	Commission and the Public Works Advisory Board	3	county comprehensive water and sewer plan.
4	for review and recommendations.	4	It can approve that amendment, disapprove
5	And that's because under 9506A of the	5	it, whether in whole or in part, it can modify
6	Environment Article, the Planning Commission	6	it, or it can take any other action that it
7	must first certify that a proposed amendment is	7	deems appropriate. Thus, until finally
8	consistent with the county's Comprehensive	8	approved by MDE, all amendments to the plan are
9	Plan, which is a separate plan from the	9	really preliminary or proposed amendments. MDE
10	comprehensive water and sewer plan, before the	10	has the final authority to review and approve
11	Council can act upon it.	11	these plans.
12	Specifically, the Planning Commission must	12	So this was where the problem lies with a
13	determine whether a proposed amendment to the	13	county governing body rescinding approval of
14	plan will further, and not be contrary to,	14	amendment. Because it's approved by MDE, the
15	certain items in the Comprehensive Plan, as set	15	amendment becomes part of the county's plan.
16	forth in 1-303 of the Land Use Article.	16	The county can't, in my opinion, cannot simply
17	And these are policies, timing of the	17	undo or rescind its approval at that point
18	implementation, timing of development, timing	18	because that would essentially take, usurp
19	of rezoning, development patterns, land uses,	19	MDE's ultimate authority over the plan, which
		20	
20	and densities or intensities.	20	is in clear violation of the statutory and
20 21	and densities or intensities. So once the Planning Commission and the	20	regulatory schemes.

	-		-
	Page 10		Page 12
1	And I think that same issue applies when	1	taking this back, in reconsidering what was
2	a Planning Commission attempts to rescind a	2	done in 281?
3	previous finding that a proposed amendment is	3	MR. THOMAS: I think the way to do that
4	consistent with the Comprehensive Plan, again,	4	would be to amend the plan. And it wouldn't
5	once it's been approved by MDE, because, again,	5	necessarily be to amend the plan to revert it
6	that would usurp MDE's ultimate authority over	6	back to whatever it was prior to 281.
7	the comprehensive water and sewer plan.	7	I think you would look at what
8	And I know rescission has gotten talked	8	specifically needs to be addressed as a result
9	about a lot here and I know the Waterman case	9	of 281, and then take it through the amendment
10	has been cited as authority to rescind. That's	10	process. And ultimately MDE would have the
11	really a different situation than what we're	11	final say over that.
12	talking about here. I mean in Waterman, that	12	MR. CALLAHAN: Okay, Mr. Lesher?
13	involved a county's discretionary waiver of a	13	MR. LESHER: Thank you.
14	five-year zoning hold for municipal	14	MR. CALLAHAN: Okay. If there's no other
15	annexations. And that's controlled under Local	15	questions with Council, let's Mr. Clarke,
16	Government Section 4416, where in that	16	are you with us?
17	situation, the county has the ultimate	17	MR. CLARKE: Yes, sir.
18	authority, as opposed to this situation where	18	MR. CALLAHAN: Sir, can you put some ligh
19	it's a separate statute and MDE has the final	19	on 281 for us?
20	authority.	20	MR. CLARKE: Sure thing. Again, I think
21	But that doesn't mean that the plan, once	21	as we have here on the talking points, just
	Page 11		Page 13
1	amended, can never be undone. It just means	1	kind of let you know how the process was
2	that it has to be undone or amended through the	2	initiated and what happened.
3	plan amendment process, as I've outlined here	3	Real quick. I mean one of the things, I
4	with MDE having the final authority.	4	guess back on April 23rd, I did send an e-mail
5	So with that sort of overview of the	5	out to the town and the developer basically
6	process, unless there are any questions from	6	advising them that per my conversations with
7	Council, I'll turn it over to the county	7	the county attorney at that time, this is April
8	engineer to talk more about Resolution 281	8	of 2019, we were looking at trying to do I
9	specifically.	9	guess an update to the comprehensive water and
10	MR. CALLAHAN: Council, we're going to	10	sewer plan by doing like a new report of the
11	hand it off to Ray.	11	review. And my e-mail basically was asking the
12	Would Council like to address anything	12	town to start bringing together the
13	that Patrick said at this time? Okay. All	13	information, the maps and whatnot, for their
14	right.	14	sewer service areas as well as whatever they
15	MR. LESHER: Could we talk further about	15	had as far capital improvement projects.
16	that, though? That if 281 were to be undone,	16	Basically, that kind of was moving a
17	that your advice, your opinion on this is that	17	little slow.
18	rescission alone or rescission is not the route	18	Then I think it was on actually on
19	to do that.	19	June 27th, I had a meeting with Delmarva Power,
20	But could rescission be a necessary part	20	which basically they had a map, a phasing plan,
21	of a package with perhaps something else in	21	for the Trappe East development, or Lakeside

	Page 14		Page 16
1	development. And during that, I sent and I	1	would you go a little bit slower, if you would,
2	was also learning that they were looking at	2	please.
3	trying to I guess move forward with the	3	MR. CLARKE: On July 8th, the County
4	development in February of 2020.	4	Council actually had a town meeting. And
5	So ultimately, I sent an e-mail to	5	during that meeting, one of the commissioners
6	(inaudible) Broadband, Lyndsey Ryan letting	6	for the town was explaining that they were
7	them know that if this is the intent, the	7	moving forward working with the developer on
8	county, we have to you need to plan out the	8	the Lakeside development to start in
9	sewer services and S-1 and S-2 areas.	9	January 2020.
10	S-1 basically by our definition, I was	10	So I sent an e-mail to Janice Oden
11	explaining that in my e-mail, would be building	11	explaining to her that we need to have the comp
12	something within the one to three-year period.	12	water and sewer plan amended. At the same
13	Anything after the three, let's say three to	13	time, also highlighted during my e-mail to
14	five year, would be S-2 based upon our	14	Janice that what we were working off of and
15	definitions.	15	what MDE had been working off is that the plan,
16	At the same time, I was also alerting them	16	within the comp water and sewer plan, 2002
17	to the fact that right now, this was June, and	17	report of the review, working off of the S-2,
18	we receive our applications for amending the	18	W-2, the future planning map. So that was in
19	comp water and sewer plan four times a year,	19	my e-mail to Janice Oden.
20	which was, at that time, the last legislative	20	It was on actually September 5th, I
21	day of June and then September, December, and	21	basically sent an e-mail to Steve Alfaro and
	Page 15		Page 17
1	March.	1	ultimately asking Steve I have not heard back
2	So I was trying to explain to them we only		
	, , ,	2	from Janice on my e-mail. Found out she had
3	have two more introduction periods if you're	3	from Janice on my e-mail. Found out she had been moved to another program.
3 4			•
	have two more introduction periods if you're	3	been moved to another program.
4	have two more introduction periods if you're trying to move this thing forward for February.	3 4 5	been moved to another program. So ultimately Steve sent my e-mail on to I
4 5	have two more introduction periods if you're trying to move this thing forward for February. During that, basically sent that off to	3 4 5	been moved to another program. So ultimately Steve sent my e-mail on to I guess Dinorah Dalmasy and Nicolai Francis, as
4 5 6	have two more introduction periods if you're trying to move this thing forward for February. During that, basically sent that off to them, I also was in communication with MDE. So	3 4 5 6	been moved to another program. So ultimately Steve sent my e-mail on to I guess Dinorah Dalmasy and Nicolai Francis, as well as Robin Pellicano, who is the chief for
4 5 6 7	have two more introduction periods if you're trying to move this thing forward for February. During that, basically sent that off to them, I also was in communication with MDE. So I sent an e-mail to MDE I believe it was on	3 4 5 6 7	been moved to another program. So ultimately Steve sent my e-mail on to I guess Dinorah Dalmasy and Nicolai Francis, as well as Robin Pellicano, who is the chief for the water and sewer planning. So that went
4 5 6 7 8	have two more introduction periods if you're trying to move this thing forward for February. During that, basically sent that off to them, I also was in communication with MDE. So I sent an e-mail to MDE I believe it was on July, July 9th. And at the time, I'm sending	3 4 5 6 7 8	been moved to another program. So ultimately Steve sent my e-mail on to I guess Dinorah Dalmasy and Nicolai Francis, as well as Robin Pellicano, who is the chief for the water and sewer planning. So that went over.
4 5 6 7 8 9	have two more introduction periods if you're trying to move this thing forward for February. During that, basically sent that off to them, I also was in communication with MDE. So I sent an e-mail to MDE I believe it was on July, July 9th. And at the time, I'm sending it to Janice Oden explaining to her that we	3 4 5 6 7 8 9	been moved to another program. So ultimately Steve sent my e-mail on to I guess Dinorah Dalmasy and Nicolai Francis, as well as Robin Pellicano, who is the chief for the water and sewer planning. So that went over. And then Dinorah e-mailed me back. We had
4 5 6 7 8 9	have two more introduction periods if you're trying to move this thing forward for February. During that, basically sent that off to them, I also was in communication with MDE. So I sent an e-mail to MDE I believe it was on July, July 9th. And at the time, I'm sending it to Janice Oden explaining to her that we heard from I guess the County Council had a	3 4 5 6 7 8 9	been moved to another program. So ultimately Steve sent my e-mail on to I guess Dinorah Dalmasy and Nicolai Francis, as well as Robin Pellicano, who is the chief for the water and sewer planning. So that went over. And then Dinorah e-mailed me back. We had a conference call. Ultimately, during that
4 5 6 7 8 9 10 11	have two more introduction periods if you're trying to move this thing forward for February. During that, basically sent that off to them, I also was in communication with MDE. So I sent an e-mail to MDE I believe it was on July, July 9th. And at the time, I'm sending it to Janice Oden explaining to her that we heard from I guess the County Council had a meeting with the towns the night before. And	3 4 5 6 7 8 9 10	been moved to another program. So ultimately Steve sent my e-mail on to I guess Dinorah Dalmasy and Nicolai Francis, as well as Robin Pellicano, who is the chief for the water and sewer planning. So that went over. And then Dinorah e-mailed me back. We had a conference call. Ultimately, during that conference call, the position of MDE was
4 5 6 7 8 9 10 11 12	have two more introduction periods if you're trying to move this thing forward for February. During that, basically sent that off to them, I also was in communication with MDE. So I sent an e-mail to MDE I believe it was on July, July 9th. And at the time, I'm sending it to Janice Oden explaining to her that we heard from I guess the County Council had a meeting with the towns the night before. And ultimately	3 4 5 6 7 8 9 10 11 12	been moved to another program. So ultimately Steve sent my e-mail on to I guess Dinorah Dalmasy and Nicolai Francis, as well as Robin Pellicano, who is the chief for the water and sewer planning. So that went over. And then Dinorah e-mailed me back. We had a conference call. Ultimately, during that conference call, the position of MDE was everything within the town they considered as
4 5 6 7 8 9 10 11 12 13	have two more introduction periods if you're trying to move this thing forward for February. During that, basically sent that off to them, I also was in communication with MDE. So I sent an e-mail to MDE I believe it was on July, July 9th. And at the time, I'm sending it to Janice Oden explaining to her that we heard from I guess the County Council had a meeting with the towns the night before. And ultimately MR. CALLAHAN: Ray, can I I want to	3 4 5 6 7 8 9 10 11 12 13	been moved to another program. So ultimately Steve sent my e-mail on to I guess Dinorah Dalmasy and Nicolai Francis, as well as Robin Pellicano, who is the chief for the water and sewer planning. So that went over. And then Dinorah e-mailed me back. We had a conference call. Ultimately, during that conference call, the position of MDE was everything within the town they considered as immediate priority status for water and sewer
4 5 6 7 8 9 10 11 12 13 14	have two more introduction periods if you're trying to move this thing forward for February. During that, basically sent that off to them, I also was in communication with MDE. So I sent an e-mail to MDE I believe it was on July, July 9th. And at the time, I'm sending it to Janice Oden explaining to her that we heard from I guess the County Council had a meeting with the towns the night before. And ultimately MR. CALLAHAN: Ray, can I I want to make sure you're talking in 2019.	3 4 5 6 7 8 9 10 11 12 13 14	been moved to another program. So ultimately Steve sent my e-mail on to I guess Dinorah Dalmasy and Nicolai Francis, as well as Robin Pellicano, who is the chief for the water and sewer planning. So that went over. And then Dinorah e-mailed me back. We had a conference call. Ultimately, during that conference call, the position of MDE was everything within the town they considered as immediate priority status for water and sewer service. And by our definitions, that's S-1.
4 5 6 7 8 9 10 11 12 13 14 15	have two more introduction periods if you're trying to move this thing forward for February. During that, basically sent that off to them, I also was in communication with MDE. So I sent an e-mail to MDE I believe it was on July, July 9th. And at the time, I'm sending it to Janice Oden explaining to her that we heard from I guess the County Council had a meeting with the towns the night before. And ultimately MR. CALLAHAN: Ray, can I I want to make sure you're talking in 2019. MR. CLARKE: This is, correct. I	3 4 5 6 7 8 9 10 11 12 13 14 15	been moved to another program. So ultimately Steve sent my e-mail on to I guess Dinorah Dalmasy and Nicolai Francis, as well as Robin Pellicano, who is the chief for the water and sewer planning. So that went over. And then Dinorah e-mailed me back. We had a conference call. Ultimately, during that conference call, the position of MDE was everything within the town they considered as immediate priority status for water and sewer service. And by our definitions, that's S-1. So that's S-1 or W-1, which would be immediate
4 5 6 7 8 9 10 11 12 13 14 15 16	have two more introduction periods if you're trying to move this thing forward for February. During that, basically sent that off to them, I also was in communication with MDE. So I sent an e-mail to MDE I believe it was on July, July 9th. And at the time, I'm sending it to Janice Oden explaining to her that we heard from I guess the County Council had a meeting with the towns the night before. And ultimately MR. CALLAHAN: Ray, can I I want to make sure you're talking in 2019. MR. CLARKE: This is, correct. I apologize. This is all 2019. It is July 9th	3 4 5 6 7 8 9 10 11 12 13 14 15	been moved to another program. So ultimately Steve sent my e-mail on to I guess Dinorah Dalmasy and Nicolai Francis, as well as Robin Pellicano, who is the chief for the water and sewer planning. So that went over. And then Dinorah e-mailed me back. We had a conference call. Ultimately, during that conference call, the position of MDE was everything within the town they considered as immediate priority status for water and sewer service. And by our definitions, that's S-1. So that's S-1 or W-1, which would be immediate priority status.
4 5 6 7 8 9 10 11 12 13 14 15 16 17	have two more introduction periods if you're trying to move this thing forward for February. During that, basically sent that off to them, I also was in communication with MDE. So I sent an e-mail to MDE I believe it was on July, July 9th. And at the time, I'm sending it to Janice Oden explaining to her that we heard from I guess the County Council had a meeting with the towns the night before. And ultimately MR. CALLAHAN: Ray, can I I want to make sure you're talking in 2019. MR. CLARKE: This is, correct. I apologize. This is all 2019. It is July 9th of 2019.	3 4 5 6 7 8 9 10 11 12 13 14 15 16 17	been moved to another program. So ultimately Steve sent my e-mail on to I guess Dinorah Dalmasy and Nicolai Francis, as well as Robin Pellicano, who is the chief for the water and sewer planning. So that went over. And then Dinorah e-mailed me back. We had a conference call. Ultimately, during that conference call, the position of MDE was everything within the town they considered as immediate priority status for water and sewer service. And by our definitions, that's S-1. So that's S-1 or W-1, which would be immediate priority status. So ultimately we talked, we had a
4 5 6 7 8 9 10 11 12 13 14 15 16 17 18	have two more introduction periods if you're trying to move this thing forward for February. During that, basically sent that off to them, I also was in communication with MDE. So I sent an e-mail to MDE I believe it was on July, July 9th. And at the time, I'm sending it to Janice Oden explaining to her that we heard from I guess the County Council had a meeting with the towns the night before. And ultimately MR. CALLAHAN: Ray, can I I want to make sure you're talking in 2019. MR. CLARKE: This is, correct. I apologize. This is all 2019. It is July 9th of 2019. MR. CALLAHAN: Okay	3 4 5 6 7 8 9 10 11 12 13 14 15 16 17	been moved to another program. So ultimately Steve sent my e-mail on to I guess Dinorah Dalmasy and Nicolai Francis, as well as Robin Pellicano, who is the chief for the water and sewer planning. So that went over. And then Dinorah e-mailed me back. We had a conference call. Ultimately, during that conference call, the position of MDE was everything within the town they considered as immediate priority status for water and sewer service. And by our definitions, that's S-1. So that's S-1 or W-1, which would be immediate priority status. So ultimately we talked, we had a conversation. I took exception to MDE's

			<i></i>
	Page 18		Page 20
1	that's identified within the section for future	1	Mark Cohoon from my office developed the
2	planning, that ultimately it notes that this,	2	maps. We forwarded the maps over to them.
3	in the future planning, as the land is annexed	3	I will say, and I highlight this I think
4	into the town, that ultimately they have to	4	in a text message I had back in the summer to
5	it will be designated S-1 after the	5	one of the citizens, when the county prepared
6	comprehensive water and sewer plan was amended.	6	the 2002 report of the review, we actually used
7	So that's in the plan.	7	Maryland Property View, which is a product
8	So ultimately, through that, Robin kind of	8	that's developed by the Maryland Department of
9	summarizes her e-mail back to me and ultimately	9	Planning. And it was really kind of, it was
10	says that whatever designation you want to use	10	let's say elementary-type GIS.
11	is fine with them but you have to work with the	11	It took parcel maps that were in the tax
12	town and the developer on the process.	12	books, they were typically paper, and then
13	So then communicated that back to the town	13	ultimately they linked the SDAT data to those
14	and said look, we need to start the process of	14	tax maps. So that's pretty much what Property
15	amending the comp water and sewer plan.	15	View was.
16	We then had a couple of meetings with the	16	Now, what a lot of people don't realize
17	town as well as the developer. And we began	17	when you get into GIS, GIS basically is trying
18	the process.	18	to convert earth, which is a circular, they're
19	My office began the development of the	19	flat maps. So there's a lot of what they call
20	maps. We forwarded those maps over to the town	20	rubber sheeting. So as time goes on since
21	for the town as well as the developer for their	21	2002, the Maryland Property View that we were
	Page 19		Page 21
1	review.	1	using and all the rubber sheeting that's gone
2	We ended up having I believe it was a	2	on, our eyes were not matching like what we had
3	meeting on, what was it, a meeting on	3	back in 2002.
4	November 30th to discuss the maps. So we did	4	So we're doing our best to try to bring
5	that. And then ultimately we worked with the	5	the maps together. And I think we
6	town as well as the developer in the crafting	6	inadvertently made, my office made mistakes in
7	of the resolution.	7	accidently classifying this property, that
8	Before that, one of the things I was	8	property wrong.
9	trying to explain to the town and the	9	And so one of the things that happened
10	developer, it would be nice if you guys had	10	when we were doing the 2002 report of the
11	your phasing consistent with the S-1 and the	11	review, we ended up taking Clifton and the Town
12	W-2, S-1 and W-1, which was immediate priority	12	of Easton and we left them out. We completely
13	status, and then have it basically the future	13	left them out. I mean this was an area that's
14	show S-2, W-2.	14	served with water and sewer service, we left
15	At that point, the town that was my	15	them out.
16	recommendation. The town says, the town and	16	And ultimately, I think Easton Utilities
17	developer are going no, we want it all S-1.	17	was looking at trying to do grant funding.
18	And so it's, again, that's their request. So	18	They were looking at the comp water and sewer
19	we went and moved forward with their request.	19	plan, they're going, Ray, we don't have this in
20	So that's kind of how we initiated the	20	the comp water and sewer plan.
21	process, communication back and forth.	21	So talking with MDE, we processed

	Tuiou County		
	Page 22		Page 24
1	Resolution 229 and we identified the mistake	1	ahead, Mr. Lesher.
2	and then ultimately moved forward. Council	2	MR. LESHER: I've got two.
3	introduced that, adopted it, it went to MDE and	3	One, the process of correcting mapping
4	got approved.	4	errors that may have been made in 281, there
5	So that's what we're proposing to do with	5	are I guess two categories of errors. One is
6	the mistakes that we initiated in our office	6	properties that had previously been classified
7	with some of the parcels.	7	S-1, W-1 that were inadvertently given a lower
8	But again, it's a process that we go	8	classification in the mapping. If that clearly
9	through of really going back to the comp water	9	was unintentional, there's what you're
10	and sewer plan, amending it through that	10	saying is there's a legislative fix for that.
11	process to show, to get everything back to what	11	MR. CLARKE: That is. And again, we're
12	it was. So that's kind of the game plan.	12	MR. LESHER: What about properties that
13	I guess, who reviews it. I mean a lot of	13	may have been not part of Lakeside but were
14	times what we're doing is as we're developing	14	inadvertently were included along with Lakeside
15	things, we're working with the Town of Trappe,	15	with an upgrade without all the review
16	we're working with the developer, we're working	16	processes that usually go through with those?
17	with Office of Law, we're working also with MDE	17	We would reclassify those as well?
18	letting them know what is happening, how this	18	MR. CLARKE: Correct. What we're going to
19	is being crafted and drafted. And then	19	do is we're going to take everything back, back
20	ultimately, once the Council has introduced	20	to what it was.
21	that, we're giving those resolutions to MDE so	21	So it would be a very descriptive
	Page 23		Page 25
1	they're aware of what is happening with the	1	resolution. Those properties that were let's
2	comprehensive water and sewer plan amendments.	2	say classified as S-1 and were let's say now
3	So that's basically through that process.	3	unprogrammed, we'll bring them back and we'll
4	I think at that point, as the Council	4	very clearly identify that they were S-1 prior
5	knows, we went through public hearing. During	5	to Resolution 281, improperly mapped. These
6	that public hearing, I think there was a	6	properties, the tax map and parcel number,
7	question about why are we reclassifying the	7	should be designated S-1, W-1, or whatever. If
8	whole area as S-1, W-1, immediate priority	8	it's a split classification, we would do that.
9	status, when that's really not going to happen.	9	And if we accidently took something that
10	And I think at the public hearing, I believe it	10	was let's say was unprogrammed and made it S-1,
11	was the developer that said look, we're willing	11	we'll then take that back to basically
12	to have the lower two-fifths of the development	12	unprogrammed and we'll identify that in the new
13	just remain as S-2.	13	resolution or amendment. And then that then
14	So that's where Resolution 281 was	14	brings it back to what it was.
15	amended. It was amended to show the bottom	15	I think the key is whatever was in the
16	two-fifths of the development as S-2 and W-2.	16	text really should be the defining, defines
17	So that's basically how that set up.	17	what was to be converted S-1 W-1 so that and
18	The other item I guess is I'll kind of	18	we did this, like I said.
19	turn it over to, unless y'all have any	19	Resolution 229, we accidently did that
20		20	with Clifton. It was basically S-1, W-1. And
1	questions. Any questions at this point?	20	with Chiton. It was busically 5 1, w 1. 7 and

Page 26 Page 26 Page 26 Page 26 I then the future plan and then ultimately showed that as \$-2. 2 that as \$-2. 3 it was a minor mapping error, it was a mapping error on our part. And then ultimately went through the process of amending the plan. 5 through the process of amending the plan. 6		Taibot County		<u> </u>
ti was a minor mapping error, it was a mapping a troop on up part. And then ultimately went to the report of the review. He report, the may adopted and show the maps accordingly. So again, it was a minor mapping error, it was a mapping at the same adopted and show the maps accordingly. So again, it was a minor mapping form, it was a mapping and the figure 23 shows the, as of that plan, the present map of all the sewer area, but showing in that case the future. And can you — and of course, there's accompanying text on that as well. But can you explain a little bit more in depth about those figures and their relevance to Lakeside? 10 ment of a report of the review, the report, the 10 ment starting point. So that was the intent of a faston as well as the Town of Trappe moving forward with the towns. I mean two have to work with the towns. I mean to reason and the warp to address the concerns of the towns at that time, and address the concerns of the towns at that time.		Page 26		Page 28
3 it was a minor mapping error, it was a mapping 4 error on our part. And then ultimately went 5 through the process of amending the plan. 6 MR_LESHER: Thank you. 7 Second question, which is a little bit 8 more into the weeds of the comprehensive water 19 and sewer plan of 2002. There are a couple of 10 figures in there in the Trappe area showing 11 mapping. And the figure 23 shows the, as of 12 that plan, the present map of all the sewer 13 designations, S-1, S-2, S-3, unprogrammed. And 14 figure 24 is a map showing essentially the same 15 area, but showing in that case the future. 16 And can you and of course, there's 17 accompanying text on that as well. But can you 18 explain a little bit more in depth about those 19 figures and their relevance to Lakeside? 20 MR, CLARKE: Basically, again, going back 21 to the report of the review, the report, the 10 method of the congrethensive water and sewer 11 intent of a report of the review is basically 22 to take all the previous resolutions that were 23 adopted and show the maps accordingly. 24 So again, it would be reclassified into the town, then they should move forward 25 was identified and they should move forward 26 with that. 27 was identified and they should move forward 28 with that. 28 MR, LESHER: But those areas identified in 18 figure 24, they were not changed by the 29 adoption of the comprehensive water and sewer 29 lag. Think in talking with MDE, and 21 to the report of the review is basically 20 to take all the previous resolutions that were 21 adoption of the county? 22 distance and their relevance to Lakeside? 3 adopted and show the maps accordingly. 3 adopted and show the maps accordingly. 4 So again, it would be reclassified to the town, then they should move forward 21 was identified and they should move forward 22 with that. 23 MR. LESHER: It think in talking with MDE, and 24 they would be changed by the individuent length of the county would med to take it to S-1. As it moved 25 discussions with the municipalities, which this 26 is the 200	1	unprogrammed. So we worked with the State,	1	then the future plan and then ultimately showed
through the process of amending the plan. MR. LESHER: Thank you. Second question, which is a little bit more into the weeds of the comprehensive water and sewer plan of 2002. There are a couple of figures in there in the Trappe area showing mapping. And the figure 23 shows the, as of that plan, the present map of all the sewer area, but showing in that case the future. And can you — and of course, there's area, but showing in that case the future. And can you — and of course, there's area, but showing in that case the future. MR. CLARKE: It link in talking with MDE, and their relevance to Lakeside? MR. CLARKE: Basically, again, going back to the report of the review, the report, the message adopted and show the maps accordingly. So again, it would be like taking everything that was adopted prior to 2002, updating those in new maps, and then our forward with annexations. And then our discussions with the municipalities, which this is something as we're updating these documents, we're in communication with the towns. I mean we have to work with the towns. I mean we have to work with the towns. I mean to the course of the course of the towns. I mean we have to work with the towns. I mean to the course of the course of the towns. I mean to the course of the course of the course of the towns. I mean to the darks our and forward with annexation of the towns. I mean to the darks our of the saically adding this future planning the intent of the saically adding this future planning to address the concerns of the towns at that time. MR. CLARKE: Right thus, and they were to changed by the adoption of the courty in figure 24, they were not changed by the adoption of the comprehensive water and sewer plan. They would be comprehensive water and sewer plan. They would be comprehensive and they was identified and they should move forward with that. MR. CLARKE: But those areas identified in figure 24, they were not changed by the adoption of the comprehensive was identified in figure 24, they were not changed by the a	2	identified those parcels, and said this is	2	that as S-2.
through the process of amending the plan. MR. LESHER: Thank you. Second question, which is a little bit more into the weeds of the comprehensive water and sewer plan of 2002. There are a couple of figures in there in the Trappe area showing mapping. And the figure 23 shows the, as of that plan, the present map of all the sewer designations, S-1, S-2, S-3, unprogrammed. And figure 24 is a map showing essentially the same from a companying text on that as well. But can you sexplain a little bit more in depth about those figures and their relevance to Lakeside? MR. CLARKE: Basically, again, going back to the report of the review, the report, the solopted and show the maps accordingly. So again, it would be like taking to take all the previous resolutions that were phane aloopted and show the maps accordingly. So again, it would be like taking However, at that time, we had the Town of Easton as well as the Town of Trappe moving forward with annexations. And then our discussions with the municipalities, which this is something as we're updating these documents, we're in communication with the towns. I mean swe have to work with the towns. I man the town, then they should be reclassified by was identified and they should move forward with that. MR. LESHER: But those areas identified in figure 24, they were not changed by the adoption of the comprehensive water and sewer plan. They would be changed by actual legislation addressing those specific parcels down the line? MR. CLARKE: I think in talking with MDE, and there were some discussions I think with MDE where they actually classified those as S-2, W-2 I think back in 2008, if I'm not mistaken. There was discussions between the county attorney at that time and MDE. And ultimately MDE took the position I think that MR. LESHER: Without the legislative action of the county? MR. CLARKE: Well, no. I think what they looked at is they looked at the long-range plan, in the plan those are now S-2, W-2. MR. LESHER: The anticipation was in 2002 that within ten years,	3	it was a minor mapping error, it was a mapping	3	But again, in the text, what we do
by amending the plan to S-1 and W-1. So that Second question, which is a little bit more into the weeds of the comprehensive water and sewer plan of 2002. There are a couple of ligures in there in the Trappe area showing that plan, the present map of all the sewer designations, S-1, S-2, S-3, upprogrammed. And difigure 24 is a map showing essentially the same designations, S-1, S-2, S-3, upprogrammed. And difigure 24 is a map showing essentially the same larea, but showing in that case the future. And can you — and of course, there's accompanying text on that as well. But can you explain a little bit more in depth about those ligures and their relevance to Lakeside? MR. CLARKE: Basically, again, going back to the report of the review, the report, the Tage 27 to take all the previous resolutions that were adopted and show the maps accordingly. So again, it would be like taking to take all the previous resolutions that were updating those in new maps, and then that's our new starting point. So that was the intent of the 2002 report of the review. However, at that time, we had the Town of Easton as well as the Town of Trappe moving forward with annexations. And then our discussions with the municipalities, which this is is something as we're updating these documents, we're in communication with the towns. And through my discussions with MDE and the Maryland Department of Planning, the intent of basically adding this future planning section was probably — was a way of trying to address the concerns of the towns at that time. by amending the plan to S-1 and W-1. So that was identified and they should move forward with that. MR. LESHER: But those areas identified in lifigure 24, they were not changed by the adoption of the comprehensive water and sewer plan. So MR. LESHER: Ithink in talking with MDE, and there were some discussions I think with MDE where they actually classified those as S-2, W-2 I think back in 2008, if I'm not mistaken. There was discussions between the county attached they should breve seas	4	error on our part. And then ultimately went	4	identify is that as those areas are annexed
more into the weeds of the comprehensive water and sewer plan of 2002. There are a couple of figures in there in the Trappe area showing 10 figures in there in the Trappe area showing 11 mapping. And the figure 23 shows the, as of 12 that plan, the present map of all the sewer 13 designations, S-1, S-2, S-3, unprogrammed. And 14 figure 24 is a map showing essentially the same 15 area, but showing in that case the future. 15 And can you - and of course, there's 16 accompanying text on that as well. But can you 18 explain a little bit more in depth about those 19 figures and their relevance to Lakeside? 10 MR. CLARKE: Basically, again, going back 21 to the report of the review, the report, the 20 take all the previous resolutions that were 3 adopted and show the maps accordingly. 21 to take all the previous resolutions that were 3 adopted and show the maps accordingly. 22 everything that was adopted prior to 2002, 40 updating those in new maps, and then that's our 19 mew starting point. So that was the intent of 10 Easton as well as the Town of 11 forward with annexations. And then our 11 developed prior to 2002, 4 we're in communication with the towns. 11 we're in communication with the towns. 12 daddress the concerns of the towns with MDE and 15 we have to work with the towns. 11 mean 15 we have to work with the towns. 12 daddress the concerns of the towns at that time. 20 address the concerns of the towns at that time. 20 address the comprehensive water and sewer plan. They would be changed by the adoption of the comprehensive water and sewer plan. They would be changed by the adoption of the comprehensive water and sewer plan. They would be changed by the adoption of the comprehensive water and sewer plan. They would be changed by the adoption of the comprehensive water and sewer plan. They would be changed by the adoption of the comprehensive water and sever plan. They would be	5	through the process of amending the plan.	5	into the town, then they should be reclassified
more into the weeds of the comprehensive water and sewer plan of 2002. There are a couple of figures in there in the Trappe area showing that plan, the present map of all the sewer designations, S-1, S-2, S-3, unprogrammed. And figure 24 is a map showing essentially the same area, but showing in that case the future. And can you and of course, there's cacompanying text on that as well. But can you explain a little bit more in depth about those figures and their relevance to Lakeside? MR. CLARKE: Basically, again, going back to the report of the review, the report, the Page 27 intent of a report of the review is basically cverything that was adopted prior to 2002, updating those in new maps, and then that's our new starting point. So that was the intent of the 2002 report of the review. However, at that time, we had the Town of Easton as well as the Town of Trappe moving forward with annexations. And then our discussions with the municipalities, which this we have to work with the towns. I mean we have to work with the towns. I mean to has clarked. MR. LESHER: But those areas identified in figure 24, they were not changed by the adoption of the comprehensive water and sewer plan. They would be changed by actual legislation addressing those specific parcels down the line? MR. CLARKE: I think in talking with MDE, and there were some discussions l think with MDE where they actually classified those as S-2, W-2 I think back in 2008, if Tm not mistaken. There was discussions between the county attorney at that time and MDE. And ultimately MDE took the position I think that Page 29 those were S-2, W-2 areas. MR. LESHER: But those area idoption of the comprehensive water and sewer plan. So MR. CLARKE: Well, on. I think what they looked at is they looked at the long-range plan, in the plan those are now S-2, W-2. MR. CLARKE: Right. MR. LESHER: But those area idoption of the comprehensive water and sever plan. So with tat. MR. LESHER: But those area sever plan. The purch of the comprehensive water and sev	6	MR. LESHER: Thank you.	6	by amending the plan to S-1 and W-1. So that
9 and sewer plan of 2002. There are a couple of figures in there in the Trappe area showing mapping. And the figure 23 shows the, as of that plan, the present map of all the sewer that plan, the plan that sewer that time, and then our that as well. But can you explain a little bit more in depth about those the positions I think with MDE and there were some discussions I think with MDE and there were some discussions I think with MDE and there were some discussions I think with MDE and there were some discussions I think with MDE and there were some discussions I think with MDE and there were some discussions I think with MDE and there were some discussions I think with MDE and there were some discussions I think with MDE and there were some discussions I think with MDE and there were some discussions I think with MDE and there were some discussions I think with MDE and there were some discussions I think with the towe were some discussions I think with the twere the posturall	7	Second question, which is a little bit	7	was identified and they should move forward
figures in there in the Trappe area showing mapping. And the figure 23 shows the, as of that plan, the present map of all the sewer plan. They would be changed by actual plan, the comprehensive water and sewer plan. They would be changed by actual legislation addressing those specific parcels down the line? It mapping. And the figure 24 is a map showing essentially the same plan. They would be changed by actual legislation addressing those specific parcels down the line? It minute the sewer some discussions I think with MDE and there were some discussions I think with MDE. And ultimately mDE took the position I think that the were some discussions I think with MDE and there were some discussions I think with MDE and ultimately MDE took the position I think that the sexer the report of the review is basically additing the search plan in the plan those are now S-2, W-2. It intent of a report of the review is basically to take all the previous resolutions that were adopted and show the maps accordingly. It intent of a report of the review is basically to take all the previous resolutions that were adopted and show the maps accordingly. It intent of a report of the review is basically addit	8	more into the weeds of the comprehensive water	8	with that.
that plan, the present map of all the sewer designations, S-1, S-2, S-3, unprogrammed. And figure 24 is a map showing essentially the same figure 24 is a map showing essentially the same area, but showing in that case the future. And can you and of course, there's area plan it little bit more in depth about those explain a little bit more in depth about those grigures and their relevance to Lakeside? MR. CLARKE: Basically, again, going back to the report of the review, the report, the growth to take all the previous resolutions that were a adopted and show the maps accordingly. So again, it would be like taking everything that was adopted prior to 2002, updating those in new maps, and then that's our new starting point. So that was the intent of the 2002 report of the review. However, at that time, we had the Town of Tapppe moving forward with annexations. And then our least on the map according the something as we're updating these documents, we're in communication with the towns. I mean we have to work with the towns. I mean the Maryland Department of Planning, the intent of basically adding this future planning section was probably was a way of trying to address the concerns of the towns at that time.	9	and sewer plan of 2002. There are a couple of	9	MR. LESHER: But those areas identified in
that plan, the present map of all the sewer designations, S-1, S-2, S-3, unprogrammed. And figure 24 is a map showing essentially the same area, but showing in that case the future. And can you — and of course, there's accompanying text on that as well. But can you like explain a little bit more in depth about those explain a little bit more in depth about those maps, and their relevance to Lakeside? MR. CLARKE: Basically, again, going back to the report of the review, the report, the Page 27	10	figures in there in the Trappe area showing	10	figure 24, they were not changed by the
designations, S-1, S-2, S-3, unprogrammed. And figure 24 is a map showing essentially the same area, but showing in that case the future. And can you — and of course, there's accompanying text on that as well. But can you explain a little bit more in depth about those 18 explain a little bit more in depth about those 19 figures and their relevance to Lakeside? 10 MR. CLARKE: Basically, again, going back 21 to the report of the review, the report, the 21 intent of a report of the review is basically 22 to take all the previous resolutions that were 3 adopted and show the maps accordingly. 4 So again, it would be like taking 5 everything that was adopted prior to 2002, 46 updating those in new maps, and then that's our 7 new starting point. So that was the intent of 8 the 2002 report of the review. 9 However, at that time, we had the Town of 10 Easton as well as the Town of Trappe moving 11 forward with annexations. And then our discussions with the municipalities, which this we have to work with the towns. 14 we're in communication with the towns. 15 we have to work with the towns. 16 And through my discussions with MDE and 17 the Maryland Department of Planning, 19 section was probably — was a way of trying to 20 address the concerns of the towns at that time. 20 to address the concerns of the towns at that time. 20 to address the concerns of the towns at that time. 20 to address the concerns of the towns at that time.	11	mapping. And the figure 23 shows the, as of	11	adoption of the comprehensive water and sewer
14 figure 24 is a map showing essentially the same 15 area, but showing in that case the future. 16 And can you and of course, there's 17 accompanying text on that as well. But can you 18 explain a little bit more in depth about those 19 figures and their relevance to Lakeside? 20 MR. CLARKE: Basically, again, going back 21 to the report of the review, the report, the 20 intent of a report of the review is basically 21 to take all the previous resolutions that were 23 adopted and show the maps accordingly. 24 So again, it would be like taking 25 everything that was adopted prior to 2002, 26 updating those in new maps, and then that's our 27 new starting point. So that was the intent of 28 the 2002 report of the review. 29 However, at that time, we had the Town of 10 Easton as well as the Town of Trappe moving 11 forward with annexations. And then our 12 discussions with the towns. 13 is something as we're updating these documents, we're in communication with the towns. 14 MR. LESHER: The anticipation was in 2002 15 MR. LESHER: The anticipation was in 2002 16 MR. CLARKE: Rejth. 17 MR. CLARKE: Rejth. 18 MR. LESHER: The anticipation was in 2002 19 MR. LESHER: The anticipation was in 2002 20 mR. LESHER: Technically not to do that. 21 In the Maryland Department of Planning, the intent of basically adding this future planning 22 manufacture. 23 MR. LESHER: The anticipation was in 2002 24 MR. LESHER: The anticipation was in 2002 25 MR. CLARKE: Right. 26 MR. LESHER: The anticipation was in 2002 27 MR. CLARKE: Technically not to do that. 28 MR. LESHER: Technically not to do that. 29 MR. CLARKE: Technically not to do that. 20 MR. CLARKE: Technically not to do that. 21 MR. CLARKE: Technically not to do that. 22 My concern was 23 MR. LESHER: The were not in as of what point? As of if this was intended to be a ten-year look. 30 MR. LESHER: The anticipation was in 2002 31 MR. LESHER: The anticipation was in 2002 32 MR. LESHER: The anticipation was in 2002 33 MR. LESHER: The anticipation was in 2002 34 MR. LESHER: Th	12	that plan, the present map of all the sewer	12	plan. They would be changed by actual
15 area, but showing in that case the future. 16 And can you and of course, there's 17 accompanying text on that as well. But can you 18 explain a little bit more in depth about those 19 figures and their relevance to Lakeside? 20 MR. CLARKE: Basically, again, going back 21 to the report of the review, the report, the Page 27 1 intent of a report of the review is basically 2 to take all the previous resolutions that were 3 adopted and show the maps accordingly. 4 So again, it would be like taking 5 everything that was adopted prior to 2002, 6 updating those in new maps, and then that's our 7 new starting point. So that was the intent of 8 the 2002 report of the review. 9 However, at that time, we had the Town of 10 Easton as well as the Town of Trappe moving 11 forward with annexations. And then our 12 discussions with the municipalities, which this 13 is something as we're updating these documents, we're in communication with the towns. 14 MR. CLARKE: I think in talking with MDE, and there were some discussions I think with 17 MDE where they actually classified those as 8 S-2, W-2 I think back in 2008, if I'm not mistaken. There was discussions between the county attorney at that time and MDE. And ultimately MDE took the position I think that 20 those were S-2, W-2 areas. MR. LESHER: Without the legislative action of the county? MR. CLARKE: Well, no. I think what they looked at the long-range plan, in the plan those are now S-2, W-2. Mg. CLARKE: They were not in as of what point? As of – if this was intended to be a ten-year look. MR. LESHER: The anticipation was in 2002 that within ten years, this development was coming, that the county would need to take legislative action in order to change that unprogrammed area into S-2? MR. CLARKE: Teahnically not to do that. It would be to take it to S-1. As it moved from being annexed into the town, we would need to address the concerns of the towns at that time.	13	designations, S-1, S-2, S-3, unprogrammed. And	13	legislation addressing those specific parcels
And can you — and of course, there's accompanying text on that as well. But can you 18 explain a little bit more in depth about those 19 figures and their relevance to Lakeside? 19 mistaken. There was discussions between the 20 mistaken. There was discussions between the 21 to the report of the review, the report, the 21 tinent of a report of the review is basically 22 to take all the previous resolutions that were 3 adopted and show the maps accordingly. 4 So again, it would be like taking 5 everything that was adopted prior to 2002, 6 updating those in new maps, and then that's our 7 new starting point. So that was the intent of 8 the 2002 report of the review. 9 However, at that time, we had the Town of 10 Easton as well as the Town of Trappe moving 11 discussions with the municipalities, which this is something as we're updating these documents, we're in communication with the towns. 14 we're in communication with the towns. 15 MR. CLARKE: Right. 16 MDE where they actually classified those as 8 S-2, W-2 I think back in 2008, if I'm not mistaken. There was discussions between the 20 county attorney at that time and MDE. And ultimately MDE took the position I think that 20 those were S-2, W-2 areas. 20 MR. LESHER: Without the legislative action of the county? 4 MR. CLARKE: Well, no. I think what they 10 looked at is they looked at the long-range plan, in the plan those are now S-2, W-2. 3 MR. LESHER: They were not in as of what 20 point? As of if this was intended to be a 20 ten-year look. 21 MR. CLARKE: Right. 3 MR. CLARKE: Technically not to do that. 3 It would be to take it to S-1. As it moved 20 address the concerns of the towns at that time. 20 to amend the comp water and sewer plan. So	14	figure 24 is a map showing essentially the same	14	down the line?
accompanying text on that as well. But can you sexplain a little bit more in depth about those explain a little bit more in depth about those figures and their relevance to Lakeside? MR. CLARKE: Basically, again, going back to the report of the review, the report, the Page 27 intent of a report of the review is basically to take all the previous resolutions that were adopted and show the maps accordingly. So again, it would be like taking everything that was adopted prior to 2002, building those in new maps, and then that's our new starting point. So that was the intent of Easton as well as the Town of Trappe moving forward with annexations. And then our lass something as we're updating these documents, we're in communication with the towns. And through my discussions with MDE and we have to work with the towns. And through my discussions with MDE and for basically adding this future planning section was probably was a way of trying to address the concerns of the towns at that time.	15	area, but showing in that case the future.	15	MR. CLARKE: I think in talking with MDE,
18 explain a little bit more in depth about those 19 figures and their relevance to Lakeside? 20 MR. CLARKE: Basically, again, going back 21 to the report of the review, the report, the 21 intent of a report of the review is basically 22 to take all the previous resolutions that were 3 adopted and show the maps accordingly. 4 So again, it would be like taking 5 everything that was adopted prior to 2002, 6 updating those in new maps, and then that's our 7 new starting point. So that was the intent of 8 the 2002 report of the review. 9 However, at that time, we had the Town of 10 Easton as well as the Town of Trappe moving 11 forward with annexations. And then our 12 discussions with the municipalities, which this 13 is something as we're updating these documents, 14 we're in communication with the towns. 15 And through my discussions with MDE and 16 Maryland Department of Planning, the intent 17 the Maryland Department of Planning, the intent 18 of basically adding this future planning 19 section was probably was a way of trying to 20 address the concerns of the towns at that time. 21 lit would be to take it to S-1. As it moved 22 to take all the previous, the review, the report, the 24 those were S-2, W-2 areas. 25 MR. LESHER: Without the legislative action of the county? 26 MR. CLARKE: Well, no. I think what they 27 looked at is they looked at the long-range plan, in the plan those are now S-2, W-2. 28 MR. LESHER: They were not in as of what point? As of if this was intended to be a ten-year look. 30 MR. CLARKE: Right. 31 It within ten years, this development was coming, that the county would need to take legislative action in order to change that unprogrammed area into S-2? 31 MR. CLARKE: Technically not to do that. 32 It would be to take it to S-1. As it moved from being annexed into the town, we would need to address the concerns of the towns at that time.	16	And can you and of course, there's	16	and there were some discussions I think with
figures and their relevance to Lakeside? MR. CLARKE: Basically, again, going back to the report of the review, the report, the Page 27 intent of a report of the review is basically to take all the previous resolutions that were adopted and show the maps accordingly. So again, it would be like taking everything that was adopted prior to 2002, and with the was atting point. So that was the intent of the 2002 report of the review. However, at that time, we had the Town of Easton as well as the Town of Trappe moving forward with annexations. And then our discussions with the municipalities, which this we're in communication with the towns. I mean we have to work with the towns. And through my discussions with MDE and the Maryland Department of Planning, the intent of basically adding this future planning section was probably was a way of trying to address the concerns of the towns at that time.	17	accompanying text on that as well. But can you	17	MDE where they actually classified those as
20 MR. CLARKE: Basically, again, going back 21 to the report of the review, the report, the 21 ultimately MDE took the position I think that 22 ultimately MDE took the position I think that 23 ultimately MDE took the position I think that 24 ultimately MDE took the position I think that 25 ultimately MDE took the position I think that 26 ultimately MDE took the position I think that 27 everything that were 32 untimately MDE took the position I think that 28 ultimately MDE took the position I think that 28 ultimately MDE took the position I think that 29 everything that were 32 mk. LESHER: Without the legislative 33 action of the county? 4 MR. CLARKE: Well, no. I think what they 34 looked at is they looked at the long-range 35 looked at is they looked at the long-range 36 plan, in the plan those are now S-2, W-2. 36 MR. LESHER: They were not in as of what 36 point? As of if this was intended to be a 37 ten-year look. 37 looked 37 ten-year look. 38 MR. LESHER: The anticipation was in 2002 37 that within ten years, this development was 38 coming, that the county would need to take 39 legislative action in order to change that 39 unprogrammed area into S-2? 39 MR. CLARKE: Technically not to do that. 39 It would be to take it to S-1. As it moved 39 from being annexed into the town, we would need 40 daddress the concerns of the towns at that time. 30 to amend the comp water and sewer plan. So	18	explain a little bit more in depth about those	18	S-2, W-2 I think back in 2008, if I'm not
21 to the report of the review, the report, the Page 27 intent of a report of the review is basically to take all the previous resolutions that were adopted and show the maps accordingly. So again, it would be like taking everything that was adopted prior to 2002, updating those in new maps, and then that's our new starting point. So that was the intent of the 2002 report of the review. However, at that time, we had the Town of Easton as well as the Town of Trappe moving forward with annexations. And then our discussions with the municipalities, which this something as we're updating these documents, we're in communication with the towns. And through my discussions with MDE and And through my discussions with MDE and And through my discussions with the towns at that time. United those were S-2, W-2 areas. MR. LESHER: Without the legislative action of the county? MR. CLARKE: Well, no. I think what they looked at its hey looked at the long-range plan, in the plan those are now S-2, W-2. My concern was MR. LESHER: They were not in as of what point? As of if this was intended to be a ten-year look. MR. CLARKE: Right. MR. LESHER: The anticipation was in 2002 that within ten years, this development was coming, that the county would need to take legislative action in order to change that unprogrammed area into S-2? MR. CLARKE: Technically not to do that. It would be to take it to S-1. As it moved from being annexed into the town, we would need to address the concerns of the towns at that time.	19	figures and their relevance to Lakeside?	19	mistaken. There was discussions between the
Page 27 Intent of a report of the review is basically to take all the previous resolutions that were adopted and show the maps accordingly. So again, it would be like taking 4 MR. CLARKE: Well, no. I think what they looked at is they looked at the long-range plan, in the plan those are now S-2, W-2. MR. LESHER: Without the legislative action of the county? MR. CLARKE: Well, no. I think what they looked at is they looked at the long-range plan, in the plan those are now S-2, W-2. MR. LESHER: They were not in as of what point? As of if this was intended to be a ten-year look. MR. CLARKE: Right. MR. LESHER: They are not in as of what point? As of if this was intended to be a ten-year look. MR. CLARKE: Right. MR. LESHER: The anticipation was in 2002 that within ten years, this development was coming, that the county would need to take legislative action in order to change that unprogrammed area into S-2? MR. LESHER: The anticipation was in 2002 that within ten years, this development was coming, that the county would need to take legislative action in order to change that unprogrammed area into S-2? MR. CLARKE: Technically not to do that. It would be to take it to S-1. As it moved from being annexed into the town, we would need address the concerns of the towns at that time.	20	MR. CLARKE: Basically, again, going back	20	county attorney at that time and MDE. And
1 intent of a report of the review is basically 2 to take all the previous resolutions that were 3 adopted and show the maps accordingly. 4 So again, it would be like taking 5 everything that was adopted prior to 2002, 6 updating those in new maps, and then that's our 7 new starting point. So that was the intent of 8 the 2002 report of the review. 9 However, at that time, we had the Town of 10 Easton as well as the Town of Trappe moving 11 forward with annexations. And then our 12 discussions with the municipalities, which this 13 is something as we're updating these documents, 14 we're in communication with the towns. 15 And through my discussions with MDE and 16 Maryland Department of Planning, the intent 17 of basically adding this future planning 18 of dadress the concerns of the towns at that time. 19 intent of take all the previous resolutions that were 20 address the concerns of the towns at that time. 21 those were S-2, W-2 areas. 22 MR. LESHER: Without the legislative 22 action of the county? 3 action of the county? 4 MR. CLARKE: Well, no. I think what they 24 looked at is they looked at the long-range 26 plan, in the plan those are now S-2, W-2. 4 My concern was 5 MR. LESHER: They were not in as of what 26 point? As of if this was intended to be a 27 ten-year look. 28 MR. LESHER: The anticipation was in 2002 38 that within ten years, this development was 3 coming, that the county would need to take 3 action of the county? 4 MR. CLARKE: Right. 5 MR. CLARKE: Right. 6 Planning was intended to be a 6 plan, in the plan those are now S-2, W-2. 7 My concern was 8 MR. LESHER: They were not in as of what 10 ten-year look. 11 MR. CLARKE: Right. 12 MR. CLARKE: The anticipation was in 2002 4 that within ten years, this development was 14 coming, that the county would need to take 15 legislative action in order to change that 16 unprogrammed area into S-2? 17 MR. CLARKE: Technically not to do that. 18 It would be to take it to S-1. As it moved 19 from being annexed into the town, we would need 20 to amend	21	to the report of the review, the report, the	21	ultimately MDE took the position I think that
2 to take all the previous resolutions that were 3 adopted and show the maps accordingly. 4 So again, it would be like taking 5 everything that was adopted prior to 2002, 6 updating those in new maps, and then that's our 7 new starting point. So that was the intent of 8 the 2002 report of the review. 9 However, at that time, we had the Town of 10 Easton as well as the Town of Trappe moving 11 forward with annexations. And then our 12 discussions with the municipalities, which this 13 is something as we're updating these documents, 14 we're in communication with the towns. 15 we have to work with the towns. 16 And through my discussions with MDE and 17 the Maryland Department of Planning, the intent 18 of basically adding this future planning 19 section was probably was a way of trying to 20 address the concerns of the towns at that time. 2 MR. LESHER: Without the legislative action of the county? MR. CLARKE: Well, no. I think what they blooked at is they looked at the long-range plan, in the plan those are now S-2, W-2. MR. LESHER: They were not in as of what point? As of if this was intended to be a ten-year look. MR. CLARKE: Right. MR. LESHER: The anticipation was in 2002 that within ten years, this development was coming, that the county would need to take legislative action in order to change that unprogrammed area into S-2? MR. CLARKE: Technically not to do that. It would be to take it to S-1. As it moved from being annexed into the town, we would need to amend the comp water and sewer plan. So		Page 27		Page 29
adopted and show the maps accordingly. So again, it would be like taking everything that was adopted prior to 2002, updating those in new maps, and then that's our new starting point. So that was the intent of the 2002 report of the review. However, at that time, we had the Town of Easton as well as the Town of Trappe moving forward with annexations. And then our discussions with the municipalities, which this sis something as we're updating these documents, we're in communication with the towns. And through my discussions with MDE and had through my discussions with MDE and had through my discussions with MDE and had adopted and show the maps accordingly. MR. CLARKE: Well, no. I think what they looked at is they looked at the long-range plan, in the plan those are now S-2, W-2. My concern was MR. LESHER: They were not in as of what point? As of if this was intended to be a ten-year look. MR. CLARKE: Right. MR. CLARKE: Right. MR. LESHER: The anticipation was in 2002 that within ten years, this development was coming, that the county would need to take legislative action in order to change that unprogrammed area into S-2? MR. CLARKE: Technically not to do that. It would be to take it to S-1. As it moved from being annexed into the town, we would need to amend the comp water and sewer plan. So	1	intent of a report of the review is basically	1	those were S-2, W-2 areas.
So again, it would be like taking everything that was adopted prior to 2002, updating those in new maps, and then that's our new starting point. So that was the intent of the 2002 report of the review. However, at that time, we had the Town of Easton as well as the Town of Trappe moving forward with annexations. And then our sis something as we're updating these documents, we're in communication with the towns. And through my discussions with MDE and And through my discussions with our the Maryland Department of Planning, the intent MR. CLARKE: Well, no. I think what they looked at is they looked at the long-range plan, in the plan those are now S-2, W-2. My concern was MR. LESHER: They were not in as of what point? As of if this was intended to be a ten-year look. MR. CLARKE: Right. MR. CLARKE: Right. MR. LESHER: The anticipation was in 2002 that within ten years, this development was coming, that the county would need to take legislative action in order to change that unprogrammed area into S-2? MR. CLARKE: Technically not to do that. It would be to take it to S-1. As it moved from being annexed into the town, we would need to amend the comp water and sewer plan. So	2	to take all the previous resolutions that were	2	MR. LESHER: Without the legislative
everything that was adopted prior to 2002, by updating those in new maps, and then that's our new starting point. So that was the intent of the 2002 report of the review. However, at that time, we had the Town of Easton as well as the Town of Trappe moving forward with annexations. And then our discussions with the municipalities, which this is something as we're updating these documents, we're in communication with the towns. I mean we have to work with the towns. And through my discussions with MDE and the Maryland Department of Planning, the intent for basically adding this future planning section was probably was a way of trying to address the concerns of the towns at that time. blooked at is they looked at the long-range plan, in the plan those are now S-2, W-2. My concern was MR. LESHER: They were not in as of what point? As of if this was intended to be a ten-year look. MR. LESHER: The anticipation was in 2002 that within ten years, this development was coming, that the county would need to take unprogrammed area into S-2? MR. CLARKE: Technically not to do that. It would be to take it to S-1. As it moved from being annexed into the town, we would need to amend the comp water and sewer plan. So	3	adopted and show the maps accordingly.	3	action of the county?
plan, in the plan those are now S-2, W-2. plan in the plan those are now S-2, W-2. plan in the plan those are now S-2, W-2. plan in the plan those are now S-2, W-2. plan in the plan those are now S-2, W-2. plan in the plan those are now S-2, W-2. plan in the plan those are now S-2. plan in the plan in so in the town in as of what ten-year in the secundary in the ten-year in the ten-year in the ten-year in the secundary in the ten-year in the ten-y	4	So again, it would be like taking	4	MR. CLARKE: Well, no. I think what they
new starting point. So that was the intent of the 2002 report of the review. However, at that time, we had the Town of Easton as well as the Town of Trappe moving forward with annexations. And then our discussions with the municipalities, which this is something as we're updating these documents, we're in communication with the towns. And through my discussions with MDE and And through my discussions with MDE and forward Department of Planning, the intent forward with annexations. And then our forward with annexations was intended to be a ten-year look. MR. CLARKE: Right. MR. LESHER: They were not in as of what ten-year look. In MR. CLARKE: The anticipation was in 2002 that within ten years, this development was coming, that the county would need to take unprogrammed area into S-2? MR. CLARKE: Technically not to do that. MR. CLARKE: Technically n	5	everything that was adopted prior to 2002,	5	looked at is they looked at the long-range
the 2002 report of the review. However, at that time, we had the Town of Easton as well as the Town of Trappe moving to Easton as well as the Town of Trappe moving to Easton as well as the Town of Trappe moving to Easton as well as the Town of Trappe moving to Easton as well as the Town of Trappe moving to Easton as well as the Town of Trappe moving to Easton as well as the Town of Trappe moving to Easton as well as the Town of Trappe moving to Easton as well as the Town of Trappe moving to Easton as well as the Town of Trappe moving to Easton as well as the Town of Trappe moving to Easton as well as the Town of Trappe moving to Easton as well as the Town of Trappe moving to Easton as well as the Town of Trappe moving the LESHER: They were not in as of what the soft of this was intended to be a ten-year look. MR. CLARKE: Right. MR. LESHER: They were not in as of what the velocity as intended to be a ten-year look. MR. CLARKE: Right. MR. LESHER: They were not in as of what the velocity as intended to be a ten-year look. MR. CLARKE: Right. MR. LESHER: They were not in as of what the velocity as intended to be a ten-year look. MR. CLARKE: Right. MR. LESHER: They were not in as of what the velocity. MR. CLARKE: The anticipation was in 2002 that within ten years, this development was coming, that the county would need to take to Easton was in 2002 that within ten years, this development was that within ten years, this development was that within ten years, this development was to we're in communication with the towns in 2002 that within ten years, this development was that within ten years, the selection in order to change that unprogrammed area into S-2? MR.	6	updating those in new maps, and then that's our	6	plan, in the plan those are now S-2, W-2.
However, at that time, we had the Town of Easton as well as the Town of Trappe moving forward with annexations. And then our discussions with the municipalities, which this is something as we're updating these documents, we're in communication with the towns. I mean we have to work with the towns. And through my discussions with MDE and And through my discussions with MDE and forward with annexations. And then our MR. CLARKE: Right. MR. LESHER: The anticipation was in 2002 that within ten years, this development was coming, that the county would need to take legislative action in order to change that unprogrammed area into S-2? MR. CLARKE: Technically not to do that. It would be to take it to S-1. As it moved from being annexed into the town, we would need address the concerns of the towns at that time.	7	new starting point. So that was the intent of	7	My concern was
Easton as well as the Town of Trappe moving forward with annexations. And then our discussions with the municipalities, which this is something as we're updating these documents, we're in communication with the towns. I mean we have to work with the towns. And through my discussions with MDE and the Maryland Department of Planning, the intent of basically adding this future planning section was probably was a way of trying to address the concerns of the towns at that time. In ten-year look. MR. CLARKE: Right. MR. LESHER: The anticipation was in 2002 that within ten years, this development was coming, that the county would need to take legislative action in order to change that unprogrammed area into S-2? MR. CLARKE: Technically not to do that. It would be to take it to S-1. As it moved from being annexed into the town, we would need to amend the comp water and sewer plan. So	8	the 2002 report of the review.	8	MR. LESHER: They were not in as of what
forward with annexations. And then our discussions with the municipalities, which this is something as we're updating these documents, we're in communication with the towns. I mean we have to work with the towns. And through my discussions with MDE and the Maryland Department of Planning, the intent of basically adding this future planning section was probably was a way of trying to address the concerns of the towns at that time. MR. CLARKE: Right. MR. LESHER: The anticipation was in 2002 that within ten years, this development was coming, that the county would need to take legislative action in order to change that unprogrammed area into S-2? MR. CLARKE: Technically not to do that. It would be to take it to S-1. As it moved from being annexed into the town, we would need to amend the comp water and sewer plan. So				,
discussions with the municipalities, which this is something as we're updating these documents, we're in communication with the towns. I mean we have to work with the towns. And through my discussions with MDE and the Maryland Department of Planning, the intent of basically adding this future planning section was probably was a way of trying to address the concerns of the towns at that time. MR. LESHER: The anticipation was in 2002 that within ten years, this development was coming, that the county would need to take legislative action in order to change that unprogrammed area into S-2? MR. CLARKE: Technically not to do that. It would be to take it to S-1. As it moved from being annexed into the town, we would need to amend the comp water and sewer plan. So	9	However, at that time, we had the Town of	9	•
is something as we're updating these documents, we're in communication with the towns. I mean we have to work with the towns. And through my discussions with MDE and the Maryland Department of Planning, the intent of basically adding this future planning section was probably was a way of trying to address the concerns of the towns at that time. It would be to take it to S-1. As it moved from being annexed into the town, we would need to amend the comp water and sewer plan. So				point? As of if this was intended to be a
we're in communication with the towns. I mean we have to work with the towns. Imean the manyland Department of Planning, the intent of basically adding this future planning section was probably was a way of trying to address the concerns of the towns at that time. we have to work with the towns. Imean the coming, that the county would need to take legislative action in order to change that unprogrammed area into S-2? MR. CLARKE: Technically not to do that. It would be to take it to S-1. As it moved from being annexed into the town, we would need to amend the comp water and sewer plan. So	10	Easton as well as the Town of Trappe moving	10	point? As of if this was intended to be a ten-year look.
we have to work with the towns. And through my discussions with MDE and the Maryland Department of Planning, the intent of basically adding this future planning section was probably was a way of trying to address the concerns of the towns at that time. Is legislative action in order to change that unprogrammed area into S-2? MR. CLARKE: Technically not to do that. It would be to take it to S-1. As it moved from being annexed into the town, we would need to amend the comp water and sewer plan. So	10 11	Easton as well as the Town of Trappe moving forward with annexations. And then our	10 11	point? As of if this was intended to be a ten-year look. MR. CLARKE: Right.
And through my discussions with MDE and the Maryland Department of Planning, the intent of basically adding this future planning section was probably was a way of trying to address the concerns of the towns at that time.	10 11 12	Easton as well as the Town of Trappe moving forward with annexations. And then our discussions with the municipalities, which this	10 11 12	point? As of if this was intended to be a ten-year look. MR. CLARKE: Right. MR. LESHER: The anticipation was in 2002
the Maryland Department of Planning, the intent of basically adding this future planning section was probably was a way of trying to address the concerns of the towns at that time. MR. CLARKE: Technically not to do that. It would be to take it to S-1. As it moved from being annexed into the town, we would need to amend the comp water and sewer plan. So	10 11 12 13	Easton as well as the Town of Trappe moving forward with annexations. And then our discussions with the municipalities, which this is something as we're updating these documents,	10 11 12 13	point? As of if this was intended to be a ten-year look. MR. CLARKE: Right. MR. LESHER: The anticipation was in 2002 that within ten years, this development was
of basically adding this future planning 18 It would be to take it to S-1. As it moved 19 section was probably was a way of trying to 20 address the concerns of the towns at that time. 20 to amend the comp water and sewer plan. So	10 11 12 13 14	Easton as well as the Town of Trappe moving forward with annexations. And then our discussions with the municipalities, which this is something as we're updating these documents, we're in communication with the towns. I mean	10 11 12 13 14	point? As of if this was intended to be a ten-year look. MR. CLARKE: Right. MR. LESHER: The anticipation was in 2002 that within ten years, this development was coming, that the county would need to take
section was probably was a way of trying to 20 address the concerns of the towns at that time. 19 from being annexed into the town, we would need 20 to amend the comp water and sewer plan. So	10 11 12 13 14 15	Easton as well as the Town of Trappe moving forward with annexations. And then our discussions with the municipalities, which this is something as we're updating these documents, we're in communication with the towns. I mean we have to work with the towns.	10 11 12 13 14 15	point? As of if this was intended to be a ten-year look. MR. CLARKE: Right. MR. LESHER: The anticipation was in 2002 that within ten years, this development was coming, that the county would need to take legislative action in order to change that
20 address the concerns of the towns at that time. 20 to amend the comp water and sewer plan. So	10 11 12 13 14 15 16	Easton as well as the Town of Trappe moving forward with annexations. And then our discussions with the municipalities, which this is something as we're updating these documents, we're in communication with the towns. I mean we have to work with the towns. And through my discussions with MDE and	10 11 12 13 14 15	point? As of if this was intended to be a ten-year look. MR. CLARKE: Right. MR. LESHER: The anticipation was in 2002 that within ten years, this development was coming, that the county would need to take legislative action in order to change that unprogrammed area into S-2?
	10 11 12 13 14 15 16 17	Easton as well as the Town of Trappe moving forward with annexations. And then our discussions with the municipalities, which this is something as we're updating these documents, we're in communication with the towns. I mean we have to work with the towns. And through my discussions with MDE and the Maryland Department of Planning, the intent	10 11 12 13 14 15 16 17	point? As of if this was intended to be a ten-year look. MR. CLARKE: Right. MR. LESHER: The anticipation was in 2002 that within ten years, this development was coming, that the county would need to take legislative action in order to change that unprogrammed area into S-2? MR. CLARKE: Technically not to do that.
21 So that was why we have the existing plan and 21 that was	10 11 12 13 14 15 16 17 18	Easton as well as the Town of Trappe moving forward with annexations. And then our discussions with the municipalities, which this is something as we're updating these documents, we're in communication with the towns. I mean we have to work with the towns. And through my discussions with MDE and the Maryland Department of Planning, the intent of basically adding this future planning	10 11 12 13 14 15 16 17	point? As of if this was intended to be a ten-year look. MR. CLARKE: Right. MR. LESHER: The anticipation was in 2002 that within ten years, this development was coming, that the county would need to take legislative action in order to change that unprogrammed area into S-2? MR. CLARKE: Technically not to do that. It would be to take it to S-1. As it moved
	10 11 12 13 14 15 16 17 18 19	Easton as well as the Town of Trappe moving forward with annexations. And then our discussions with the municipalities, which this is something as we're updating these documents, we're in communication with the towns. I mean we have to work with the towns. And through my discussions with MDE and the Maryland Department of Planning, the intent of basically adding this future planning section was probably was a way of trying to	10 11 12 13 14 15 16 17 18	point? As of if this was intended to be a ten-year look. MR. CLARKE: Right. MR. LESHER: The anticipation was in 2002 that within ten years, this development was coming, that the county would need to take legislative action in order to change that unprogrammed area into S-2? MR. CLARKE: Technically not to do that. It would be to take it to S-1. As it moved from being annexed into the town, we would need

			-
	Page 30		Page 32
1	MR. LESHER: You could do it directly from	1	priority status for water and sewer service.
2	unprogrammed to S-1?	2	MS. PRICE: This is Laura. Can I jump in
3	MR. CLARKE: Correct.	3	for a second?
4	MR. LESHER: That could happen in	4	You said a couple of times that MDE
5	legislative action as well?	5	classified it as S-2 and that they looked at
6	MR. CLARKE: Correct. But I think	6	the long-range plan and thought it was S-2
7	basically we made the decision, after my	7	because of a long-term position.
8	e-mails with MDE, to call it S-2.	8	And then you said we, I assume you mean
9	So I think, again, apologize for the	9	the county, took the position that it was S-2.
10	confusion for everybody, but we're basically	10	But you can't make it S-2 unless there's a
11	either starting from unprogrammed or S-2. So	11	resolution to legislate it as S-2. You can't
12	we're taking the plan and we want it to be S-1,	12	just assume because it's been three years, five
13	immediate priority status. That's what it	13	years, ten years, that it automatically
14	needs to be.	14	changes.
15	So we took the position that it was S-2,	15	And I do understand that we can take it
16	W-2. We didn't just go all the way back to	16	from unprogrammed straight to S-1. We don't
17	unprogrammed because it was already represented	17	have to go through the various steps to get
18	in the final long-range plan with MDE. And	18	there.
19	through the consultations with MDE, it became	19	But there's a lot of assumptions. And I
20	okay, really our end point is S-1. So we're	20	think that's where some of this angst is coming
21	trying to take it back to get it to S-1.	21	from, that things does that makes sense?
	Page 31		Page 33
1	MR. LESHER: I understand. But did the	1	I mean it's like you said it twice. MDE
2	adoption of the comprehensive water and sewer	2	looked at our long-range plan and said it was
3	plan in 2002 change the status? Or would it	3	S-2 and then we took the position that it was
4	have required a separate legislative action to	4	S-2. But the Council didn't.
5	change the status of that area from	5	MR. CLARKE: Well, again
6	unprogrammed to S-2?	6	MS. PRICE: So
7	MR. CLARKE: The way MDE looks at it, the	y 7	MR. CLARKE: I'm consulting with MDE
8	were looking at the comp water and sewer plan,	8	MS. PRICE: problematic.
9	the long-range plan. They saw that as S-2,	9	MR. CLARKE: they're the approving
10	S-2, W-2.	10	authority, and that's what they're telling me
11	Our intent	11	at that time. So that's
12	MR. LESHER: The ten-year intention at the	12	MS. PRICE: But they don't make the
13	time the plan was adopted would be that that	13	classification. The local government, the
14	would be S-2?	14	county, classifies it in our comp water and
15	MR. CLARKE: Correct, correct. And I	15	sewer plan.
16	think the key was is that was part of that	16	And then and MDE and they've said
17	future planning.	17	they don't make those classifications. They
18	But we highlighted within the text that if	18	might we send it to them. What does the
19	it's going to go, if it's annexed into the	19	county want it to be. We have a resolution.
20	town, that the comp water and sewer plan needs	20	We want it to be S-1, we want it to be XYZ.
21	to be amended to reclassify it as immediate	21	And MDE approves it.
	and the second se		1.12 2 appro . 00 10

	Page 34		Page 36
1	They don't question the county. They want	1	MR. CLARKE: Well, I mean one of the
2	to reflect what the county's desires are	2	things, again
3	MR. DIVILIO: debating	3	MS. PRICE: I'm not accusing anybody of
4	MS. PRICE: decision. We need to send	4	anything.
5	it to them first.	5	MR. DIVILIO: Okay. So
6	MR. DIVILIO: Are we debating	6	MS. PRICE: And I'm just trying to
7	MS. PRICE: No, no. I'm trying to clarify	7	MR. DIVILIO: did here.
8	because	8	MS. PRICE: I haven't I'm just trying
9	MR. DIVILIO: the county engineer.	9	to have him reiterate what he just said because
10	I'm completely lost here in the process.	10	that's a lot of information.
11	Mr. Lesher had two questions and now	11	So I'm just trying to get my notes written
12	MS. PRICE: No. I'm	12	down properly of what his conversation was with
13	MR. DIVILIO: Did you speak with Ray	13	MDE. That's all I want to know.
14	before the meeting?	14	I want to know who classified it. That's
15	MS. PRICE: No. I'm asking, I'm just	15	all I want to know.
16	asking a question. I'm not debating.	16	I'm not accusing anybody. So let's not
17	I'm just saying that the way he and I'm	17	devolve into this again. This is important,
18	sure everything he's saying, he's been there,	18	and I'm just trying to get clarity.
19	it's true. He's probably the longest term	19	MR. CLARKE: And maybe I can help.
20	person we have. I'm not questioning what was	20	MS. PRICE: Thank you, Ray.
21	said at all.	21	MR. CLARKE: Maybe I can help.
	Page 35		Page 37
1	I'm questioning that it seems a little odd	1	On January 9th of 2019, part of my e-mail
2	that MDE is classifying things, and they	2	to Janice Oden is I say back in 2007, 2008, MDE
3	have they don't the right nor the ability to	3	represented that the Talbot County
4	classify things. We classify and then they	4	comprehensive water and sewer plan classified
5	approve.	5	the proposed development as S-2, W-2, final
6	So this is weird	6	planning, which is COMAR definition, not the
7	MR. DIVILIO: So you're saying that MDE	7	Talbot County comp water and sewer plan
8	did something wrong.	8	definition.
9	MS. PRICE: happened.	9	So basically COMAR is what they call final
10	MR. DIVILIO: So Laura, wait. Laura, what	10	planning. Ours is a future programmed
11	accusations	11	planning.
12	MS. PRICE: (inaudible.)	12	So ultimately, at that time MDE issued the
13	MR. DIVILIO: I'm trying to write this	13	discharge permit, but the developer abandoned
14	down.	14	the permit due to the financial crisis of 2008.
15	Laura, what accusation are you saying MDE	15	During this period of time, there was some
16	did? What are you accusing them of doing	16	conflict between the two local governments.
17	wrong?	17	So my point is MDE is telling they were
18	MS. PRICE: I'm not	18	representing it was S-2, W-2. I was trying to
19	MR. DIVILIO: I'm sorry. Because I	19	get clarification from MDE on this. And then
20	thought I had all of this prepared before the	20	ultimately, in my e-mail that I received from
21	meeting. I'm sorry.	21	basically Robin Pellicano, she basically tells
	· • · · · · · · · · · · · · · · · · · ·	1	,

	-		-
	Page 38		Page 40
1	me, what was it here, if I can find the right	1	explaining that.
2	page here. She basically notes that to follow	2	So let's move on to, let's let Miguel say
3	up, in the past, we haven't requested phasing	3	a few words here.
4	amendments for large projects. If the county	4	Miguel, are you with us?
5	is now requiring phases for any large	5	MR. SALINAS: I am. Thank you. Sorry
6	project now, this is October 1, 2019 MDE	6	about that.
7	will accept that the county now requires this.	7	MR. CALLAHAN: No problem.
8	And for any future large project in the county,	8	MR. SALINAS: So yeah. Actually Ray and
9	the amendment will now have phases. We	9	Patrick actually covered a lot of it.
10	request, including policy text change	10	I just wanted to go back and remind
11	incorporation so any future developer	11	everybody that the process that went through
12	understands this. It is also my understanding	12	the approval of Resolution 281, the original
13	that MDE had issued permits before the S-2	13	Resolution of 281 before amendment number one
14	areas and has approved a discharge permit	14	came to the Planning Commission on
15	before this area for this area.	15	February 5th. And at that time, the Planning
16	After reading the category definition for	16	Commission made a motion to that it wasn't
17	category one, the plan says it is under	17	ready to make a finding of consistency yet with
18	construction. So as we understand it, the	18	the Comprehensive Plan. And they had
19	category change from S-2 to S-1 can occur when	19	recommended that the Council consider amendment
20	this area is under construction. So most, if	20	that would reflect a phase approach for S-1,
21	not all, permits would need to be approved	21	W-1 status.
	Page 39		Page 41
1	before construction.	1	And of course, that's what happened.
2	We look forward to the adopted amendment,	2	Amendment one was introduced. And it went back
3	which is reading when the water and sewer plan	3	to the Planning Commission for consistency.
4	amendments happen, it may occur sometime later	4	Just to remind everyone what consistency
5	in this year. Is this assumption correct?	5	means. For any amendment to the Comprehensive
6	Now, again, their position is that they	6	Plan, according to the Environmental Article of
7	feel that everything is S-1. And I was arguing	7	the Maryland Annotated Code as well as the Land
8	with them on that.	8	Use Article of that same code, that the
9	So again, they looked at it. And again,	9	Council, before they adopt any proposed
10	I've made the argument with them in my e-mails	10	amendment to the comprehensive water and sewer
11	back to say we need to reclassify the plan. We	11	plan, the Planning Commission must certify
12	need to reclassify this area to immediate	12	consistency with the Comprehensive Plan. And
13	priority status. And that's what I was trying	13	there are seven factors, regardless of how,
14	to communicate with MDE.	14	regardless of what the plan amendment is. The
15	MR. CALLAHAN: Does that	15	determination of consistency is always those
16	MR. LESHER: Thank you, Mr. Clarke.	16	seven factors.
17	MR. CALLAHAN: Thank you, Mr. Lesher.	17	One factor is the policies of the
18	Okay.	18	Comprehensive Plan. The other ones relate to
19	So anybody have anything else for	19	the timing of the implementation of the
20	Mr. Clarke? Okay.	20	Comprehensive Plan, the timing of the proposed
21	Appreciate it, Mr. Clarke. Good job	21	development, the timing of rezoning, the timing
		I	

			J
	Page 42		Page 44
1	of development patterns in that project, and	1	I actually missed the e-mail that I took
2	then the timing of land uses. I'm sorry. The	2	one this is the other e-mail that Robin sent
3	land uses and the densities or intensities.	3	me. It says in regard to
4	Those are the subfactors that are weighed	4	MR. CALLAHAN: Ray, Ray, hey, Ray.
5	against the policies that relate to those seven	5	MR. CLARKE: Yeah.
6	factors in the Comprehensive Plan.	6	MR. CALLAHAN: Just keep in mind now, you
7	So when that amendment came back to the	7	gave us a lot of information.
8	Planning Commission, the Planning Commission	8	MR. CLARKE: I know.
9	scheduled it for their March 24th or 20th,	9	MR. CALLAHAN: You're trying to do the
10	2020, meeting. But that was canceled due to	10	best you can. So just take your time.
11	COVID.	11	This isn't something here that it's going
12	So it moved on to the May 20th meeting	12	to involve, in my opinion, you doing something
13	where they heard testimony, kept the record	13	wrong at all. It's a matter of trying to get
14	open to June 2nd.	14	us the information the best you can.
15	And then they had a June 3rd meeting. The	15	And you're reading a lot of e-mails,
16	Planning Commission on June 3rd meeting	16	you're going back and forth, and you're trying
17	scheduled a continued the meeting to	17	to simplify this for us. And I appreciate
18	June 10th I believe. And then they made their	18	that.
19	motion at that time Resolution 281 with	19	MR. CLARKE: No problem.
20	amendment number one, that it was consistent	20	Well, again, this is the e-mail that Robin
21	with the Comprehensive Plan on a vote of three	21	did send to me. And I think it helps maybe
	Page 43		Page 45
1	to two.	1	clarify a few things.
2	And I guess that's all I can add at this	2	MR. CALLAHAN: That's good.
3	point, but I just wanted to remind everyone of	3	MR. CLARKE: In regards to the
4	what those seven factors are and that those	4	development, there have been in planned service
5	seven factors exist on any amendment to the	5	since at least 2002. And if they are ready to
6	comprehensive water and sewer plan.	6	proceeding, then they are ready to proceed.
7	MR. CALLAHAN: Thanks, Miguel.	7	To us at this point, it does not matter
8	Mr. Lesher, you have	8	what designation they have since they are
9	MR. LESHER: Mr. Callahan, we were not	9	planned for service.
10	hearing you.	10	So this is MDE, Robin Pellicano. If you
11	MR. CALLAHAN: Oh. I said I was asking	11	are concerned they do not have something other
12	did you have any questions for Miguel?	12	than the water and sewer plan amendment, please
13	MR. LESHER: I do not, at least not at	13	work with the town, developer to get what you
14	this time. Thank you.	14	need or let them know they should have whatever
15	MR. CALLAHAN: Okay. Council, Council,	15	it is.
16	Laura or Frank or Corey? Okay.	16	So again, MDE is not basically I'm
17	Ray, do you have any you're good right	17	trying to argue one. And they're going it
18	now? Can you put your speaker on? There you	18	doesn't matter what the classification is.
19	go.	19	We're not worried if it's unprogrammed or if
20	MR. CLARKE: I was on mute there. Sorry	20	it's S-2 or W-2.
1-0	•		

Page 46 1 MR. CLARKE: And I'm the one who is a raping that we need to amend the comp water and sewer plan to make it S-1, W-1. 3 MR. CALLAHAN: Thanks for clarifying that, 5 Ray. So I appreciate that. 4 MR. CALLAHAN: Thanks for clarifying that, 5 Ray. So I appreciate that. 5 Ray. So I appreciate that. 6 I guess what we'll do at this time, 1 6 approximately two years. 7 think, Patrick, do you have anything to add to 7 any of this? 9 MR. THOMAS: No, not at this time. 10 MR. CALLAHAN: Okay. All right. No 10 problem. 11 So I think what we'll do now is hand it 20 wave oxidation treatment system at a capital or with us. 12 So I think what we'll do now is hand it 21 wave oxidation treatment system at a capital or with us. 13 over. Is Trappe available? 14 MS. MORRIS: Yes. We have Lyndsey Ryan 14 and several members of the Trappe Commission 16 with us. 15 and several members of the Trappe Commission 17 with us. 16 With us. 17 MR. CALLAHAN: Sure, sure. Lyndsey, are 18 you there? 18 you there? 19 MS. RYAN: Yes. Can you hear us? 10 Okay. So do you want to start off with you, 20 would rather me kind of go through - we 19 received a couple of questions that you wanted 5 Trappe to weigh in on. 18 MR. CALLAHAN: Sure, So I don't know if you 2 time now. 19 MR. CALLAHAN: Sure, So I don't know if you 2 time now. 10 MR. CALLAHAN: Yup, wp. You sort of 6 MR. CALLAHAN: Yup, yp. You sort of 9 MR. CALLAHAN: That would be great, 1 we're at on our end. 10 MS. RYAN: First I think we'll start with 10 the latest development. And that is that the 17 the latest development. And that is that the 17 the latest development. And that is that the 17 the latest development. And that is that the 18 preliminary engineering report for their ENR 10 MR. CALLAHAN: Yup. We expect to be under 20 upgrade to MDE. MDE is taking that under 20 upgrade to MDE. MDE is taking that under 20 upgrade to MDE. MDE is taking that under 20 u				
arguing that we need to amend the comp water and sewer plan to make it S-1, W-1. MR. CALLAHAN: Thanks for clarifying that, Ray. So I appreciate that. I guess what we'll do at this time, I think, Patrick, do you have anything to add to any of this? MR. THOMAS: No, not at this time. MR. CALLAHAN: Okay. All right. No problem. So I think what we'll do now is hand it over. Is Trappe available? MR. SMORRIS: Yes. We have Lyndsey Ryan and several members of the Trappe Commission with us. MR. CALLAHAN: Sure, sure. Lyndsey, are you there? MR. CALLAHAN: Sure, sure. Lyndsey, are you there? MR. CALLAHAN: Yey, we can hear you. Chay. So do you want to start off with you. MR. CALLAHAN: Sure. So I don't know if you would rather me kind of go through — we received a couple of questions that you wanted received a couple of questions that you wanted received a couple of questions that you wanted Trappe to weigh in on. MR. CALLAHAN: Yup, yup. You sort of heard tonight how things are going and where MR. CALLAHAN: Yup, yup. You sort of heard tonight how things are going and where MR. CALLAHAN: That would be great. MR. CALLAHAN: That would be great. MR. CALLAHAN: On the existing plant? On the existing plant to ENR. That is to upgrade the existing plant to ENR. That is to upgrade the existing plant to ENR. That is to upgrade the existing plant to ENR. That is to upgrade the existing plant to ENR. That is to upgrade the existing plant to ENR. That is to upgrade the existing plant to ENR. That is to upgrade the existing plant to ENR. That is to upgrade the existing plant to ENR. That is to upgrade the existing plant to ENR. That is to upgrade the existing plant to ENR. That is to upgrade the existing plant to ENR. That is to the existing plant to ENR. That is to upgrade the existing plant to ENR. That would be great if the altest development. And that is that the Town of Trappe last week voted to submit the preliminary ongineering report for their ENR. MR. CALLAHAN: Vup, MR. CALLAHAN: Ohay. Sounds good. MR. CALLAHAN: Ohay. Sounds good.		Page 46		Page 48
and sewer plan to make it S-1, W-1. MR. CALLAHAN: Thanks for clarifying that, Ray. So I appreciate that. 1 guess what we'll do at this time, I think, Patrick, do you have anything to add to approximately two years. MR. THOMAS: No, not at this time. MR. CALLAHAN: Okay. All right. No problem. So I think what we'll do now is hand it over. Is Trappe available? MS. MORRIS: Yes. We have Lyndsey Ryan and several members of the Trappe Commission with us. MR. CALLAHAN: Sure, sure. Lyndsey, are you there? MR. CALLAHAN: Yup, we can hear you. MR. CALLAHAN: Yup, we can hear you. MR. CALLAHAN: Sure. MR. CALLAHAN: Yup, yup. You sort of heard tonight how things are going and where we're at on our end. MR. CALLAHAN: That would be great. MR. CALLAHAN: On the existing plant? On the existing plant? On the existing plant to ENR. They have to purit out to bid. And construction and startup will take approximately two years. MR. CALLAHAN: On the existing plant? On the existing plant? On the existing plant? On the existing plant to ENR. They are recommending a vave oxidation treatment system at a capital cost of \$8.8 million not take care of the sludge at a half million to take care of the sludge and in the problem. MR. CALLAHAN: Sure, base on the sludge and the following problem. MR. CALLAHAN: Yup, yup. You sort of the problem. MR. CALLAHAN: Sure, base of the work of you have any questions on that or if you have any questions for Page 49 MR. CALLAHAN: Sure, base of the work of you have any questions on that or if you want to keep rolling through. If Council, if	1	MR. CLARKE: And I'm the one who is	1	design, permitting, and construction over the
MR. CALLAHAN: Thanks for clarifying that, Ray. So I appreciate that. I guess what we'll do at this time, I think, Patrick, do you have anything to add to any of this? MR. THOMAS: No, not at this time. MR. CALLAHAN: Okay. All right. No problem. MR. CALLAHAN: Okay. All right. No in problem. MS. MORRIS: Yes. We have Lyndsey Ryan and several members of the Trappe Commission with us. MR. CALLAHAN: Sure, sure. Lyndsey, are you there? MR. CALLAHAN: Sure, sure. Lyndsey, are you there? MR. CALLAHAN: Yup, we can hear you. MR. CALLAHAN: Yup, we can hear you. MR. CALLAHAN: Sure. So I don't know if you would rather me kind of go through — we received a couple of questions that you wanted Trappe to weigh in on. MR. CALLAHAN: Sure. MR. CALLAHAN: Yup, yup, You sort of heard tonight how things are going and where we're at on our end. MR. CALLAHAN: That would be great. MR. RYAN: Trappe last week voted to submit the Town of Trappe last week voted to submit the MR. CALLAHAN: That would be great. MR. CALLAHAN: Trappe avoile to submit the Town of Trappe last week voted to submit the MR. CALLAHAN: Yup, up, You sort of the latest development. And that is that the MR. RYAN: First I think well start with the latest development. And that is that the Trappe for won're are on your end. MR. RYAN: Trappe last week voted to submit the Trappe for wen're are on your end. MR. RYAN: Trappe last week voted to submit the Trappe for wen're are on your end. MR. RYAN: Trappe last week voted to submit the Trappe for wen're are on your end. MR. RYAN: Trappe last week voted to submit the Trappe for wen're are on your end. MR. RYAN: Trappe last week voted to submit the Trappe for wen're are on your end. MR. RYAN: Trappe last week voted to submit the Trappe for wen're are on your end. MR. RYAN: Trappe last week voted to submit the Trappe for wen're are on your end. MR. RYAN: Trappe last week voted to submit the Trappe for wen're are on your end. MR. RYAN: Trappe last week voted to submit the Trappe for wen're are on your end. MR. RYAN: Trappe	2	arguing that we need to amend the comp water	2	next year.
5 Ray. So I appreciate that. 6 I guess what we'll do at this time, I 7 think, Patrick, do you have anything to add to 8 any of this? 9 MR. THOMAS: No, not at this time. 9 MR. THOMAS: No, not at this time. 10 MR. CALLAHAN: Okay. All right. No 11 problem. 12 So I think what we'll do now is hand it 13 over. Is Trappe available? 14 MS. MORRIS: Yes. We have Lyndsey Ryan 15 and several members of the Trappe Commission 16 with us. 17 MR. CALLAHAN: Sure, sure. Lyndsey, are 18 you there? 19 MS. RYAN: Yes. Can you hear us? 19 MS. RYAN: So I don't know if you 20 MR. CALLAHAN: Yup, we can hear you. 21 Okay. So do you want to start off with you. 21 Lyndsey? 2 MS. RYAN: Sure. So I don't know if you 23 would rather me kind of go through — we 4 received a couple of questions that you wanted 5 Trappe to weigh in on. 6 MR. CALLAHAN: Sure. 7 MS. RYAN: Sure. 8 MR. CALLAHAN: Yup, wand you wanted 9 MR. CALLAHAN: Yup, yup. You sort of 10 heard tonight how things are going and where 11 we're at on our end. 12 So it will be great if we sort of can 13 listen to where you're at to nyour end. 14 MS. RYAN: First I think we'll start with 15 Trappe last week voted to submit the 16 MS. RYAN: First I think we'll start with 17 the latest development. And that is that the 18 Town of Trappe last week voted to submit the 19 preliminary engineering report for their ERR 20 upgrade to MDE. MDE is taking that under 20 MS. RYAN: So I think the biggest issue	3	and sewer plan to make it S-1, W-1.	3	And then after that year is done, we'll
1 I guess what we'll do at this time, I 2 think, Patrick, do you have anything to add to 3 any of this? 3 MR. CALLAHAN: On the existing plant? On 4 MR. THOMAS: No, not at this time. 4 MR. CALLAHAN: Okay. All right. No 5 I think what we'll do now is hand it 6 over. Is Trappe available? 7 MS. MORRIS: Yes. We have Lyndsey Ryan 7 MS. MORRIS: Yes. We have Lyndsey Ryan 8 MR. CALLAHAN: Okay. So do so ware to fee a susting plant to ENR. They are recommending a 8 half million to take care of the sludge 8 lagoons that the Town of Trappe currently has. 9 MR. CALLAHAN: Okay. So unds good. 10 MR. CALLAHAN: Sure, sure. Lyndsey, are 11 MS. RYAN: Yes. Can you hear us? 12 MS. RYAN: Yo, we can hear you. 13 Would rather me kind of go through — we 14 received a couple of questions that you wanted 15 Trappe to weigh in on. 16 MR. CALLAHAN: Sure. 17 MS. RYAN: Sure. So I don't know if you 18 would rather me kind of go through — we 19 MR. CALLAHAN: Sure. 10 MR. CALLAHAN: Sure. 11 Lyndsey, Please let me know. And just one at a time now. 12 Lyndsey please let me know. And just one at a time now. 13 MS. RYAN: Yup. And so getting to 14 Trappe's existing plant to ENR. They are recommending a would rather me kind of go through — we 14 received a couple of questions that you wanted 15 Trappe to weigh in on. 16 MR. CALLAHAN: Sure. 17 MS. RYAN: Sure. So I don't know if you 18 you there? 19 MR. CALLAHAN: Sure. 19 MR. CALLAHAN: Sure. 20 MR. CALLAHAN: Sure. 31 Lyndsey, please let me know. And just one at a time now. 32 MS. RYAN: Yup. And so getting to 33 Trappe to weigh in on. 34 Trappe's existing plant to ENR. They are recommending a word in the trappe's available capacity. 35 Trappe to weigh in on. 36 MR. CALLAHAN: That would be great. 37 MS. RYAN: Yup. And so getting to 38 MS. RYAN: So I think there was a question about Trappe's available capacity. 39 MR. CALLAHAN: That would be great. 30 MS. RYAN: So I salons per day. 31 MS. RYAN: So I salons per day. 31 MS. RYAN: So I salons per day. 32 With the 120 EDUs allocated to Lakeside, they still hav	4	MR. CALLAHAN: Thanks for clarifying that,	4	obviously have to put it out to bid. And
think, Patrick, do you have anything to add to any of this? may of the existing plant? may of the existing plant of this to upgrade the existing plant of this to upgrade the existing plant of the existing plant? may ovaidation treatment system at a capital cost of \$8.8 million and an additional four and a half million to take care of the sludge and a half million to take care of the sludge and to wave oxidation treatment system at a capital cost of \$8.8 million and an additional four and a half million to take care of the sludge and to wave oxidation treatment system at a capital cost of \$8.8 million and an additional four and a half million to take care of the sludge and the cast of the sludge and the cast of the sludge and the cast of the sludge oxid of \$8.8 million to take care of the sludge and the cast of \$8.8 million to take care of the sludge and the cast of \$8.8 million to take care of the sludge cost of \$8.8 million to take care of the sludge and the cast	5	Ray. So I appreciate that.	5	construction and startup will take
mr. THOMAS: No, not at this time. mr. THOMAS: No, not at this time. mr. CALLAHAN: Okay. All right. No mr. CALLAHAN: Okay. All right. No mr. CALLAHAN: Okay. All right. No mr. Callaham: Okay. So do so ware to fee studge mr. Callaham: Okay. So do so ware to start off with you, mr. Callaham: Okay. So do you want you stor of the were at on our end. mr. Callaham: Okay. So do you w	6	I guess what we'll do at this time, I	6	approximately two years.
MR. THOMAS: No, not at this time. MR. CALLAHAN: Okay. All right. No problem. So I think what we'll do now is hand it over. Is Trappe available? MS. MORRIS: Yes. We have Lyndsey Ryan and several members of the Trappe Commission with us. MR. CALLAHAN: Sure, sure. Lyndsey, are you there? MR. CALLAHAN: Yup, we can hear you. MR. CALLAHAN: Yup, we can hear you. MR. CALLAHAN: Sure. So I don't know if you would rather me kind of go through we received a couple of questions that you wanted Trappe to weigh in on. MR. CALLAHAN: Sure. MR. CALLAHAN: Sure. MR. CALLAHAN: Yup, wp. You sort of heard tonight how things are going and where we're at on our end. MR. CALLAHAN: That would be great. MR. RYAN: First I think we'll start with MR. CALLAHAN: Tup, upgrade to MDE. MDE is taking that under	7	think, Patrick, do you have anything to add to	7	So we're
10 MR. CALLAHAN: Okay. All right. No 11 problem. 12 So I think what we'll do now is hand it 13 over. Is Trappe available? 14 MS. MORRIS: Yes. We have Lyndsey Ryan 15 and several members of the Trappe Commission 16 with us. 17 MR. CALLAHAN: Sure, sure. Lyndsey, are 18 you there? 19 MR. CALLAHAN: Yup, we can hear you. 20 MR. CALLAHAN: Yup, we can hear you. 21 Okay. So do you want to start off with you, 22 MS. RYAN: Sure. So I don't know if you 23 would rather me kind of go through we 24 received a couple of questions that you wanted 25 Trappe to weigh in on. 26 MR. CALLAHAN: Sure. 27 MR. CALLAHAN: Sure. 38 MR. CALLAHAN: Sure. 40 MR. CALLAHAN: Yup, up. You sort of 19 heard tonight how things are going and where 10 we're at on our end. 11 We're at on our end. 12 So it will be great if we sort of can 13 listen to where you're at on your end. 14 MS. RYAN: First I think we'll start with 15 Town of Trappe last week voted to submit the 16 mR. CALLAHAN: That would be great. 17 MS. RYAN: First I think we'll start with 18 Town of Trappe last week voted to submit the 19 preliminary engineering report for their ENR 10 MS. RYAN: So I think the biggest issue	8	any of this?	8	MR. CALLAHAN: On the existing plant? On
11 problem. 12 So I think what we'll do now is hand it 13 over. Is Trappe available? 14 MS. MORRIS: Yes. We have Lyndsey Ryan 15 and several members of the Trappe Commission 16 with us. 17 MR. CALLAHAN: Sure, sure. Lyndsey, are 18 you there? 19 MS. RYAN: Yes. Can you hear us? 19 MS. RYAN: Yes. Can you hear us? 10 Okay. So do you want to start off with you, 11 Lyndsey? 12 MS. RYAN: So I don't know if you 13 would rather me kind of go through we 14 received a couple of questions that you wanted 15 Trappe to weigh in on. 16 MR. CALLAHAN: Sure. 17 MS. RYAN: Sure. So I don't know if you 18 world rather me kind of covered the history 19 there. 10 MR. CALLAHAN: Sure. 11 Lyndsey? 12 MS. RYAN: Sure. So I don't know if you 13 would rather me kind of covered the history 14 there. 15 Trappe to weigh in on. 16 MR. CALLAHAN: Sure. 17 MS. RYAN: Yup, And so getting to 18 Trappe to weigh in on. 19 MR. CALLAHAN: Yup, yup. You sort of 10 heard tonight how things are going and where 11 we're at on our end. 12 So it will be great if we sort of can 13 listen to where you're at on your end. 14 MS. RYAN: Tyup. 15 MR. CALLAHAN: That would be great. 16 MS. RYAN: First I think we'll start with 17 the latest development. And that is that the 18 Town of Trappe last week voted to submit the 19 preliminary engineering report for their ENR 20 upgrade to MDE. MDE is taking that under 21 wegrade to MDE. MDE is taking that under 21 were at on MDE. MDE is taking that under 22 was idable to ENR. They are a capital cost of \$8.8 million and an additional dour and a half million to take care of the sludge 1a half million to take care of the sludge 1a half million to take care of the sludge 1a half million to take care of the sludge 1a half million to take care of the sludge 1a half million to take care of the sludge 1a half million to take care of the sludge 1a half million to take care of the sludge 1a half million to take care of the sludge 1a lagoons that the Two of Irappe lawe any questions on that or if you want to keep 24 rolling through? 25	9	MR. THOMAS: No, not at this time.	9	the existing plant?
12 So I think what we'll do now is hand it 13 over. Is Trappe available? 14 MS. MORRIS: Yes. We have Lyndsey Ryan 15 and several members of the Trappe Commission 16 with us. 17 MR. CALLAHAN: Sure, sure. Lyndsey, are 18 you there? 19 MS. RYAN: Yes. Can you hear us? 20 MR. CALLAHAN: Yup, we can hear you. 21 Okay. So do you want to start off with you, 22 MS. RYAN: Sure. So I don't know if you 23 would rather me kind of go through — we 24 received a couple of questions that you wanted 25 Trappe to weigh in on. 26 MR. CALLAHAN: Sure. 27 MS. RYAN: Sure. 38 MS. RYAN: Yup. And so getting to 39 MR. CALLAHAN: Sure. 40 Trappe's available capacity. 41 Their average daily flow is 95,000 gallons per 42 day. 43 MS. RYAN: Yup we're at on our end. 44 MS. RYAN: Yup, yup. You sort of 45 there. 46 MR. CALLAHAN: That would be great. 47 MS. RYAN: Yup and so getting to 48 Trappe to weigh in on. 49 MR. CALLAHAN: Sure. 40 They have a 200,000-gallon per day plant. 41 MS. RYAN: Yup, yup. You sort of 42 there. 43 MS. RYAN: With the 120 EDUs allocated to Lakeside, 44 the latest development. And that is that the 45 Town of Trappe last week voted to submit the 46 preliminary engineering report for their ENR 47 MS. RYAN: First I think we'll start with 48 Town of Trappe last week voted to submit the 49 preliminary engineering report for their ENR 50 upgrade to MDE. MDE is taking that under 51 Town of Trappe last week voted to submit the 51 preliminary engineering report for their ENR 51 upgrade to MDE. MDE is taking that under 52 With the L20 EDUs allocated to Lakeside, 53 Are there questions there? Okay. 54 MR. CALLAHAN: Yup. 55 MR. CALLAHAN: Yup. 56 MR. CALLAHAN: Yup. 57 MR. CALLAHAN: Yup. 58 MR. CALLAHAN: Yup. 58 MR. CALLAHAN: Yup. 59 MR. CALLAHAN: Yup. 50 MR. CALLAHAN: Yup. 50 MR. CALLAHAN: Yup. 51 MR. CALLAHAN: Yup. 51 MR. CALLAHAN: Yup. 52 MS. RYAN: So I think the biggest issue	10	MR. CALLAHAN: Okay. All right. No	10	MS. RYAN: Yes. That is to upgrade the
13 over. Is Trappe available? 14 MS. MORRIS: Yes. We have Lyndsey Ryan 15 and several members of the Trappe Commission 16 with us. 17 MR. CALLAHAN: Sure, sure. Lyndsey, are 18 you there? 19 MS. RYAN: Yes. Can you hear us? 19 MS. RYAN: Yes. Can you hear us? 10 Okay. So do you want to start off with you, 11 Lyndsey? 12 MS. RYAN: So I don't know if you 13 would rather me kind of go through we 14 received a couple of questions that you wanted 15 Trappe to weigh in on. 16 MR. CALLAHAN: Sure. 17 MS. RYAN: So I don't know if you 18 MS. RYAN: Sore. 19 MS. RYAN: Sore. So I don't know if you 20 MS. RYAN: Sore. So I don't know if you 21 Lyndsey? 22 MS. RYAN: Sore. So I don't know if you 23 would rather me kind of go through we 24 received a couple of questions that you wanted 25 Trappe to weigh in on. 26 MR. CALLAHAN: Sure. 27 MS. RYAN: kind of covered the history 28 there. 29 MR. CALLAHAN: Yup, yup. You sort of 10 heard tonight how things are going and where 11 we're at on our end. 12 So it will be great if we sort of can 13 listen to where you're at on your end. 14 MS. RYAN: First I think we'll start with 15 Town of Trappe last week voted to submit the 17 the latest development. And that is that the 18 Town of Trappe last week voted to submit the 19 preliminary engineering report for their ENR 20 upgrade to MDE. MDE is taking that under 10 vith the 120 EDUs allocated to Lakeside, 16 MS. RYAN: Yup. 18 half million to take care of the sludge 16 half million to take care of the sludge 16 half million to take care of the sludge 16 half million to take care of the sludge 16 half million to take care of the sludge 16 half million to take care of the sludge 16 half million to take care of the sludge 18 half million to take care of the sludge 18 half million to take care of the sludge 18 half million to take care of the sludge 18 dagoons that the Town of Trappe currently has. 16 MS. RYAN: So I don't know if you have any questions for 17 Lyndsey, Please let me know. And just one at a time now. 18 Lyndsey, please let me	11	problem.	11	existing plant to ENR. They are recommending a
14 MS. MORRIS: Yes. We have Lyndsey Ryan 15 and several members of the Trappe Commission 16 with us. 16 MR. CALLAHAN: Sure, sure. Lyndsey, are 17 MS. RYAN: So I don't know if you have any 18 you there? 19 MS. RYAN: Yes. Can you hear us? 20 MR. CALLAHAN: Yup, we can hear you. 21 Okay. So do you want to start off with you, 22 MS. RYAN: Sure. So I don't know if you 23 would rather me kind of go through we 24 received a couple of questions that you wanted 25 Trappe to weigh in on. 26 MR. CALLAHAN: Sure. 27 MS. RYAN: Sure. 38 MS. RYAN: Wight and so getting to 39 MR. CALLAHAN: Sure. 40 Trappe to weigh in on. 41 Trappe to weigh in on. 42 Trappe to weigh in on. 43 MS. RYAN: And so getting to 44 Trappe to weigh in on. 45 Trappe to weigh in on. 46 MR. CALLAHAN: Sure. 47 MS. RYAN:	12	So I think what we'll do now is hand it	12	wave oxidation treatment system at a capital
15 and several members of the Trappe Commission 16 with us. 17 MR. CALLAHAN: Sure, sure. Lyndsey, are 18 you there? 19 MS. RYAN: Yes. Can you hear us? 20 MR. CALLAHAN: Yup, we can hear you. 21 Okay. So do you want to start off with you, 22 MS. RYAN: Sure. So I don't know if you and to start off with you would rather me kind of go through we 23 would rather me kind of go through we 24 received a couple of questions that you wanted 25 Trappe to weigh in on. 26 MR. CALLAHAN: Sure. 27 MS. RYAN: Sure. 38 MS. RYAN: Yup. And so getting to 39 Trappe to weigh in on. 40 Trappe to weigh in on. 41 Trappe to weigh in on. 42 Trappe to weigh in on. 43 WS. RYAN: Trappe to weigh in on. 44 Trappe to weigh in on. 45 Trappe to weigh in on. 46 MR. CALLAHAN: Sure. 47 MS. RYAN: Yup. You sort of heard tonight how things are going and where uwe're at on our end. 48 MS. RYAN: Yup. 49 With the 120 EDUs allocated to Lakeside, the still have about 60,000 gallons per day available. And the number is actually larger based on their average daily flows. 40 That mount includes all of their infill tots and anticipated growth. 40 Are there questions there? Okay. 40 MS. RYAN: Yup. 41 MS. RYAN: Tirnt in think the biggest issue	13	over. Is Trappe available?	13	cost of \$8.8 million and an additional four and
16 with us. 17 MR. CALLAHAN: Sure, sure. Lyndsey, are 18 you there? 18 MS. RYAN: Yes. Can you hear us? 19 MS. RYAN: Yes. Can you hear us? 20 MR. CALLAHAN: Yup, we can hear you. 21 Okay. So do you want to start off with you, 22 MS. RYAN: So I don't know if you have any questions on that or if you want to keep rolling through? 23 MR. CALLAHAN: Yup, we can hear you. 24 Lyndsey? 25 MS. RYAN: Sure. So I don't know if you would rather me kind of go through we 4 received a couple of questions that you wanted 5 Trappe to weigh in on. 5 question about Trappe's existing plant, I think there was a question about Trappe's available capacity. 26 MR. CALLAHAN: Sure. 6 They have a 200,000-gallon per day plant. 7 Their average daily flow is 95,000 gallons per day. That's a conservative number, assuming 250 gallons per day per EDU. They're actually averaging closer to about 60,000 gallons per day. 12 With the 120 EDUs allocated to Lakeside, they still have about 60,000 gallons per day available. And the number is actually larger based on their average daily flows. 16 That amount includes all of their infill lots and anticipated growth. 17 MR. CALLAHAN: Yup. 18 MR. CALLAHAN: That would be great. 18 MR. CALLAHAN: That would be great. 19 MR. CALLAHAN: That woll be great. 19 preliminary engineering report for their ENR 19 preliminary engineering report for their ENR 19 upgrade to MDE. MDE is taking that under 20 MS. RYAN: So I think the biggest issue	14	MS. MORRIS: Yes. We have Lyndsey Ryan	14	a half million to take care of the sludge
MR. CALLAHAN: Sure, sure. Lyndsey, are law you there? MS. RYAN: Yes. Can you hear us? MR. CALLAHAN: Yup, we can hear you. MR. CALLAHAN: Yup, we can hear you. Dokay. So do you want to start off with you. Page 47 Lyndsey? MS. RYAN: Sure. So I don't know if you would rather me kind of go through we received a couple of questions that you wanted Trappe to weigh in on. MR. CALLAHAN: Sure. MR. CALLAHAN: Sure aday. Their average daily flow is 95,000 gallons per day. Their average daily flow is 95,000 gallons per day. Their average daily flow is 95,000 gallons per day. Their average daily flow is 95,000 gallons per day. Their average daily flow is 95,000 gallons per day. Their average daily flow is 95,000 gallons per day. Their average daily flow is 95,000 gallons per day. Their average daily flow is 95,000 gallons per day. Their average daily flow is 95,000 gallons per day. Their average daily flow is 95,000 gallons per day. Their average daily flow is 95,000 gallons per day. Their average daily flow is 95,000 gallons per day. Their average daily flow is 95,000 gallons per day. Their average daily flows. With the 120 EDUs allocated to Lakeside, they still have about 60,000 gallons per day available. And the number is actually larger based on their average daily flows. MR. CALLAHAN: That would be great. MR. CALLAHAN: Are there questions there? Okay. MR. CALLAHAN: Sure. MR. CALLAHAN: Their would be great. MR. CALLAHAN: Their would be great. MR. CALLAHAN: That would be great. MR. CALLAHAN: Their would be great. MR. CALLAHAN: Their would be great. MR. CALLAHAN: Their would be great. M	15	and several members of the Trappe Commission	15	lagoons that the Town of Trappe currently has.
18 you there? 19 MS. RYAN: Yes. Can you hear us? 20 MR. CALLAHAN: Yup, we can hear you. 21 Okay. So do you want to start off with you, 21 Lyndsey? 22 MS. RYAN: Sure. So I don't know if you 3 would rather me kind of go through we 4 received a couple of questions that you wanted 5 Trappe to weigh in on. 6 MR. CALLAHAN: Sure. 7 MS. RYAN: kind of covered the history 8 there. 9 MR. CALLAHAN: Yup, yup. You sort of 10 heard tonight how things are going and where 11 we're at on our end. 12 So it will be great if we sort of can 13 listen to where you're at on your end. 14 MS. RYAN: Yup. 15 MR. CALLAHAN: That would be great. 16 MS. RYAN: First I think we'll start with 17 the latest development. And that is that the 18 Town of Trappe last week voted to submit the 19 preliminary engineering report for their ENR 20 upgrade to MDE. MDE is taking that under 10 Okay. So do you want to start off with you. 20 MR. CALLAHAN: Just keep rolling through. 21 Guestions on that or if you want to keep 20 mR. CALLAHAN: Just keep rolling through. 21 MR. CALLAHAN: Just keep rolling through. 21 MR. CALLAHAN: Just keep rolling through. 22 MR. CALLAHAN: Just keep rolling through. 23 MR. CALLAHAN: Just keep rolling through. 24 Trappe's whave any questions for 25 Lyndsey, please let me know. And just one at a time now. 3 MS. RYAN: Yup. And so getting to 4 Trappe's existing plant, I think there was a question about Trappe's available capacity. 4 Their average daily flow is 95,000 gallons per day. 5 They have a 200,000-gallon per day plant. 7 Their average daily flow is 95,000 gallons per day. 8 day. That's a conservative number, assuming 9 250 gallons per day per EDU. They're actually averaging closer to about 60,000 gallons per day. 10 averaging closer to about 60,000 gallons per day. 11 day. 12 With the 120 EDUs allocated to Lakeside, they still have about 60,000 gallons per day available. And the number is actually larger based on their average daily flows. 16 That amount includes all of their infill lots and anticipated growth. 18 Are	16	with us.	16	MR. CALLAHAN: Okay. Sounds good.
MS. RYAN: Yes. Can you hear us? MR. CALLAHAN: Yup, we can hear you. Nokay. So do you want to start off with you, Page 47 Lyndsey? MS. RYAN: Sure. So I don't know if you would rather me kind of go through we received a couple of questions that you wanted Trappe to weigh in on. MR. CALLAHAN: Sure. MS. RYAN: kind of covered the history there. MR. CALLAHAN: Yup, yup. You sort of heard tonight how things are going and where we're at on our end. So it will be great if we sort of can listen to where you're at on your end. MS. RYAN: Yup. MS. RYAN: Yup. MR. CALLAHAN: That would be great. MS. RYAN: First I think we'll start with the latest development. And that is that the preliminary engineering report for their ENR page 47 Lyndsey, please let me know. And just one at a time now. MR. CALLAHAN: Jup, And so getting to time now. MS. RYAN: Yup. And so getting to Trappe's existing plant, I think there was a question about Trappe's available capacity. Their average daily flow is 95,000 gallons per day. That's a conservative number, assuming averaging closer to about 60,000 gallons per day. With the 120 EDUs allocated to Lakeside, they still have about 60,000 gallons per day available. And the number is actually larger based on their average daily flows. MS. RYAN: First I think we'll start with the latest development. And that is that the preliminary engineering report for their ENR p	17	MR. CALLAHAN: Sure, sure. Lyndsey, are	17	MS. RYAN: So I don't know if you have any
MR. CALLAHAN: Yup, we can hear you. Name CALLAHAN: Just keep rolling through. Page 47 Lyndsey? MS. RYAN: Sure. So I don't know if you would rather me kind of go through we received a couple of questions that you wanted Trappe to weigh in on. MR. CALLAHAN: Jup. And so getting to MR. CALLAHAN: Sure. MS. RYAN: Yup. And so getting to Trappe's existing plant, I think there was a question about Trappe's available capacity. They have a 200,000-gallon per day plant. Their average daily flow is 95,000 gallons per day. That's a conservative number, assuming MR. CALLAHAN: Yup, yup. You sort of MR. CALLAHAN: Yup, yup. You sort of we're at on our end. So it will be great if we sort of can listen to where you're at on your end. MS. RYAN: Yup. MR. CALLAHAN: That would be great. MS. RYAN: That would be great. MS. RYAN: First I think we'll start with the latest development. And that is that the MS. RYAN: So I think the biggest issue	18	you there?	18	questions on that or if you want to keep
Page 47 Lyndsey? MS. RYAN: Sure. So I don't know if you would rather me kind of go through we received a couple of questions that you wanted Trappe to weigh in on. MR. CALLAHAN: Sure. MR. CALLAHAN: Yup, yup. You sort of heard tonight how things are going and where we're at on our end. So it will be great if we sort of can listen to where you're at on your end. MS. RYAN: Yup. MR. CALLAHAN: That would be great. MR. CALLAHAN: That would be great. MR. CALLAHAN: Trappe last week voted to submit the preliminary engineering report for their ENR upgrade to MDE. MDE is taking that under Page 49 Lyndsey, please let me know. And just one at a time now. MR. RYAN: Yup. And so getting to time now. MR. RYAN: Yup. And so getting to time now. Trappe's existing plant, I think there was a question about Trappe's available capacity. Their average daily flow is 95,000 gallons per day. That's a conservative number, assuming 250 gallons per aday. That's a conservative number, assuming averaging closer to about 60,000 gallons per day. With the 120 EDUs allocated to Lakeside, they still have about 60,000 gallons per day available. And the number is actually larger based on their average daily flows. That amount includes all of their infill lots and anticipated growth. Are there questions there? Okay. MR. CALLAHAN: Yup.	19	MS. RYAN: Yes. Can you hear us?	19	rolling through?
Page 47 Lyndsey? MS. RYAN: Sure. So I don't know if you would rather me kind of go through we received a couple of questions that you wanted Trappe to weigh in on. MR. CALLAHAN: Sure. MS. RYAN: kind of covered the history there. MR. CALLAHAN: Yup, yup. You sort of heard tonight how things are going and where we're at on our end. So it will be great if we sort of can listen to where you're at on your end. MS. RYAN: That's would be great. MS. RYAN: That's would be great. MS. RYAN: That's a conservative number, assuming available. And the number is actually larger based on their average daily flows. MS. RYAN: That would be great. MS. RYAN: That would be great. MS. RYAN: First I think we'll start with the latest development. And that is that the Town of Trappe last week voted to submit the preliminary engineering report for their ENR properties. MS. RYAN: So I think the biggest issue	20	MR. CALLAHAN: Yup, we can hear you.	20	MR. CALLAHAN: Just keep rolling through.
1 Lyndsey? 2 MS. RYAN: Sure. So I don't know if you 3 would rather me kind of go through we 4 received a couple of questions that you wanted 5 Trappe to weigh in on. 6 MR. CALLAHAN: Sure. 7 MS. RYAN: kind of covered the history 8 there. 9 MR. CALLAHAN: Yup, yup. You sort of 10 heard tonight how things are going and where 11 we're at on our end. 12 So it will be great if we sort of can 13 listen to where you're at on your end. 14 MS. RYAN: Yup. 15 MR. CALLAHAN: That would be great. 16 MS. RYAN: First I think we'll start with 17 the latest development. And that is that the 18 Town of Trappe last week voted to submit the 19 upgrade to MDE. MDE is taking that under 2 time now. 3 MS. RYAN: Yup. And so getting to 4 Trappe's existing plant, I think there was a 5 question about Trappe's available capacity. 6 They have a 200,000-gallon per day plant. 7 Their average daily flow is 95,000 gallons per 8 day. That's a conservative number, assuming 9 250 gallons per day per EDU. They're actually averaging closer to about 60,000 gallons per 10 day. 11 With the 120 EDUs allocated to Lakeside, 12 they still have about 60,000 gallons per day 13 available. And the number is actually larger 14 based on their average daily flows. 15 That amount includes all of their infill 16 lots and anticipated growth. 17 Are there questions there? Okay. 18 Are there questions there? Okay. 19 MR. CALLAHAN: Yup. 20 upgrade to MDE. MDE is taking that under 20 MS. RYAN: So I think the biggest issue	21	Okay. So do you want to start off with you,	21	If Council, if you have any questions for
MS. RYAN: Sure. So I don't know if you would rather me kind of go through we received a couple of questions that you wanted Trappe to weigh in on. MR. CALLAHAN: Sure. MS. RYAN: Yup. And so getting to Trappe's existing plant, I think there was a question about Trappe's available capacity. They have a 200,000-gallon per day plant. Their average daily flow is 95,000 gallons per day. That's a conservative number, assuming MR. CALLAHAN: Yup, yup. You sort of heard tonight how things are going and where we're at on our end. So it will be great if we sort of can listen to where you're at on your end. MS. RYAN: That would be great. MS. RYAN: First I think we'll start with MS. RYAN: First I think we'll start with Town of Trappe last week voted to submit the preliminary engineering report for their ENR upgrade to MDE. MDE is taking that under MS. RYAN: Yup. And so getting to MS. RYAN: Yup. And so getting to MS. RYAN: Yup. And so getting to Trappe's existing plant, I think there was a question about Trappe's existing plant, I think there was a question about Trappe's existing plant, I think there was a question about Trappe's existing plant, I think there was a question about Trappe's existing plant, I think there was a question about Trappe's existing plant, I think there was a question about Trappe's existing plant. Their average daily flow is 95,000 gallons per day. Their average daily flow is 95,000 gallons per day. Their average daily flow is 95,000 gallons per day. Their average daily flow is 95,000 gallons per day. Their average daily flow is 95,000 gallons per day. Their average daily flow is 95,000 gallons per day. Their average daily flow is 95,000 gallons per day. Their average daily flow is 95,000 gallons per day. Their average daily flow is		Page 47		Page 49
would rather me kind of go through we received a couple of questions that you wanted Trappe to weigh in on. MR. CALLAHAN: Sure. MR. CALLAHAN: Sure. MR. CALLAHAN: Yup, yup. You sort of made to might how things are going and where we're at on our end. So it will be great if we sort of can listen to where you're at on your end. MR. CALLAHAN: That would be great. MR. CALLAHAN: That would be great. MR. CALLAHAN: That would be great. MR. CALLAHAN: Trappe's available capacity. They have a 200,000-gallon per day plant. Their average daily flow is 95,000 gallons per day. Their average daily flow is 95,000 gallons per day. With the 120 EDUs allocated to Lakeside, they still have about 60,000 gallons per day available. And the number is actually larger based on their average daily flows. MR. CALLAHAN: That would be great. MR. CALLAHAN: That we'll start with the latest development. And that is that the Town of Trappe last week voted to submit the preliminary engineering report for their ENR upgrade to MDE. MDE is taking that under MR. CALLAHAN: So I think the biggest issue	1	Lyndsey?	1	Lyndsey, please let me know. And just one at a
4 received a couple of questions that you wanted 5 Trappe to weigh in on. 6 MR. CALLAHAN: Sure. 7 MS. RYAN: kind of covered the history 8 there. 9 MR. CALLAHAN: Yup, yup. You sort of 10 heard tonight how things are going and where 11 we're at on our end. 12 So it will be great if we sort of can 13 listen to where you're at on your end. 14 MS. RYAN: Yup. 15 MR. CALLAHAN: That would be great. 16 MS. RYAN: First I think we'll start with 17 the latest development. And that is that the 18 Town of Trappe last week voted to submit the 19 upgrade to MDE. MDE is taking that under 20 upgrade to MDE. MDE is taking that under 20 Trappe's existing plant, I think there was a question about Trappe's available capacity. 6 They have a 200,000-gallon per day plant. 7 Their average daily flow is 95,000 gallons per day. That's a conservative number, assuming 9 250 gallons per day per EDU. They're actually averaging closer to about 60,000 gallons per 10 day. 11 day. 12 With the 120 EDUs allocated to Lakeside, they still have about 60,000 gallons per day available. And the number is actually larger 15 based on their average daily flows. 16 That amount includes all of their infill 17 total and anticipated growth. 18 Are there questions there? Okay. 19 MR. CALLAHAN: Yup. 20 upgrade to MDE. MDE is taking that under 20 MS. RYAN: So I think the biggest issue	2	·	2	
5 Trappe to weigh in on. 6 MR. CALLAHAN: Sure. 7 MS. RYAN: kind of covered the history 8 there. 8 day. That's a conservative number, assuming 9 MR. CALLAHAN: Yup, yup. You sort of 10 heard tonight how things are going and where 11 we're at on our end. 12 So it will be great if we sort of can 13 listen to where you're at on your end. 14 MS. RYAN: Yup. 15 MR. CALLAHAN: That would be great. 16 MS. RYAN: First I think we'll start with 17 the latest development. And that is that the 18 Town of Trappe last week voted to submit the 19 upgrade to MDE. MDE is taking that under 20 upgrade to MDE. MDE is taking that under 20 ugrade to MDE. MDE is taking that under 20 ugrade to MDE. MDE is taking that under 20 ugrade to MDE. MDE is taking that under 25 question about Trappe's available capacity. They have a 200,000-gallon per day plant. Their average daily flow is 95,000 gallons per day. Their average daily flow is 95,000 gallons per day. Their average daily flow is 95,000 gallons per day. Their average daily flow is 95,000 gallons per day. Their average daily flow is 95,000 gallons per day. Their average daily flow is 95,000 gallons per day. Their average daily flow is 95,000 gallons per day. Their average daily flow is 95,000 gallons per day. Their average daily flow is 95,000 gallons per day. Their average daily flow is 95,000 gallons per day. Their average daily flow is 95,000 gallons per day. Their average daily flow is 95,000 gallons per day. Their average daily flow is 95,000 gallons per day. Their average daily flow is 95,000 gallons per day. Their average daily flow is 95,000 gallons per day. Their average daily flow is 95,000 gallons per day. Their average daily flow is 95,000 gallons per day. Their average daily flow is 40,000 gallons per day. Their average daily flow is 95,000 gallons per day. Their average daily flow is 95,000 gallons per day. Their average daily flow is 40,000 gallons per day. Their average daily flow is 95,000 gallons per day. Their average daily flow is 40,000 gallons per day. Their aver	3		3	MS. RYAN: Yup. And so getting to
MR. CALLAHAN: Sure. MS. RYAN: kind of covered the history there. MR. CALLAHAN: Yup, yup. You sort of MR. CALLAHAN: Yup, yup. You sort of heard tonight how things are going and where we're at on our end. So it will be great if we sort of can listen to where you're at on your end. MS. RYAN: Yup. MR. CALLAHAN: That would be great. MR. CALLAHAN: That would be great. MR. CALLAHAN: That would be great. MR. CALLAHAN: That wold be great. MR. CALLAHAN: That wold be great. MR. CALLAHAN: That wold be great. They have a 200,000-gallon per day plant. Their average daily flow is 95,000 gallons per day. Their average daily flow is 95,000 gallons per day. Their average daily flow is 95,000 gallons per day. They have a 200,000-gallon per day plant. They reactually averaging closer to about 60,000 gallons per 11 day. With the 120 EDUs allocated to Lakeside, 13 they still have about 60,000 gallons per 14 available. And the number is actually larger 15 based on their average daily flows. That amount includes all of their infill 16 It amount includes all of their infill 17 lots and anticipated growth. MR. CALLAHAN: Yup. MR. CALLAHAN: Yup. MR. CALLAHAN: Yup. MR. CALLAHAN: Yup. MR. CALLAHAN: So I think the biggest issue	4	<u> </u>	4	
MS. RYAN: kind of covered the history there. MR. CALLAHAN: Yup, yup. You sort of MR. CALLAHAN: Yup, yup. You sort of heard tonight how things are going and where we're at on our end. So it will be great if we sort of can listen to where you're at on your end. MS. RYAN: Yup. MR. CALLAHAN: That would be great. MR. CALLAHAN: That would be great. MR. CALLAHAN: Thist I think we'll start with MR. RYAN: First I think we'll start with Town of Trappe last week voted to submit the Town of Trappe last week voted to submit the Town of Trappe last week voted to submit the upgrade to MDE. MDE is taking that under Their average daily flow is 95,000 gallons per day. That's a conservative number, assuming 250 gallons per day per EDU. They're actually averaging closer to about 60,000 gallons per day. With the 120 EDUs allocated to Lakeside, they still have about 60,000 gallons per day available. And the number is actually larger based on their average daily flows. That amount includes all of their infill lots and anticipated growth. Are there questions there? Okay. MR. CALLAHAN: Yup. MR. CALLAHAN: Yup. MR. CALLAHAN: Yup. MR. CALLAHAN: So I think the biggest issue	5	Trappe to weigh in on.	5	question about Trappe's available capacity.
there. MR. CALLAHAN: Yup, yup. You sort of heard tonight how things are going and where we're at on our end. So it will be great if we sort of can listen to where you're at on your end. MS. RYAN: Yup. MR. CALLAHAN: That would be great. MR. CALLAHAN: That would be great. MR. CALLAHAN: Think we'll start with MR. RYAN: First I think we'll start with Town of Trappe last week voted to submit the Town of Trappe last week voted to submit the upgrade to MDE. MDE is taking that under MR. CALLAHAN: Yup. May. So gallons per day per EDU. They're actually averaging closer to about 60,000 gallons per the averaging closer t	6	MR. CALLAHAN: Sure.	6	They have a 200,000-gallon per day plant.
9 MR. CALLAHAN: Yup, yup. You sort of 10 heard tonight how things are going and where 11 we're at on our end. 12 So it will be great if we sort of can 13 listen to where you're at on your end. 14 MS. RYAN: Yup. 15 MR. CALLAHAN: That would be great. 16 MS. RYAN: First I think we'll start with 17 the latest development. And that is that the 18 Town of Trappe last week voted to submit the 19 250 gallons per day per EDU. They're actually 10 averaging closer to about 60,000 gallons per 11 day. 12 With the 120 EDUs allocated to Lakeside, 13 they still have about 60,000 gallons per day 14 available. And the number is actually larger 15 based on their average daily flows. 16 That amount includes all of their infill 17 lots and anticipated growth. 18 Are there questions there? Okay. 19 preliminary engineering report for their ENR 19 MR. CALLAHAN: Yup. 20 upgrade to MDE. MDE is taking that under 20 MS. RYAN: So I think the biggest issue	7	MS. RYAN: kind of covered the history	7	Their average daily flow is 95,000 gallons per
heard tonight how things are going and where we're at on our end. So it will be great if we sort of can listen to where you're at on your end. MS. RYAN: Yup. MR. CALLAHAN: That would be great. MS. RYAN: First I think we'll start with MS. RYAN: First I think we'll start with Town of Trappe last week voted to submit the Preliminary engineering report for their ENR uwe're at on our end. Mith the 120 EDUs allocated to Lakeside, they still have about 60,000 gallons per day available. And the number is actually larger based on their average daily flows. That amount includes all of their infill lots and anticipated growth. Are there questions there? Okay. MR. CALLAHAN: Yup. MR. CALLAHAN: Yup. MR. CALLAHAN: So I think the biggest issue	8	there.	8	,
11 we're at on our end. 12 So it will be great if we sort of can 13 listen to where you're at on your end. 14 MS. RYAN: Yup. 15 MR. CALLAHAN: That would be great. 16 MS. RYAN: First I think we'll start with 17 the latest development. And that is that the 18 Town of Trappe last week voted to submit the 19 preliminary engineering report for their ENR 20 upgrade to MDE. MDE is taking that under 11 day. 12 With the 120 EDUs allocated to Lakeside, 13 they still have about 60,000 gallons per day 14 available. And the number is actually larger 15 based on their average daily flows. 16 That amount includes all of their infill 17 lots and anticipated growth. 18 Are there questions there? Okay. 19 MR. CALLAHAN: Yup. 20 MS. RYAN: So I think the biggest issue	9	MR. CALLAHAN: Yup, yup. You sort of	9	250 gallons per day per EDU. They're actually
12 So it will be great if we sort of can 13 listen to where you're at on your end. 14 MS. RYAN: Yup. 15 MR. CALLAHAN: That would be great. 16 MS. RYAN: First I think we'll start with 17 the latest development. And that is that the 18 Town of Trappe last week voted to submit the 19 preliminary engineering report for their ENR 20 upgrade to MDE. MDE is taking that under 12 With the 120 EDUs allocated to Lakeside, 13 they still have about 60,000 gallons per day available. And the number is actually larger 15 based on their average daily flows. 16 That amount includes all of their infill 17 lots and anticipated growth. 18 Are there questions there? Okay. 19 MR. CALLAHAN: Yup. 20 MS. RYAN: So I think the biggest issue	10	heard tonight how things are going and where	10	averaging closer to about 60,000 gallons per
13 listen to where you're at on your end. 14 MS. RYAN: Yup. 15 MR. CALLAHAN: That would be great. 16 MS. RYAN: First I think we'll start with 17 the latest development. And that is that the 18 Town of Trappe last week voted to submit the 19 preliminary engineering report for their ENR 20 upgrade to MDE. MDE is taking that under 13 they still have about 60,000 gallons per day available. And the number is actually larger 15 based on their average daily flows. 16 That amount includes all of their infill 17 lots and anticipated growth. 18 Are there questions there? Okay. 19 MR. CALLAHAN: Yup. 20 MS. RYAN: So I think the biggest issue			11	•
MS. RYAN: Yup. MR. CALLAHAN: That would be great. MS. RYAN: First I think we'll start with That amount includes all of their infill the latest development. And that is that the Town of Trappe last week voted to submit the preliminary engineering report for their ENR upgrade to MDE. MDE is taking that under 14 available. And the number is actually larger based on their average daily flows. That amount includes all of their infill lots and anticipated growth. Are there questions there? Okay. MR. CALLAHAN: Yup. 20 MS. RYAN: So I think the biggest issue		· ·	12	
MR. CALLAHAN: That would be great. MS. RYAN: First I think we'll start with the latest development. And that is that the Town of Trappe last week voted to submit the preliminary engineering report for their ENR upgrade to MDE. MDE is taking that under 15 based on their average daily flows. That amount includes all of their infill lots and anticipated growth. Are there questions there? Okay. MR. CALLAHAN: Yup. MS. RYAN: So I think the biggest issue	13		13	
MS. RYAN: First I think we'll start with the latest development. And that is that the Town of Trappe last week voted to submit the preliminary engineering report for their ENR upgrade to MDE. MDE is taking that under That amount includes all of their infill lots and anticipated growth. Are there questions there? Okay. MR. CALLAHAN: Yup. MS. RYAN: So I think the biggest issue		MS. RYAN: Yup.	14	
the latest development. And that is that the Town of Trappe last week voted to submit the preliminary engineering report for their ENR upgrade to MDE. MDE is taking that under 17 lots and anticipated growth. Rate there questions there? Okay. MR. CALLAHAN: Yup. MS. RYAN: So I think the biggest issue			15	
Town of Trappe last week voted to submit the preliminary engineering report for their ENR upgrade to MDE. MDE is taking that under last MS. RYAN: So I think the biggest issue	16		16	
preliminary engineering report for their ENR upgrade to MDE. MDE is taking that under 19 MR. CALLAHAN: Yup. 20 MS. RYAN: So I think the biggest issue	17	the latest development. And that is that the	17	lots and anticipated growth.
20 upgrade to MDE. MDE is taking that under 20 MS. RYAN: So I think the biggest issue	18	Town of Trappe last week voted to submit the	18	Are there questions there? Okay.
	19	preliminary engineering report for their ENR	19	MR. CALLAHAN: Yup.
21 review right now. We expect to be under 21 goes to Trappe's existing plant and discharge	20		20	
	21	review right now. We expect to be under	21	goes to Trappe's existing plant and discharge

		110	Trebluary 1, 2022
	Page 50		Page 52
1	requirements and compliance. And there's been	1	I've provided to Ray and I think Ray can
2	a lot of talk over the last year about Trappe's	2	confirm, the Town of Trappe has done an amazing
3	plant being outdated and I guess this term of	3	job operating its treatment plant.
4	failing.	4	I assume there's probably questions there.
5	I think I've reiterated multiple times	5	I don't know if you want to speak to Ray on
6	that Trappe's plant, while outdated, isn't	6	that.
7	meeting the latest technology, it's a BIOLAC	7	MR. CALLAHAN: Well, well, first of all, I
8	plant, it's by no means failing.	8	want to commend Trappe for at this point
9	It does achieve ENR phosphorus of .3	9	upgrading and making the decision to upgrade
10	milligrams per liter, but it is not meeting the	10	their existing plants. So the citizens and
11	nitrogen levels. However, it's not required	11	everybody should be excited about that.
12	to. They have discharge permit limits for	12	You know, so that's going to happen,
13	nitrogen as ammonia, phosphorus, fecal	13	Lyndsey. So for the first year, what does that
14	coliform, and annual max loads for phosphorus	14	mean for the first year? Run that by me again.
15	and nitrogen.	15	MS. RYAN: So we've submitted the
16	Prior to February of 2021, the Town of	16	preliminary engineering report. Once MDE
17	Trappe, from January of 2016 to January of	17	determines eligibility on grant versus loan
18	2021, only had two discharge permit violations.	18	funding and how much of the project they will
19	Both of them were related to ammonia. And mind	19	actually fund, the engineer will start design
20	you, that's two when they're testing every	20	of that plant. So the first year will be
21	single day over that entire span.	21	design and bidding.
	Page 51		Page 53
1	In February through April of 2021, the	1	After we have the contract bid, obviously
2	town experienced violations related to ammonia	2	construction will start. And a conservative
3	and nitrogen. And part of that was because the	3	time frame for construction and operation,
4	town was storing excess water in their lagoon.	4	having it up and running meeting ENR standards,
5	In February, they tried to run some of that	5	is two years.
6	excess water through their treatment plant,	6	MR. CALLAHAN: Okay. Well, that's great.
7	which resulted in some of the biomass, the	7	I mean that's exciting. I appreciate that,
8	healthy biomass basically dying off.	8	Trappe, for you doing that.
9	They weren't aware of the February issue	9	Ray, do you want to just add a little bit
10	until the end of March when they received their	10	to that?
11	lab results from their contract lab. And by	11	Go ahead. Take yourself off mute, please.
12	the time they learned of it at the end of	12	MR. CLARKE: One of these days I'll
13	March, they immediately took corrective action,	13	remember that.
14	which was to purchase a monitoring tool that	14	MR. CALLAHAN: There you go. No problem.
15	allowed them to conduct the on-site monitoring	15	MR. CLARKE: Sorry about that.
16	of ammonia daily. They're also in the process	16	Lyndsey did share all the DMRs from the
17	of getting a sludge permit.	17	Town of Trappe with me. They are doing a good
18	Since that time, the town immediately	18	job. And again, I would like to commend I
19	corrected the issues. They've had no discharge	19	think it's Steve Callahan who is their operator
20	permit violations.	20	down there. He does he's constantly
21	I think looking at a history, all of which	21	working. And I mean we'll do whatever we can
1	J ,		

	<u> </u>		• ,
1	Page 54	1	Page 56
1	to support him.	1	SPEAKER: Yeah. We re-sleeved most of
2	I would say at this point I mean we, the	2	Harrison Circle with a fiberglass lining, which
3	county, we run into problems as well. So I	3	is top of the line for fixing pipes around
4	mean	4	there for leaking water that's running in also
5	MR. CALLAHAN: Right, right.	5	there. So that's been done. We have been
6	MR. CLARKE: we all have issues.	6	taking measures to take care of that.
7	MR. CALLAHAN: That's why we're all here	7	MR. CALLAHAN: That's good.
8	right now to work as a team, and we're here to	8	Council, Mr
9	help Trappe. That's what we're here for. And	9	MR. DIVILIO: This is Frank Divilio.
10	it sounds like they're doing their part.	10	Mr. Clarke, I believe you brought that,
11	That's for sure. And I'm proud of them.	11	the county wide I&I program or problem, to the
12	So okay. Anything else right this second,	12	Council my first year on the Council.
13	Ray?	13	Can you remind me what the County Council
14	MR. CLARKE: I'm good.	14	has put in place to or I don't believe we've
15	MR. CALLAHAN: Okay, Lyndsey.	15	taken any action to reduce the I&I problem
16	MR. LESHER: Mr. Callahan.	16	throughout the entire county; have we?
17	MR. CALLAHAN: Yes, Mr. Lesher.	17	MR. CLARKE: Mr. Divilio, you are correct.
18	MR. LESHER: Can I just ask a question	18	We have not done any I&I work on Tilghman. And
19	about	19	we definitely need to do that. I think
20	MR. CALLAHAN: Yup.	20	MR. DIVILIO: Okay.
21	MR. LESHER: About any I&I challenges with	21	MR. CLARKE: we'd like to try and do,
	Page 55		Page 57
1	the system and how that may relate to the	1	as Lyndsey indicated, a real quick test is a
2	performance?	2	smoke test. And I think that should be done.
3	MS. RYAN: Right. So I mean I think	3	And that's something we are looking at trying
4	every, pretty much every municipality, every	4	to plan this spring for Tilghman, is smoke
5	government really struggles with I&I issues,	5	testing because it does identify a lot of
6	unfortunately.	6	problem areas quickly.
7	The Town of Trappe has undertaken some	7	MR. DIVILIO: Thank you. So again, it has
8	measures to address I&I issues. They've	8	been brought to the one of the complaints
9	undertaken a pump station project where they	9	that we hear is the I&I problem in Trappe.
10	are in the process of replacing and	10	And Trappe is, Lyndsey, if I'm getting it
11	revitalizing some of their pump stations, which	11	right, you've already put some things in place
12	will improve some of the I&I.	12	and continue of additional testing and other
13	They also have smoke testing scheduled to	13	things going forward, yet the County Council
14	come out in the spring so that they can	14	has done nothing throughout the rest of the
15	determine better where they're having the I&I	15	county. Is that correct?
16	issues coming from.	16	MS. RYAN: It's correct on our end, yes.
17	And there's another project, Harrison	17	MR. DIVILIO: Well, thank you for being
18	Circle. Does someone, do you want to explain	18	forward thinking. And I apologize for our
19	that?	19	delays.
20	SPEAKER: The Harrison Circle project?	20	Certainty, once we can get through this,
21	MS. RYAN: Yeah.	21	that would be the next thing that I would like
			<i>5</i>

			• '
	Page 58		Page 60
1	to focus on. Thank you.	1	an issue, I mean Trappe was really truly moving
2	MR. CALLAHAN: Thank you, Mr. Divilio, for	2	forward with trying to make a decision. And
3	bringing that to our attention. And seems like	3	let's face it, I mean you guys know, again,
4	Trappe is on top of it. That's for sure.	4	with running county wide sewer plants that
5	Mr. Lesher.	5	there's several different options whether or
6	MR. LESHER: Well, not to this, but we've	6	not you run it here, you set up a treatment
7	been dealing with this extensively in	7	plant there, do we tie it into an existing
8	St. Michael's and have been spending	8	treatment plant. I mean there's just so many
9	considerable funds on improving I&I problems	9	options. And really trying to find out which
10	down there.	10	one is the most feasible for the Town of Trappe
11	But the other part of the question was the	11	is a process.
12	extent to which this is impacting plant	12	And so you know, it's really something
13	performance.	13	that's been in the works for a couple of years.
14	MR. CALLAHAN: Is that question to	14	And again, a lot of it has to do with
15	Lyndsey?	15	feasibility and really truly what is the best
16	MS. RYAN: I believe so. And so the I&I	16	option for the environment, whether or not we
17	at this point is not impacting plant	17	run it here, whether or not we upgrade our own
18	performance.	18	plant, whether or not we do a couple of other
19	What happened in February was we were	19	options. But yeah.
20	storing rainwater from the prior months in the	20	So again, it's I think, as we're
21	water storage pond. And we're trying to get	21	hearing here, Trappe really is trying to is
	Page 59		Page 61
1	rid of some of that. In addition to the	1	taking care of what we need to take care of and
2	extreme amount of water, it was cold. So after	2	we're moving forward.
3	that time, the operator learned that it was an	3	MR. CALLAHAN: That sounds great. And I'm
4	operational error and has not made the same	4	happy for you guys. And we're here to support
5	error since.	5	you guys on that, too. So that's great to
6	MR. LESHER: Thank you.	6	hear. I'm glad you're making decisions.
7	MR. CALLAHAN: Lyndsey, does any of the	7	And it's all about communication. So I'm
8	Council want to say a few words? Or we move on	8	proud of you.
9	, C1 1, 0	1	
10	to Showalter?	9	I see Mr. Chase back there. You know what
10	SPEAKER: (Inaudible.)	9 10	I see Mr. Chase back there. You know what I mean? He's probably dying to say something.
11			
	SPEAKER: (Inaudible.)	10	I mean? He's probably dying to say something.
11	SPEAKER: (Inaudible.) SPEAKER: So anyway, I think as far as our	10 11	I mean? He's probably dying to say something. MR. CHASE: I might say too much.
11 12	SPEAKER: (Inaudible.) SPEAKER: So anyway, I think as far as our ENR plant, I believe it's been fairly well	10 11 12	I mean? He's probably dying to say something. MR. CHASE: I might say too much. MR. CALLAHAN: I know it. I hear you, I
11 12 13	SPEAKER: (Inaudible.) SPEAKER: So anyway, I think as far as our ENR plant, I believe it's been fairly well documented with our open meetings and so on and	10 11 12 13	I mean? He's probably dying to say something. MR. CHASE: I might say too much. MR. CALLAHAN: I know it. I hear you, I hear you.
11 12 13 14	SPEAKER: (Inaudible.) SPEAKER: So anyway, I think as far as our ENR plant, I believe it's been fairly well documented with our open meetings and so on and so forth that actually the Town of Trappe has	10 11 12 13 14	I mean? He's probably dying to say something. MR. CHASE: I might say too much. MR. CALLAHAN: I know it. I hear you, I hear you. Well, this Council wants to be able to
11 12 13 14 15	SPEAKER: (Inaudible.) SPEAKER: So anyway, I think as far as our ENR plant, I believe it's been fairly well documented with our open meetings and so on and so forth that actually the Town of Trappe has been researching several options for an ENR	10 11 12 13 14 15	I mean? He's probably dying to say something. MR. CHASE: I might say too much. MR. CALLAHAN: I know it. I hear you, I hear you. Well, this Council wants to be able to communicate with you guys and be able to
11 12 13 14 15 16	SPEAKER: (Inaudible.) SPEAKER: So anyway, I think as far as our ENR plant, I believe it's been fairly well documented with our open meetings and so on and so forth that actually the Town of Trappe has been researching several options for an ENR plant for the last two years.	10 11 12 13 14 15 16	I mean? He's probably dying to say something. MR. CHASE: I might say too much. MR. CALLAHAN: I know it. I hear you, I hear you. Well, this Council wants to be able to communicate with you guys and be able to support you. And I think it's long overdue
11 12 13 14 15 16 17	SPEAKER: (Inaudible.) SPEAKER: So anyway, I think as far as our ENR plant, I believe it's been fairly well documented with our open meetings and so on and so forth that actually the Town of Trappe has been researching several options for an ENR plant for the last two years. So the decision that we made the other	10 11 12 13 14 15 16 17	I mean? He's probably dying to say something. MR. CHASE: I might say too much. MR. CALLAHAN: I know it. I hear you, I hear you. Well, this Council wants to be able to communicate with you guys and be able to support you. And I think it's long overdue that we're here talking to each other. That's
11 12 13 14 15 16 17	SPEAKER: (Inaudible.) SPEAKER: So anyway, I think as far as our ENR plant, I believe it's been fairly well documented with our open meetings and so on and so forth that actually the Town of Trappe has been researching several options for an ENR plant for the last two years. So the decision that we made the other night really wasn't a new decision. It wasn't	10 11 12 13 14 15 16 17	I mean? He's probably dying to say something. MR. CHASE: I might say too much. MR. CALLAHAN: I know it. I hear you, I hear you. Well, this Council wants to be able to communicate with you guys and be able to support you. And I think it's long overdue that we're here talking to each other. That's for sure.

	Turot County		
	Page 62		Page 64
1	the best we can because you do have a big	1	like to do.
2	project on your hands and you got two projects.	2	MR. CALLAHAN: Yes, sir.
3	You got your stuff and you got Lakeside.	3	MR. SHOWALTER: Is first address what
4	And we want you to be prosperous, and we	4	Resolution 281 accomplished and then what might
5	want you to be very successful. And we want to	5	be the impact of undoing it.
6	be helpful to you. We want you guys to be able	6	MR. CALLAHAN: Okay. Yes, sir.
7	to reach out to our staff at any time and be	7	MR. SHOWALTER: And then speak a little
8	able for us to assist you guys.	8	bit about what is happening at Lakeside.
9	So being that's said, Lyndsey, you got	9	And like I said, I'm happy to be
10	anything else before I turn it over to	10	interrupted and take questions at the Council's
11	Mr. Showalter?	11	pleasure.
12	MS. RYAN: I don't believe so. But if	12	MR. CALLAHAN: Well, let's make sure if
13	there's any further questions or anything else	13	somebody has a question, we want to be
14	comes up, feel free to ask. We'll be here.	14	respectful and not all talk at one time. So if
15	MR. CALLAHAN: Well, good job. I really	15	somebody has something, just let me know and
16	appreciate you helping us understand where you	16	we'll ask Mr. Showalter.
17	guys are at right now. And you've done a great	17	MR. SHOWALTER: So I think the first
18	job.	18	thing, it's important to understand the facts
19	So I think, Council, any other questions	19	about what Resolution 281 did, what it
20	for Trappe at this time?	20	accomplished. And so I've got a number of
21	MR. LESHER: I will add my congratulations	21	different bullets.
	Page 63		Page 65
1	to Trappe for taking that enormous step forward	1	I think first thing it accomplished is it
2	with their PER for the upgraded plant. That's	2	accurately reflects the municipal boundary as
3	an important and necessary step and that will	3	changed by the annexation of the Lakeside
4	have benefits far beyond Trappe.	4	property in 2003. Annexation was completed a
5	MR. CALLAHAN: Right.	5	year after the 2002 water and sewer plan was
6	MR. LESHER: And you're to be commended	6	adopted. It didn't reflect, your plan didn't
7	for doing that.	7	reflect the town boundary until the adoption of
8	MR. CALLAHAN: Thank you, Mr. Lesher. I	8	Resolution 281. So for nearly 20 years, the
9	couldn't agree more, Mr. Lesher. Thank you.	9	county plan didn't reflect the town boundary,
10	So I see Mr. Showalter is in the	10	and that's been updated.
11	background there. Welcome tonight.	11	The second thing is it updated the service
12	MR. SHOWALTER: Thank you, President	12	area designations in the water and sewer plan
13	Callahan.	13	to classify the properties specified in the
14	MR. CALLAHAN: Yup, yup. So you've hear	d14	resolution and then owned by Trappe East
15	what's going on on a lot of these subjects and	15	Holdings Business Trust as depicted by Exhibits
16	everything.	16	A and B of Resolution 281.
17	So we would like to hear from you, if you	17	And I know there has been discussion about
18	would, please, and reassure us that we're	18	some mapping errors, some drafting errors. The
19	headed in the right direction.	19	case law in Maryland is very clear that the
20	MR. SHOWALTER: So I'm happy to take	20	intent of the legislature controls. And
21	questions at any time, but I think what I'd	21	Resolution 281 is very clear, both in its title
	Taranama at any mino, out I mink what I d		

	,		•
	Page 66		Page 68
1	and its text. It identifies the parcels that	1	And so when this water and sewer plan was
2	were the subject of the application and the	2	adopted in 2002, Mr. Clarke indicated that at
3	parcels that were discussed at the Planning	3	that time he reached out to each of the
4	Commission and County Council public hearings,	4	municipalities in the county to understand what
5	the parcels that were intended to be changed.	5	their needs and issues were, what their
6	So I submit to you it designated as either	6	anticipated challenges and changes would be.
7	S-1, W-1 or retain the S-2, W-2 designation for	7	And Resolution 100, which adopted the 2002
8	those properties that were specifically	8	report of the review, included language that
9	identified on the application and identified by	9	specifically contemplated the creation of a new
10	the text of the title and the text of the	10	water and sewer district called Trappe East.
11	resolution itself.	11	And it included provisions for the Trappe East
12	I don't believe Resolution 281 has a legal	12	development that the town suggested to the
13	effect on the additional parcels that were	13	county is what they had envisioned at that
14	either upgraded or downgraded but not	14	time.
15	specifically identified in the resolution or	15	So it had a general provision that
16	discussions during the public hearing.	16	contemplated extension of water systems to
17	And I'll come back to cleanup of that	17	serve portions of Trappe East in 2003, but not
18	mapping issue at the end of my comments.	18	details.
19	MR. CALLAHAN: That's fine.	19	Engineering has been done. Water system
20	MR. SHOWALTER: So approximately one-half	20	capacity studies have been completed. There's
21	of the developable portion of the Lakeside	21	a lot more details now obviously than there
	Page 67		Page 69
1	project site is designated as S-1, W-1. And I	1	were back in 2002.
2	think it's important to understand when you	2	So 281 updated the text to specifically
3	look at the land areas, Mr. Clarke indicated it	3	describe new wells that are being added in
4	was about three-fifths. And I think that's	4	pairs, water treatment, the sequence of
5	probably correct from a total land area	5	connecting the first well couplet and water
6	perspective. But the S-1, W-1 designation	6	treatment to the town's distribution system,
7	includes all of the spray irrigation fields,	7	the construction of a water tower, and other
8	the bulk of the forests that are in forest	8	details.
9	conservation, and the lake, because there will	9	The next thing that 281 did is it updated
10	be some public recreational amenities that will	10	the narrative text regarding sewer systems.
11	get water and sewer access around the lake.	11	And as I mentioned, the 2002 plan specifically
12	So when you look at it and you exclude the	12	contemplated the creation of a separate sewer
13	large lake, the spray fields, and the forest,	13	district called Trappe East. And based upon
14	it's about 50/50 in terms of S-1 or S-2	14	information the county received from conceptual
15	designations.	15	infrastructure thinking by the Town of Trappe
16	The Resolution 281 also updated the	16	back in 2002, the 2002 report of the review
17	narrative description in the text of the water	17	specifically provided for a 540,000-gallon
18	system components of your plan to more	18	wastewater treatment plant to serve Trappe
		19	East. It noted that that plant would discharge
19	specifically describe the improvements that are	1 1	
19 20	contemplated for the Town of Trappe, and	20	its wastewater by spray irrigation. It

Page 70 1 The 2021 amendment, the Resolution 281 2 amendments, updated that text to require the 3 Lakeside, formerly Trappe East, wastewater 4 treatment plant to be ENR rather than BNR. It 5 also provided for phased construction of the 1 It also authorized service of the 2 30 to 40,000 gallons of flow to be 3 town's existing wastewater treatm 4 And I understand that's probably to focus of all of this discussion over	Page 72
2 amendments, updated that text to require the 3 Lakeside, formerly Trappe East, wastewater 4 treatment plant to be ENR rather than BNR. It 4 And I understand that's probably to	
3 Lakeside, formerly Trappe East, wastewater 4 treatment plant to be ENR rather than BNR. It 4 And I understand that's probably to	e initial
4 treatment plant to be ENR rather than BNR. It 4 And I understand that's probably t	through the
	ent plant.
5 also provided for phased construction of the 5 focus of all of this discussion over	the principal
	r the last
6 wastewater treatment plant. 6 many months.	
7 Twenty years ago, the method of 7 Another thing that Resolution 2	281 did is
8 construction that was anticipated was the 8 it added details regarding financial	al management
9 construction of a large concrete tank structure 9 for the Trappe East sewer district.	. It was not
with 540,000 gallons of capacity. In other 10 contained in the 2002 report of the	e review.
11 words, construction of the whole treatment 11 Notably, 281 updated capital in	mprovements
plant at once, which presents operational 12 tables in both the water and sewer	r portions of
13 challenges. 13 your plan. So it updated the date	for
14 MS. PRICE: Ryan. 14 construction of the water distribut	tion system
15 MR. SHOWALTER: Yes. 15 for Trappe East, or Lakeside. The	e 2002 plan
16 MS. PRICE: Sorry. 16 contemplated that that infrastructu	ure would
MR. CALLAHAN: Go ahead, Ms. Price. 17 begin construction in 2003. It obv	viously
MS. PRICE: The audio is terrible. Can 18 didn't begin in 2003. So Resolution	on 281
you back up? Just back up like three sentences 19 updated that date to 2020.	
20 where you said I lost you. You said 20 As you know, construction of t	that started
21 described as a BNR level plant. If you just go 21 in 2021.	
Page 71	Page 73
1 back, pick up from there. 1 It provided for the addition of	a water
2 I'm sorry. I was having some audio 2 treatment facility and well couple	et in the
3 issues. Thank you. 3 Trappe East service district, as I n	mentioned
4 MR. SHOWALTER: Sure. So 2002 report of 4 before.	
4 WIK. SHOWALTER. Suite. 30 2002 report of 4 before.	ncion of the
5 the review authorized the construction of a 5 It changed the timing for extended	distoit of the
_	
5 the review authorized the construction of a 5 It changed the timing for exten	from 2006 to
5 the review authorized the construction of a 5 It changed the timing for extend 6 BIOLAC treatment system with BNR level 6 Trappe East water supply system	from 2006 to So the 2002
5 the review authorized the construction of a 6 BIOLAC treatment system with BNR level 7 treatment. B, as in biological nutrient 5 It changed the timing for extendal treatment occur over the next eight years. S	from 2006 to So the 2002 trict to be
5 the review authorized the construction of a 6 BIOLAC treatment system with BNR level 7 treatment. B, as in biological nutrient 8 removal. 5 It changed the timing for extendal trappe East water supply system 7 occur over the next eight years. S 8 plan calls for the Trappe East dist	from 2006 to So the 2002 trict to be
the review authorized the construction of a BIOLAC treatment system with BNR level 7 treatment. B, as in biological nutrient 7 occur over the next eight years. S removal. 8 plan calls for the Trappe East dist 9 281 changed that text to require the 9 further extended in 2006. No con	from 2006 to So the 2002 trict to be instruction urrent plan, as
5 the review authorized the construction of a 6 BIOLAC treatment system with BNR level 7 treatment. B, as in biological nutrient 8 removal. 9 281 changed that text to require the 10 Lakeside, or Trappe East, service district to 10 It changed the timing for extended to extend the system occur over the next eight years. So a plan calls for the Trappe East district to 10 occurred before 2021. So your cut	from 2006 to So the 2002 trict to be astruction urrent plan, as
the review authorized the construction of a BIOLAC treatment system with BNR level 7 treatment. B, as in biological nutrient 8 removal. 8 plan calls for the Trappe East dist 9 281 changed that text to require the 9 further extended in 2006. No con 10 Lakeside, or Trappe East, service district to 10 occurred before 2021. So your cut 11 be served by an ENR, or enhanced nutrient 11 amended by Resolution 281, calls	from 2006 to So the 2002 trict to be instruction turrent plan, as s for that 2023 and 2030.
the review authorized the construction of a BIOLAC treatment system with BNR level 7 treatment. B, as in biological nutrient 8 removal. 8 plan calls for the Trappe East dist 9 281 changed that text to require the 9 further extended in 2006. No con 10 Lakeside, or Trappe East, service district to 10 occurred before 2021. So your cut 11 be served by an ENR, or enhanced nutrient 11 amended by Resolution 281, calls 12 removal plant. So required a higher level of 12 system to be expanded between 2	from 2006 to So the 2002 trict to be instruction turrent plan, as s for that 2023 and 2030. It and the
the review authorized the construction of a BIOLAC treatment system with BNR level 6 Trappe East water supply system 7 treatment. B, as in biological nutrient 7 occur over the next eight years. S removal. 8 plan calls for the Trappe East dist 9 further extended in 2006. No con 10 Lakeside, or Trappe East, service district to 10 occurred before 2021. So your cu 11 be served by an ENR, or enhanced nutrient 11 amended by Resolution 281, calls 12 removal plant. So required a higher level of 12 system to be expanded between 2 13 treatment than was in the plan before 281 was 13 The capital improvements table	from 2006 to So the 2002 trict to be enstruction current plan, as as for that 2023 and 2030. Ile and the enstruction of
the review authorized the construction of a BIOLAC treatment system with BNR level 6 Trappe East water supply system 7 treatment. B, as in biological nutrient 7 occur over the next eight years. S removal. 8 plan calls for the Trappe East dist 9 281 changed that text to require the 9 further extended in 2006. No con 10 Lakeside, or Trappe East, service district to 10 occurred before 2021. So your cut 11 be served by an ENR, or enhanced nutrient 11 amended by Resolution 281, calls 12 removal plant. So required a higher level of 12 system to be expanded between 2 13 treatment than was in the plan before 281 was 14 water system now calls for the conditions of the condition of the c	from 2006 to So the 2002 trict to be instruction turrent plan, as s for that 2023 and 2030. It and the construction of the product of the pro
the review authorized the construction of a BIOLAC treatment system with BNR level 6 Trappe East water supply system 7 treatment. B, as in biological nutrient 7 occur over the next eight years. S removal. 8 plan calls for the Trappe East dist 9 plan calls for the Trappe East dist 9 further extended in 2006. No con 10 Lakeside, or Trappe East, service district to 10 occurred before 2021. So your cut 11 be served by an ENR, or enhanced nutrient 11 amended by Resolution 281, calls 12 removal plant. So required a higher level of 12 system to be expanded between 2 13 treatment than was in the plan before 281 was 14 adopted. 15 The other structural change to the 15 a 250,000-gallon water tower to he	from 2006 to So the 2002 trict to be enstruction turrent plan, as as for that 2023 and 2030. The and the construction of the properties of
the review authorized the construction of a BIOLAC treatment system with BNR level 6 Trappe East water supply system 7 treatment. B, as in biological nutrient 7 occur over the next eight years. S 8 removal. 8 plan calls for the Trappe East dist 9 281 changed that text to require the 9 further extended in 2006. No con 10 Lakeside, or Trappe East, service district to 10 occurred before 2021. So your cut 11 be served by an ENR, or enhanced nutrient 11 amended by Resolution 281, calls 12 removal plant. So required a higher level of 12 system to be expanded between 2 13 treatment than was in the plan before 281 was 14 adopted. 15 The other structural change to the 15 a 250,000-gallon water tower to h 16 wastewater treatment plant, before the plant 16 water pressures in the Trappe water 17 treatment than the contact of the 18 and 19 treatment than was in the plant 19 treatment than water treatment plant, before the plant 19 treatment than trappe water treatment plant, before the plant 19 treatment than trappe water treatment plant, before the plant 19 treatment than trappe water treatment plant, before the plant 19 treatment treatment treatment plant, before the plant 19 treatment treatment treatment plant, before the plant 19 treatment plant treatment plant 19 treatment treatment plant 19 treatment 19 treatment plant 19 treatment 19 treatment	from 2006 to So the 2002 trict to be enstruction current plan, as as for that 2023 and 2030. The and the construction of melp maintain ter system. The system of
the review authorized the construction of a BIOLAC treatment system with BNR level 6 Trappe East water supply system 7 treatment. B, as in biological nutrient 7 occur over the next eight years. S 8 removal. 8 plan calls for the Trappe East dist 9 further extended in 2006. No con 10 Lakeside, or Trappe East, service district to 10 occurred before 2021. So your cut 11 be served by an ENR, or enhanced nutrient 11 amended by Resolution 281, calls 12 removal plant. So required a higher level of 12 system to be expanded between 2 13 treatment than was in the plan before 281 was 14 adopted. 15 The other structural change to the 15 a 250,000-gallon water tower to h 16 wastewater treatment plant, before the plant 16 water pressures in the Trappe wat 17 was proposed as a BIOLAC plant that was 17 It also deferred the date for extended 18 plant 19 It also deferred the date for extended 19 plant 19 plan	from 2006 to So the 2002 trict to be enstruction turrent plan, as s for that 2023 and 2030. The and the construction of melp maintain ter system. The service
the review authorized the construction of a BIOLAC treatment system with BNR level treatment. B, as in biological nutrient removal. By treatment B, as in biological nutrient removal. By 281 changed that text to require the Lakeside, or Trappe East, service district to be served by an ENR, or enhanced nutrient removal plant. So required a higher level of treatment than was in the plan before 281 was adopted. The other structural change to the wastewater treatment plant, before the plant was proposed as a BIOLAC plant that was constructed all at once, changes in technology The changed the timing for extended in 2006. The change that tight years. So the plant and coccur over the next eight years. So plan calls for the Trappe East dist occurred before 2021. So your cut amended by Resolution 281, calls system to be expanded between 2 system to be expanded between 2 treatment than was in the plan before 281 was a 250,000-gallon water tower to have the plant at 250,000-gallon water tower to have the plant are pressures in the Trappe wat the Source of the date for extended in 2006. No con 10 occurred before 2021. So your cut amended by Resolution 281, calls system to be expanded between 2 system to be expanded between 2 treatment than was in the plan before 281 was 13 occurred before 2021. So your cut amended by Resolution 281, calls system to be expanded between 2 system to be expanded between 2 treatment than was 14 water system now calls for the construction occurred before 2021. So your cut amended by Resolution 281, calls system to be expanded between 2 system to be expanded between 2 treatment than was 15 at 250,000-gallon water tower to have the form of the plant and occurred before 2021. So your cut amended by Resolution 281, calls system to be expanded between 2 treatment plant, before the plant amended by Resolution 281, calls are plant and occurred before 2021. So your cut amended by Resolution 281, calls are plant and occurred before 2021.	from 2006 to So the 2002 trict to be instruction turrent plan, as is for that 2023 and 2030. Ile and the instruction of inelp maintain inter system. Itension of service th Lakeside.

	Taibot County	VV O1	Teoruary 7, 2022
	Page 74		Page 76
1	district would have water extended in 2004.	1	MR. SHOWALTER: Well, all of everything
2	The plan as amended calls for that extension to	2	related to Lakeside was permitted by the 2002
3	occur in 2025 through 2030.	3	report of the review.
4	And then notably, the last thing it added	4	281 has more detail. So it provides
5	to the water table is it added a new capital	5	better granularity for the infrastructure
6	project for the town, which was a new well and	6	planning and it updates the dates.
7	treatment system in the Piney Point aquifer at	7	Your plan before 281 said all of that
8	a cost of about \$1.1 million. And I don't	8	would happen 18 years ago. It didn't. So you
9	believe Ms. Ryan mentioned it, but that well	9	updated the dates. And in some cases, where it
10	is, as I understand it, is substantially, it	10	talks about the additional extension into the
11	was substantially completed last month. And	11	rest of Lakeside, you pushed that out to 2025
12	final completion of that improvement is	12	through 2030. So you updated the timing.
13	anticipated in the next several weeks.	13	The component that is really truly new was
14	So that's a capital improvement that the	14	the construction of a new well and water
15	town couldn't have obtained permits for absent	15	treatment system for the town, which they've
16	Resolution 281. You put it in the capital	16	already completed.
17	improvements table at the request of the town,	17	MR. CALLAHAN: Got you.
18	MDE issued those permits, the town spent a	18	MR. SHOWALTER: The next changes that 281
19	little over a million dollars and has	19	accomplished are in the table 21, which is the
20	constructed a new well based on Resolution 281.	20	Trappe sewer system capital improvements table.
21	MR. CALLAHAN: So Mr. Showalter, so the	21	And again, it's not coincidence that the
	Page 75		Page 77
1	upgrade of that water system, is that handling	1	2002 report of the review referenced a
2	both? Is that handling both?	2	540,000-gallon wastewater treatment plant for
3	MR. SHOWALTER: Yes. So the town will	3	Trappe East. That was the conceptual size that
4	have one water system. The water system is	4	was determined to be needed for an annexation
5	looped. And so the water supply, the water	5	that the town was beginning to think about
6	system has adequate capacity to serve the	6	infrastructure for. And that's what we're
7	initial phases of the Lakeside project.	7	building today.
8	The first pair of wells that will be added	8	So your table still contemplates a
9	to the system have been constructed. They	9	540,000-gallon wastewater treatment plant, but
10	already exist. All that we need to do is add	10	the time frame for construction was changed
11	treatment and connect those into the water	11	from 2003, which is when it was anticipated to
12	system.	12	be starting in 2002 when the plan was adopted,
13	And then as additional demands occur on	13	to 2020. So you pushed that forward 17 years.
14	the system, a water tower, a second water	14	Made similar changes on the extensions of
15	tower, will be added to maintain pressures.	15	that sewer collection system throughout
16	And then additional wells and water treatment	16	Lakeside. And instead of saying you're going
1	The then additional wens and water treatment		
17	will occur throughout the build-out of the	17	to start in 2006, it pushes future extensions
17 18		17 18	to start in 2006, it pushes future extensions down into 2025 through 2030 time frame.
	will occur throughout the build-out of the		-
18	will occur throughout the build-out of the project.	18	down into 2025 through 2030 time frame.

	-		, ,
	Page 78		Page 80
1	from 2004 to 2025 through 2030.	1	about a million and a half dollars, for design
2	The extension of sewer along Howe Point	2	of pump stations that are in Resolution 281.
3	Road was contemplated in 2002 to begin in 2005.	3	And that upgrade is intended to address the I&I
4	That hasn't happened. So Resolution 281 pushes	4	issues that exist in the town.
5	that capital improvement project out to 2022.	5	So I think the next thing I'd like to talk
6	And then these are two really significant	6	about is what would be the impact of undoing
7	changes. Resolution 281 amended the capital	7	Resolution 281, whatever that means.
8	improvements table to provide for upgrade or	8	And to be very clear, for the reasons I've
9	replacement of Trappe's existing wastewater	9	previously expressed and don't think we need to
10	treatment plant. So as Ms. Ryan just reported,	10	get into tonight, I do not believe the County
11	because you adopted 281 and 281 provides for an	11	Council has the legal authority to rescind
12	upgrade of the town's treatment plant to ENR,	12	Resolution 281. So I'm just speaking
13	they're able to complete and submit a PER to	13	hypothetically. I'm not conceding that you
14	MDE, which is undergoing review. That was	14	can. But I'm just saying if you did that and
15	added by Resolution 281.	15	purported to rescind it, what would that mean
16	It wasn't added because of the discussion	16	for the Lakeside project and the Town of
17	that's occurred over the last six months. It	17	Trappe.
18	wasn't added because of the violations that	18	The first is phasing of the Lakeside water
19	occurred in February.	19	and sewer service designations. And as
20	That capital improvement was identified by	20	Mr. Clarke advised, MDE has indicated for years
21	the town, discussed with Mr. Clarke beginning	21	that if used, the S-2 designation on figure 24
	Page 79		Page 81
1	in 2019, and was presented to the county in the	1	of the 2002 report of the review, has the
2	application as an improvement the town intended	2	service area designation under the water and
3	to make beginning as indicated in that table.	3	sewer plan. And I'll come back to that in a
4	And the county adopted 281 and put the capital	4	minute. I agree with that interpretation.
5	improvement in the program.	5	And MDE has also, as I understand it,
6	The other capital improvement, which goes	6	consistently advised the county engineer that
7	to the questions about I&I, the town	7	because of the passage of time and the time
8	specifically identified, again, back in 2019	8	that was specified in the 2002 report of the
9	and '20 a need and a desire to further address	9	review, it didn't matter whether it's S-1 or
10	I&I in its system. So the town called for the	10	S-2. Everything that's in the Town of Trappe
11	repair or replacement of seven of their	11	is available for service.
12	existing pump stations and gravity lines	12	So what Resolution 281 did, is you adopted
13	throughout the town.	13	new water and sewer service area designations.
14	That was put in 281. The Council's	14	And you designated the southern half of the
15	adoption of 281 added that as a capital	15	project as S-2, W-2. And under the county's
16	improvement.	16	water and sewer plan, S-2, W-2 is a service
17	The Harrison Circle lining of collection	17	designation that indicates it's programmed for
18	system was done by the Council and completed a	18	progress within a three to five-year period
19	little more than a year ago.	19	from the date of adoption of the amendment.
20	Notably, the town has incurred expenses	20	So before 281, the entire 2,500 units of
21	and borrowed in excess of a million dollars,	21	Lakeside in MDE's minds could be served by

	Tuioot County		_
	Page 82		Page 84
1	water and sewer. After the adoption of 281,	1	it's been paid for that capacity.
2	the southern half of Lakeside isn't available	2	The developer has progressed with
3	for service until three to five years after	3	construction on the basis of that agreement.
4	your adoption of 281.	4	Also on the basis of both that agreement
5	If you rescind 281, my belief is you	5	and Resolution 281, construction plans were
6	revert back to that S-2 designation for the	6	designed for a pump station that will send
7	entire property, but the three to five-year	7	wastewater initially to the town's collection
8	measurement is from the date of adoption of the	8	system and then ultimately to the Lakeside
9	plan. So it's three to five years from 2002,	9	treatment plant. Those plans were submitted.
10	not three to five years from your rescission.	10	They were reviewed and approved by the town's
11	So in other words, the entire area is available	11	engineers. And then they were submitted to
12	for service.	12	MDE. And MDE reviewed and approved those plans
13	The rescission or unwinding, whatever the	13	and issued a construction permit for the pump
14	right verb, is of Resolution 281 would change	14	station and force main connection to the Town
15	the specifics about the Lakeside wastewater	15	of Trappe in April of 2021.
16	treatment plant. To go back to the language	16	That force main has been constructed.
17	that's in the 2002 plan, there's still specific	17	It's in the ground. The wet well and pump
18	provisions for a 540,000-gallon per day	18	station are in the ground. Mechanical
19	treatment plant that will use spray irrigation	19	equipment is being installed. The pump will be
20	for its disposal, but it would be permitted to	20	set as soon as electric is extend to the pump
21	use BNR, biological nutrient removal, treatment	21	station, but that improvement is substantially
	Page 83		Page 85
1	technology instead of today's standard of	1	complete.
2	enhanced nutrient removal. So you would permit	2	So even if you have the ability to rescind
3	a less stringent permit to be issued.	3	281, I don't believe it will be permitted by
4	The first 120 homes to be connected to the		
5	The first 120 homes to be connected to the	4	MDE or the courts for improvements that were
-	Trappe wastewater treatment plant, and again,	5	MDE or the courts for improvements that were contractually arranged between the town and the
6			contractually arranged between the town and the
	Trappe wastewater treatment plant, and again,	5	contractually arranged between the town and the
6	Trappe wastewater treatment plant, and again, as I mentioned, I understand that's largely the	5 6	contractually arranged between the town and the developer and specifically permitted by MDE and
6 7	Trappe wastewater treatment plant, and again, as I mentioned, I understand that's largely the focus of comments that you've received, I don't	5 6 7	contractually arranged between the town and the developer and specifically permitted by MDE and constructed before your rescission, I don't
6 7 8	Trappe wastewater treatment plant, and again, as I mentioned, I understand that's largely the focus of comments that you've received, I don't believe, even if you have the ability to	5 6 7 8	contractually arranged between the town and the developer and specifically permitted by MDE and constructed before your rescission, I don't think that's going to have any legal effect.
6 7 8 9	Trappe wastewater treatment plant, and again, as I mentioned, I understand that's largely the focus of comments that you've received, I don't believe, even if you have the ability to rescind 281, you will have any legal effect on	5 6 7 8 9	contractually arranged between the town and the developer and specifically permitted by MDE and constructed before your rescission, I don't think that's going to have any legal effect. The existing town well project, same
6 7 8 9 10	Trappe wastewater treatment plant, and again, as I mentioned, I understand that's largely the focus of comments that you've received, I don't believe, even if you have the ability to rescind 281, you will have any legal effect on the connection of those homes. And that's for	5 6 7 8 9	contractually arranged between the town and the developer and specifically permitted by MDE and constructed before your rescission, I don't think that's going to have any legal effect. The existing town well project, same analysis in my mind as the force main
6 7 8 9 10 11	Trappe wastewater treatment plant, and again, as I mentioned, I understand that's largely the focus of comments that you've received, I don't believe, even if you have the ability to rescind 281, you will have any legal effect on the connection of those homes. And that's for a couple of reasons.	5 6 7 8 9 10 11	contractually arranged between the town and the developer and specifically permitted by MDE and constructed before your rescission, I don't think that's going to have any legal effect. The existing town well project, same analysis in my mind as the force main connection for the first 120 homes. That well
6 7 8 9 10 11 12	Trappe wastewater treatment plant, and again, as I mentioned, I understand that's largely the focus of comments that you've received, I don't believe, even if you have the ability to rescind 281, you will have any legal effect on the connection of those homes. And that's for a couple of reasons. After the county adopted 281, it went to	5 6 7 8 9 10 11 12	contractually arranged between the town and the developer and specifically permitted by MDE and constructed before your rescission, I don't think that's going to have any legal effect. The existing town well project, same analysis in my mind as the force main connection for the first 120 homes. That well was financed by the town. It was permitted by
6 7 8 9 10 11 12 13	Trappe wastewater treatment plant, and again, as I mentioned, I understand that's largely the focus of comments that you've received, I don't believe, even if you have the ability to rescind 281, you will have any legal effect on the connection of those homes. And that's for a couple of reasons. After the county adopted 281, it went to MDE. MDE also reviewed and approved Resolution	5 6 7 8 9 10 11 12 13	contractually arranged between the town and the developer and specifically permitted by MDE and constructed before your rescission, I don't think that's going to have any legal effect. The existing town well project, same analysis in my mind as the force main connection for the first 120 homes. That well was financed by the town. It was permitted by MDE. It's almost complete. I think that well
6 7 8 9 10 11 12 13 14	Trappe wastewater treatment plant, and again, as I mentioned, I understand that's largely the focus of comments that you've received, I don't believe, even if you have the ability to rescind 281, you will have any legal effect on the connection of those homes. And that's for a couple of reasons. After the county adopted 281, it went to MDE. MDE also reviewed and approved Resolution 281.	5 6 7 8 9 10 11 12 13 14	contractually arranged between the town and the developer and specifically permitted by MDE and constructed before your rescission, I don't think that's going to have any legal effect. The existing town well project, same analysis in my mind as the force main connection for the first 120 homes. That well was financed by the town. It was permitted by MDE. It's almost complete. I think that well will be allowed to stay and will be allowed to
6 7 8 9 10 11 12 13 14 15	Trappe wastewater treatment plant, and again, as I mentioned, I understand that's largely the focus of comments that you've received, I don't believe, even if you have the ability to rescind 281, you will have any legal effect on the connection of those homes. And that's for a couple of reasons. After the county adopted 281, it went to MDE. MDE also reviewed and approved Resolution 281. On the basis of those approvals, the town	5 6 7 8 9 10 11 12 13 14 15	contractually arranged between the town and the developer and specifically permitted by MDE and constructed before your rescission, I don't think that's going to have any legal effect. The existing town well project, same analysis in my mind as the force main connection for the first 120 homes. That well was financed by the town. It was permitted by MDE. It's almost complete. I think that well will be allowed to stay and will be allowed to be utilized by the town.
6 7 8 9 10 11 12 13 14 15 16	Trappe wastewater treatment plant, and again, as I mentioned, I understand that's largely the focus of comments that you've received, I don't believe, even if you have the ability to rescind 281, you will have any legal effect on the connection of those homes. And that's for a couple of reasons. After the county adopted 281, it went to MDE. MDE also reviewed and approved Resolution 281. On the basis of those approvals, the town and the developer entered into a contract	5 6 7 8 9 10 11 12 13 14 15	contractually arranged between the town and the developer and specifically permitted by MDE and constructed before your rescission, I don't think that's going to have any legal effect. The existing town well project, same analysis in my mind as the force main connection for the first 120 homes. That well was financed by the town. It was permitted by MDE. It's almost complete. I think that well will be allowed to stay and will be allowed to be utilized by the town. Two important things that I think will be
6 7 8 9 10 11 12 13 14 15 16	Trappe wastewater treatment plant, and again, as I mentioned, I understand that's largely the focus of comments that you've received, I don't believe, even if you have the ability to rescind 281, you will have any legal effect on the connection of those homes. And that's for a couple of reasons. After the county adopted 281, it went to MDE. MDE also reviewed and approved Resolution 281. On the basis of those approvals, the town and the developer entered into a contract pursuant to which the town allocated 120	5 6 7 8 9 10 11 12 13 14 15 16 17	contractually arranged between the town and the developer and specifically permitted by MDE and constructed before your rescission, I don't think that's going to have any legal effect. The existing town well project, same analysis in my mind as the force main connection for the first 120 homes. That well was financed by the town. It was permitted by MDE. It's almost complete. I think that well will be allowed to stay and will be allowed to be utilized by the town. Two important things that I think will be impacted by rescission. MDE's review of the
6 7 8 9 10 11 12 13 14 15 16 17 18	Trappe wastewater treatment plant, and again, as I mentioned, I understand that's largely the focus of comments that you've received, I don't believe, even if you have the ability to rescind 281, you will have any legal effect on the connection of those homes. And that's for a couple of reasons. After the county adopted 281, it went to MDE. MDE also reviewed and approved Resolution 281. On the basis of those approvals, the town and the developer entered into a contract pursuant to which the town allocated 120 equivalent dwelling units, or EDUs, of capacity	5 6 7 8 9 10 11 12 13 14 15 16 17	contractually arranged between the town and the developer and specifically permitted by MDE and constructed before your rescission, I don't think that's going to have any legal effect. The existing town well project, same analysis in my mind as the force main connection for the first 120 homes. That well was financed by the town. It was permitted by MDE. It's almost complete. I think that well will be allowed to stay and will be allowed to be utilized by the town. Two important things that I think will be impacted by rescission. MDE's review of the PER and funding for upgrade of the town's

	D 06		D. 00
1	Page 86 plan. So if the county rescinds 281 and	1	Page 88 treatment plant.
2	eliminates the provisions for upgrade of the	2	The town and the developer signed an
3	town treatment plant, I'm not sure how MDE will	3	agreement in January of 2021 under which the
4	review a PER, discuss funding for a project	4	town allocated that capacity in the existing
5	that's not scheduled as a capital improvement	5	plant to the Lakeside project, and the
6	but is required to be.	6	developer agreed that he would pay for that
7	And the same is true for the pump station	7	capacity at the town's current rates.
8	project. It might be slightly different	8	My understanding is the town intends to
9	because, as I understand it, the town has	9	use that money to help reduce the debt or pay
10	borrowed the money and started design. But the	10	for their capital improvements.
11	town doesn't have construction permits for its	11	We've paid the fees in advance of needing
12	pump station upgrades to address I&I.	12	it to the town. So in my mind, that agreement
13	If you rescind 281 and those upgrades, the	13	has been fully executed, signed and performed.
14	capital project is not in the table, I think	14	MR. CALLAHAN: Mr. Lesher.
15	there's a significant question as to whether	15	MR. LESHER: Mr. Showalter, could you
16	MDE can issue a construction permit for the	16	review the timetable? What's likely, what's
17	pump station upgrades.	17	committed, what's required for those first 120
18	So let me stop there and see if there are	18	homes to revert to the new ENR plant that
19	questions.	19	Lakeside plans to construct?
20	MR. CALLAHAN: Okay. So I'd like to back	20	MR. SHOWALTER: Absolutely. So the
21	up to where the town and MDE and the developer		initial startup phase of the project, as
21		21	
1	Page 87 had a contract together to hook up to the town.	1	Page 89 described in Resolution 281, would have no more
2	So run by that one more time so everybody	2	than 30,000 gallons per day of flow.
3	understands it.	3	The town didn't allocate a flow rate. The
4	MR. SHOWALTER: So after MDE formally	4	way the town allocates capacity is on the basis
5	approved 281 and the provision that authorized	5	of EDUs. And so the town has permitted 120
6	the temporary connection of the 120 homes was	6	units to connect to its system.
7	in the county water and sewer plan, the town	7	One important nuance to understand is that
8	and developer engaged in negotiations about	8	•
9	that connection.	9	the town will look at the flow they generate and determine how much capacity it has in the
10	One of the provisions of Resolution 281	10	future. So the fact that they've allocated 120
	•		•
11	specifically says that the connection for the	11	EDUs to this project doesn't necessarily mean
12	startup phase of Lakeside is subject to	12	this project will generate 30,000 gallons per
13	availability of capacity and approval of the	13	day of flow. We think it will be less than
14	town.	14	that, but that's a conservative number that's
15	So the town's engineers conducted an	15	used when allocating capacity.
16	evaluation to determine how much capacity the	16	So that agreement permits up to 120 lots
17	plant had, how much they wanted to reserve for	17	to be created for connection to the town
18	infill and other development and, therefore,	18	system.
19	identify what was remaining and available. And	19	The construction that began this summer,
20	-	20	this most summanic I 1 - C0001:
20 21	they confirmed that they could make or would make available 120 units of capacity in the	20 21	this past summer in July of 2021 is construction in the first section of Lakeside,

	,		•
	Page 90		Page 92
1	section 1A. It consists of 95 lots. And of	1	months we believe is a reasonable projection of
2	those 95 lots, there's a sales center, a model	2	the time frame for construction.
3	center. So 12 of those lots will be model	3	As soon as that treatment plant is
4	homes. They'll be constructed early.	4	operational, the pump station and force main
5	And so you will see those homes. And I	5	that connect sections 1A and B to the Town of
6	guess when someone is visiting a model, there	6	Trappe will be redirected to the new Lakeside
7	may occasionally be some rest room use, but	7	wastewater treatment plant and flow from
8	they're going to generate very little flow	8	sections 1A and B will go to the Lakeside ENR
9	because they're not going to be occupied.	9	plant.
10	Eighty-three of the 95 homes will be	10	So if MDE issued a construction permit
11	constructed and available for occupancy.	11	March 1st, then we could be looking at a
12	The second section of that startup phase,	12	Lakeside plant that is completed in fall of
13	section 1B, is an additional 25 lots. So the	13	2023 and flow diverted in that direction at
14	total anticipated to be connected is up to 120	14	that time.
15	homes.	15	That may very well be before the 107 homes
16	We can't construct a model home without	16	that are intended to be available for occupancy
17	creating a lot. So we'll allocate capacity to	17	will even be all occupied. The timing of
18	the model home, but really only 107 of the 120	18	build-out, timing of occupancy is going to be
19	will potentially be available for occupancy	19	driven by the market. And if I had a crystal
20	because the others will be models and not	20	ball, we'd probably be in a different place.
21	occupied by families.	21	So we don't know what that timing will be.
	Page 91		Page 93
1	The construction of the Lakeside	1	But contrary to suggestions you've heard in the
2	wastewater treatment plant will begin as soon	2	past, it is not our intent to construct this
3	as it is permitted. So MDE is reviewing public	3	project and live off of the 120 units and defer
4	comments that were collected in late October on	4	construction of that treatment plant
5	the discharge permit. MDE has to issue a	5	indefinitely.
6	discharge permit for the spray irrigation	6	It's exactly the opposite. We intend to
7	disposal and issue a construction permit that	7	start construction as soon as construction
8	relates to the discharge limits established in	8	permits are issued.
9	that discharge permit.	9	MS. PRICE: Mr. Showalter.
10	I don't know what the timing of MDE's	10	MR. SHOWALTER: Yes, ma'am.
11	_		
	action is. I hope that it occurs in the near	11	MS. PRICE: Hello? Okay. I mean you have
12	action is. I hope that it occurs in the near future, but I don't control MDE's timing.	11 12	MS. PRICE: Hello? Okay. I mean you have said that many, many times and can you hear
12 13	future, but I don't control MDE's timing.		·
13	future, but I don't control MDE's timing. As soon as we have a construction permit	12	said that many, many times and can you hear me?
	future, but I don't control MDE's timing. As soon as we have a construction permit for the Lakeside wastewater treatment plant,	12 13	said that many, many times and can you hear me? Let me see if I can get some video up.
13 14	future, but I don't control MDE's timing. As soon as we have a construction permit for the Lakeside wastewater treatment plant, the first phase of that plant will begin	12 13 14	said that many, many times and can you hear me? Let me see if I can get some video up. MR. SHOWALTER: I can hear you. I can
13 14 15	future, but I don't control MDE's timing. As soon as we have a construction permit for the Lakeside wastewater treatment plant,	12 13 14 15	said that many, many times and can you hear me? Let me see if I can get some video up. MR. SHOWALTER: I can hear you. I can hear you fine.
13 14 15 16 17	future, but I don't control MDE's timing. As soon as we have a construction permit for the Lakeside wastewater treatment plant, the first phase of that plant will begin construction. And the anticipated time frame for construction of the Lakeside wastewater	12 13 14 15 16 17	said that many, many times and can you hear me? Let me see if I can get some video up. MR. SHOWALTER: I can hear you. I can hear you fine. MS. PRICE: Okay. And the resolution that
13 14 15 16	future, but I don't control MDE's timing. As soon as we have a construction permit for the Lakeside wastewater treatment plant, the first phase of that plant will begin construction. And the anticipated time frame for construction of the Lakeside wastewater treatment plant is approximately 18 months.	12 13 14 15 16	said that many, many times and can you hear me? Let me see if I can get some video up. MR. SHOWALTER: I can hear you. I can hear you fine. MS. PRICE: Okay. And the resolution that I put out basically just said what you just
13 14 15 16 17 18	future, but I don't control MDE's timing. As soon as we have a construction permit for the Lakeside wastewater treatment plant, the first phase of that plant will begin construction. And the anticipated time frame for construction of the Lakeside wastewater	12 13 14 15 16 17 18	said that many, many times and can you hear me? Let me see if I can get some video up. MR. SHOWALTER: I can hear you. I can hear you fine. MS. PRICE: Okay. And the resolution that

	Page 94		Page 96	
1	Intent is a wonderful thing. But as any	1	So again, I was just trying to get that	
2	good person, business person knows, if you	2	piece in writing.	
3	don't have it in writing, then you ain't got	3	Is there another way that we can	
4	it. And I believe the intentions are good, but	4	accomplish this and adding something in	
5	circumstances happen.	5	writing?	
6	And so that's really what I put forth with	6	MR. SHOWALTER: Well, a couple of	
7	313, was just basically to get that in writing,	7	responses. It is the notion that we will	
8	that once they construct give you the	8	connect those homes, that we shall connect	
9	discharge permit. Eighteen months from there,	9	those homes to the Lakeside plant as soon as is	
10	which is really following your timeline. I	10	1	
11	listened to your words when preparing that	11	1	
12	resolution.	12	Town Council's hearing on the first amendment	
13	And a couple of months ago, it seemed like	13	3 to the developer's rights and responsibilities	
14	you and the Town of Trappe were on board with	14	agreement. They specifically discussed, or we	
15	that. And I think there was some conversations	15	specifically discussed an amendment or a chan	
16	that had been had. I think Mr. Callahan and	16	before the public hearing. And then they	
17	Mr. Divilio may have met with you. And that	17	revised that document to make the redirection	
18	seemed fine. And somehow 313 has kind of	18	of flow mandatory. So that was put into the	
19	fallen apart.	19	DRRA.	
20	So is it possible without us needing to do	20	I've indicated to you before that we don't	
21	anything from a legislative action for you to	21	have a problem, speaking on behalf of the	
	Page 95		Page 97	
1	add that to the agreement, whether because	1	developer, committing to beginning construction	
2	it's not in the DRRA with the town. And I	2	of that wastewater treatment plant as soon as	
3	think a whole lot of people would feel more	3	we have those permits.	
4	comfortable.	4	Now, if those permits are appealed,	
5	That's all I was trying to accomplish with	5	obviously appeal periods have to lapse. I mean	
6	313, was to get that wonderful intent in	6	we have to be in a position where we can truly	
7	writing so that it couldn't stay connected any	7	construct the plant.	
8	longer than that.	8	And that sentence of Resolution 313 I	
9	And so is there a way that we can get that	9	think was consistent with our discussions.	
10	in writing, whether it's from you guys in the	10	And I don't have a problem. I've	
11	DRRA, whether we come back because now at	11	committed on the record multiple times and I'm	
12	this moment I can't do anything or we can't do	12	doing it tonight. And we can work with you to	
13	anything with 313 because the Planning	13	find another way to memorialize it. It is our	
14	Commission didn't certify it consistent with	14	intent and we're willing to commit to	
15	the Comprehensive Plan, which really not sure	15	constructing the Lakeside treatment plant first	
16	why. I think they reached a little bit in	16	phase as soon as we have final, non-appealable	
17	going to that one little line of the water	17	construction permits for that project.	
18	resources element because it really did meet	18	MS. PRICE: That would be great.	
19	I think it was Patrick that described all the	19	Again, we worked on the language and tried	
20	seven elements, or Miguel maybe, that described	20	really hard to get that language beyond the	
21	all the seven elements for consistency.	21	appeals process because we know that you can't	

	J			
	Page 98		Page 100	
1	do anything until MDE issues that discharge	1	default, because that obviously would not be	
2	permit and that there might be lawsuits.	2	where we	
3	But that's the other thing. Is that once	3	MS. PRICE: No. As long as everything	
4	we have it in writing, because legally we	4	is once it starts and you're going through a	
5	don't, I say we, whoever, Talbot County, Trappe	5	process and you don't just stop for some	
6	citizens, if it didn't happen, oh, we had the	6	arbitrary reason, you know, okay, fine, you	
7	best of intentions but there was nothing in	7	can't get a pipe because it's stuck on a boat	
8	writing so nobody has done anything wrong.	8	somewhere out in the middle of the Atlantic	
9	So I would really appreciate you putting	9	Ocean. I mean that's completely different.	
10	some language and putting it in whatever	10	But as long as all the efforts are being	
11	document is appropriate so that that does	11	made to continue and you don't go oh, my gosh,	
12	happen as soon as MDE continues going through	12	we can't sell any homes, so I don't want to	
13	their 3,700 comments that they have to go	13	invest however many millions of dollars it	
14	through to figure out what if the permit	14	takes because the market changes, which we k	
15	when it's issued and what kind of permit it's	15		
16	going to be.	16	So as long as all the efforts are being	
17	So if you will commit to putting that	17	made, even if, again, if you have some supply	
18	language together and in the appropriate	18	chain issues, which we certainly have now, but	
19	document, I think that would be extremely	19	not simply because of cost or the market.	
20	helpful.	20	MR. SHOWALTER: Happy to commit to that	
21	MR. SHOWALTER: I'm happy to do so.	21	And there are certainly members of the	
	Page 99		Page 101	
1	I would say the one thing that we've had,	1	public I understand who don't want to see this	
2	that I've always had as a caveat that I want to	2	project happen. And that's their principal	
3	make clear a change in the commitment, the	3	objective in comments.	
4	18-month time frame is a reasonable best guess.	4	I think there are others who accept that	
5	So we don't control procurement. We don't	5	this project is going to happen and want to see	
6	control timing of construction. We can control	6	all of the wastewater treated with ENR level	
7	when we start and we can commit to working in	7	technology.	
8	good faith.	8	And I think that the latter is where this	
9	And believe me, it's in our interest to	9	is going to head.	
10	have that completed because if the market is as	10	And frankly, I think it's in the Council's	
11	strong as it has been for the last several	11	interest, rather than relying on anything we	
12	months, the 120 homes of capacity in Trappe	12	commit to, the best way to see this happen is	
13	will be constrained.	13	to have MDE issue discharge and construction	
14	I think that plant constructed is what we	14	permits so we can put the shovels where these	
15	need to have in order to continue construction	15	words are and build the plant. And we're	
16	progress with the project. It's clearly in our	16	prepared to do that as soon as we have a	
17	interest to make it happen.	17	permit.	
18	I just don't want to be in a position if	18	MR. CALLAHAN: Ms. Price, are you good?	
19	we're at month 19 or 20 for reasons beyond our	19	Okay.	
20	control, that everyone becomes upset and says	20	MS. PRICE: I am good with that. That's	
21	that it's bad faith, misrepresentations and	21	what I was trying to accomplish. Thank you.	
			<u> </u>	

	<u> </u>		_	
	Page 102		Page 104	
1	MR. CALLAHAN: Mr. Lesher, are you on	1	COREY: Yes, sir, I am on. Can you hear	
2	here?	2	me okay?	
3	MR. LESHER: Sorry. I'm back on. I had a	3	MR. CALLAHAN: Yes, sir, I can. Yes, sir.	
4	router crash. And I'm now in by phone, yes.	4	Appreciate you being here tonight. You're	
5	MR. CALLAHAN: Did you hear what Ms. Price	5	an important piece of the puzzle here, and	
6	asked?	6	we're glad you're here tonight.	
7	I might I didn't know if you missed	7	And I just want to make sure that	
8	some of that from Mr. Showalter.	8	obviously you've been listening to everything.	
9	MR. LESHER: I did. But you know, at this	9	If you have any comments.	
10	point, we don't need to lead all the public	10	COREY: I don't really have a specific	
11	through this. I can go back and listen to the	11	statement. I have been carefully listening	
12	recording later	12	2 along, and several other members of the Public	
13	MR. CALLAHAN: No problem. I just want to	13	Works Advisory Board are also on listening i	
14	make sure if you wanted to hear it real quick,	14	I think certainly we're all eager to just	
15	you hear it from Mr. Showalter. That's all.	15	ensure that we have public health and	
16	MR. PACK: I'm back online, too, Chuck.	16	environmental health and safety concerns at top	
17	Sorry. Corey.	17	of mind and that all the proper processes are	
18	MR. CALLAHAN: Okay. No problem, Corey.	18	taken to ensure that everything is handled as	
19	I appreciate that. Corey, do you have anything	19	it should be.	
20	for Mr. Showalter?	20	So I mean really I don't have any specific	
21	MR. PACK: No. I picked up the last of	21	comments. But if anyone else from the Public	
	Page 103		Page 105	
1	Ryan's comments. I've heard them before.	1	Works Advisory Board has anything, I'm certain	
2	I think he's right on point. As soon as	2	others can speak on their behalf as well.	
3	MDE issues the permit, they'll start the	3	Thanks.	
4	construction of the ENR plant. So they can't	4	MR. CALLAHAN: I'll just leave it to the	
5	move until MDE does their job and issues their	5	chairs here. So we'll move on.	
6	permit.	6	So I want to move on to the chair of the	
7	So I don't know what more we can ask of	7	Planning Commission. So Chip, are you there?	
8	them. Once they get the permit, they'll start	8	MR. COUNCELL: I am, I am. So I	
9	construction.	9	appreciate the opportunity to join in tonight.	
10	MR. CALLAHAN: Yup, yup. I agree, I	10	So I would be remiss if I didn't say, as	
11	agree.	11	most of you know, the Planning Commission spent	
12	Mr. Divilio.	12	several months in 2021 looking at some of the	
13	MR. DIVILIO: I don't have any questions	13	new information and things we found. And in	
14	for him at this time. Thank you.	14	our November decision, we did decide by a three	
15	MR. CALLAHAN: Okay. So let's, hey, Ray,	15	to two vote that the initial hookup of the 120	
16	I think let's give I think you've got Corey	16	homes was inconsistent Talbot County's	
17	in Public Works, right?	17	Comprehensive Plan.	
18	MR. CLARKE: Yes, sir.	18	And I understand Mr. Showalter's concerns	
19	MR. CALLAHAN: Yes, sir. I want to give	19	and the information that he brought about what	
20	him the courtesy, if he would like to say	20	had developed prior to our decision that the	
21	anything. Sorry. Corey, you on?	21	Planning Commission did not know about.	
1 1	any aning. Borry. Corcy, you on:	-1	ramming Commission and not know about.	

I commend the Town of Trappe on their recent action. I think that's good. I look forward to the day that happens. Unfortunately, when I look at the seven standards of the Comprehensive Plan, those economic factors is not one, as well as a timeline is not one. So I can sit here and say I am still So I can sit here and say I am still to do whatever we can to ensure the safety of that. And I think most would agree with that. That's what we're all here for. And try to get this thing pushed ahead and done the right way. It's a big deal. It is a big deal. And I'm proud of everybody for doing that. So Ray and Miguel, do you have any last comments on what Mr. Showalter and the Town Trappe, do you feel comfortable? MR. CLARKE: From my perspective, Mr. Callahan, I do feel comfortable. Again, we are looking at ENR, enhanced nutrient removal, treatment for both the	Page 108
recent action. I think that's good. I look forward to the day that happens. Unfortunately, when I look at the seven standards of the Comprehensive Plan, those economic factors is not one, as well as a timeline is not one. So I can sit here and say I am still concerned about Trappe Creek and think we need to do whatever we can to ensure the safety of that. And I think most would agree with that. this thing pushed ahead and done the right way. It's a big deal. It is a big deal. And I'm proud of everybody for doing that. So Ray and Miguel, do you have any last comments on what Mr. Showalter and the Town Trappe, do you feel comfortable? MR. CLARKE: From my perspective, Mr. Callahan, I do feel comfortable. Again, we are looking at ENR, enhanced nutrient removal, treatment for both the	of
forward to the day that happens. It's a big deal. It is a big deal. And Unfortunately, when I look at the seven tandards of the Comprehensive Plan, those conomic factors is not one, as well as a timeline is not one. So I can sit here and say I am still concerned about Trappe Creek and think we need to do whatever we can to ensure the safety of that. And I think most would agree with that. It's a big deal. It is a big deal. And I'm proud of everybody for doing that. So Ray and Miguel, do you have any last comments on what Mr. Showalter and the Town Trappe, do you feel comfortable? Mr. Callahan, I do feel comfortable. Again, we are looking at ENR, enhanced nutrient removal, treatment for both the	of
Unfortunately, when I look at the seven 5 standards of the Comprehensive Plan, those 6 economic factors is not one, as well as a 7 timeline is not one. 7 So I can sit here and say I am still 9 concerned about Trappe Creek and think we need 10 to do whatever we can to ensure the safety of 11 that. And I think most would agree with that. 12 I'm proud of everybody for doing that. 5 So Ray and Miguel, do you have any last 6 comments on what Mr. Showalter and the Town 7 Trappe, do you feel comfortable? 8 MR. CLARKE: From my perspective, 9 Mr. Callahan, I do feel comfortable. 10 Again, we are looking at ENR, enhanced 11 nutrient removal, treatment for both the	of
5 standards of the Comprehensive Plan, those 6 economic factors is not one, as well as a 7 timeline is not one. 7 Trappe, do you feel comfortable? 8 So I can sit here and say I am still 9 concerned about Trappe Creek and think we need 10 to do whatever we can to ensure the safety of 11 that. And I think most would agree with that. 5 So Ray and Miguel, do you have any last 6 comments on what Mr. Showalter and the Town 7 Trappe, do you feel comfortable? 8 MR. CLARKE: From my perspective, 9 Mr. Callahan, I do feel comfortable. 10 Again, we are looking at ENR, enhanced 11 nutrient removal, treatment for both the	of
6 economic factors is not one, as well as a 7 timeline is not one. 8 So I can sit here and say I am still 9 concerned about Trappe Creek and think we need 10 to do whatever we can to ensure the safety of 11 that. And I think most would agree with that. 6 comments on what Mr. Showalter and the Town 7 Trappe, do you feel comfortable? 8 MR. CLARKE: From my perspective, 9 Mr. Callahan, I do feel comfortable. 10 Again, we are looking at ENR, enhanced 11 nutrient removal, treatment for both the	of
 timeline is not one. So I can sit here and say I am still concerned about Trappe Creek and think we need to do whatever we can to ensure the safety of that. And I think most would agree with that. Trappe, do you feel comfortable? MR. CLARKE: From my perspective, Mr. Callahan, I do feel comfortable. Again, we are looking at ENR, enhanced nutrient removal, treatment for both the 	of
8 So I can sit here and say I am still 8 MR. CLARKE: From my perspective, 9 concerned about Trappe Creek and think we need 10 to do whatever we can to ensure the safety of 11 that. And I think most would agree with that. 12 MR. CLARKE: From my perspective, 13 Mr. Callahan, I do feel comfortable. 14 Again, we are looking at ENR, enhanced 15 nutrient removal, treatment for both the	
9 concerned about Trappe Creek and think we need 10 to do whatever we can to ensure the safety of 11 that. And I think most would agree with that. 11 nutrient removal, treatment for both the	
to do whatever we can to ensure the safety of that. And I think most would agree with that.	
that. And I think most would agree with that. 11 nutrient removal, treatment for both the	
One thing we do look forward with working 12 existing as well as the proposed Lakeside	
with the County Council. I think we all got a plant, which is basically the best technology	
letter from Lee Curry, you did, we were cc?d	
on it, where MDE would like for the Planning 15 The other thing I'll just note is I will	
Commission and the County Council to move 16 be working with the Town of Trappe and MDE	on
forward on this so they know how the county 17 basically the map corrections. Again, this is	
18 feels. 18 something that we will work with the town on	
So we look forward to that in the spirit 19 and make them have them a part of this. So	
20 of cooperation. 20 we're not we try to work with the town on	
So thank you for the opportunity to speak. 21 these matters. We're here to help.	
Page 107	age 109
1 MR. CALLAHAN: Thank you, Mr. Councell. 1 MR. CALLAHAN: So Miguel, I'm goi	ng to
2 And I will follow up with what 2 lean on you a little bit. Do you feel	
3 Mr. Councell said. Yes, MDE sent me and 3 comfortable with what's being said tonight	?
4 Mr. Councell a letter that they would prefer us 4 MR. SALINAS: I do, I do. I think we	went
5 to get together. And that's why we're here 5 over a lot. I don't have anything further to	
6 tonight. 6 add. So I am okay.	
7 I mean this really was an eye opener for a 7 MR. CALLAHAN: That's great.	
8 lot of people, for Trappe. We all want to get 8 One more thing, Mr. Councell. I think	
9 to the finish line on this. And we all want 9 it's in our best interest as a Council just to	
this to be health, just like the gentleman 10 sort of meet with you guys in the next wee	k,
said. We want Talbot County to be safe, 11 ten days, something like that, so that we all	l
12 healthy, and this project be one of the best 12 can be on the same page.	
healthy, and this project be one of the best projects on the Eastern Shore. 12 can be on the same page. We've got a lot of information that we've	e
projects on the Eastern Shore. 13 We've got a lot of information that we've	g
projects on the Eastern Shore. 13 We've got a lot of information that we've got a lot of information	g
projects on the Eastern Shore. 13 We've got a lot of information that we've got a lot of information	g aid
projects on the Eastern Shore. I know that people feel a little different about it sometimes. But it's our job to make sure that it's healthy, we support Trappe, and We've got a lot of information that we've and the sure that it's healthy, we support to make to get more comfortable with what's been supported and clarified.	g aid 11?
projects on the Eastern Shore. I know that people feel a little different about it sometimes. But it's our job to make sure that it's healthy, we support Trappe, and we all communicate. I mean that's the bigger We've got a lot of information that we've got a lot of information th	g aid 11?
projects on the Eastern Shore. I know that people feel a little different about it sometimes. But it's our job to make sure that it's healthy, we support Trappe, and we all communicate. I mean that's the bigger thing. We've got a lot of information that we've got a lot of informa	g aid 11? ok

	Page 110		Page 112
1	one thing that I said I would come back to in	1	plan.
2	my comments and I didn't get to it before	2	The purpose of the plan as defined in the
3	you	3	State's statute is to provide for the orderly
4	MR. CALLAHAN: Yes, sir. Go ahead.	4	expansion and extension of community water and
5	MR. SHOWALTER: I don't want anybody to	5	sewer supply systems in a manner consistent
6	think I omitted it.	6	with the Talbot County and Town of Trappe
7	There's been lots of discussion about the	7	Comprehensive Plan.
8	mapping. And Mr. Lesher and Ms. Price both had	8	It's pretty clear. It's an infrastructure
9	some questions for Mr. Clarke. I'd like to	9	planning tool. It's not a growth management
10	just briefly address that.	10	tool. It's a tool to provide for orderly
11	MR. CALLAHAN: Absolutely.	11	expansion of infrastructure.
12	MR. SHOWALTER: And the first point that I	12	So then when you look at the provisions of
13	think is important to make is I don't think	13	the plan, one thing that's very important when
14	it's relevant, I don't think it matters whether	14	you interpret a document is this Council, MDE,
15	the property was unprogrammed, S-2, or S-1 in	15	the courts are required to interpret the
16	the 2002 plan.	16	document as a whole and to find an
17	The County Council adopted Resolution 281	17	interpretation or a conclusion that gives
18	and adopted maps. And those are the maps that	18	meaning to all provisions of the document.
19	control today.	19	It doesn't it's not permissible to look
20	But the context in which it's presented is	20	at an individual map by itself without looking
21	really more as an attack on the process than	21	at the text or to construe the text and the
	Page 111		Page 113
1	the substance itself.	1	tables in a map in a way that is illogical.
2	But I think the Council and the public	2	So I'd just like the walk briefly through
3	should get the benefit of a little more clear	3	the 2002 plan because I think it would help
4	understanding on that.	4	with some of the public debate.
5	The comprehensive water and sewer plan is	5	MR. CALLAHAN: Sure.
6	a regulatory plan. And when it's interpreted,	6	MR. SHOWALTER: The 2002
7	it's interpreted just like a statute. So if	7	MS. PRICE: Before you start that?
8	it's clear on its face, the plain meaning in	8	MR. SHOWALTER: I'm sorry?
9	the plan is given effect and that's it.	9	MS. PRICE: I'm sorry. I have another
10	If there's an ambiguity or an uncertainty	10	meeting that starts in like three minutes. So
11	in the document, then there are rules as to how	11	I'm going to leave you all.
12	the document is interpreted.	12	I want to thank everybody for being here
13	And when there are multiple	13	tonight and thank Lyndsey and Ryan for their
14	interpretations that could be reasonable, I	14	presentation. And I'll catch up on the rest of
15	don't think there are, I think it's clear when	15	it. Thank you so much.
16	you look at the text of the plan and the maps,	16	MR. CALLAHAN: Thank you, Ms. Price. I
17	the 2002 plan mapped this property as S-2. But	17	appreciate you being here.
18	even if you assume that multiple	18	MR. SHOWALTER: Thank you.
19	interpretations are permissible, then the	19	So the capital improvement plans in the
20	courts would look to what is the interpretation	20	water and sewer plan of 2002 are specifically

	Turbot County			
	Page 114		Page 116	
1	outline proposed measures to upgrade or expand	1	sections in Trappe, then, I think explains why	
2	the operation of wastewater treatment	2	there are two maps in Trappe. And figure 23,	
3	facilities within the incorporated	3	we'll use sewer only to make it easy, figure 23	
4	municipalities.	4	ı e	
5	The plan notes that it updates the	5 Trappe. And the text makes that clear.		
6	inventory of existing and proposed facilities,	6	The text then goes on to say that in	
7	and it goes through and makes it clear that the	7	addition, the town is going to create new two	
8	capital improvement projects are programmed by	8	new service districts and that figure 24	
9	this document.	9	depicts those service districts.	
10	Programmed doesn't mean only in a table.	10	So figure 24 is not intended to regulate	
11	Programmed means they are programmed for	11	the service area of the existing town. That's	
12	construction and service. And it says that the	12	figure 23. Figure 24 depicts the White Marsh	
13	table identifies the project, the year in which	13	and Trappe East service districts.	
14	the project will be completed, and other	And the text, again, says that these		
15	relevant documents.	districts are defined using a line and with		
16	The plan then goes on in both the water	16	various color, shading, and hatching defining	
17	and sewer sections to note that service area	17	the areas of existing and future water or sewer	
18	maps are incorporated. And it references	18	service. And it specifically references the	
19	either figures seven through 14 or sewer maps.	service designation shading and says that's		
20	It specifically incorporates both Town of	20	purpose of that map.	
21	Trappe water maps and both Town of Trappe sewer	21	And finally, the text notes that when the	
	Page 115		Page 117	
1	maps as the service area maps that depict areas	1	land is annexed to the Town of Trappe, the area	
2	programmed to extend water service or sewer	2	would be designated as S-1 or W-1.	
3	service in the respective municipality.	3	Reminder, the annexation occurred in 2003.	
4	So again, when you look at the text of the	4	There were a couple of attempts by the town and	
5	1 . 11 1			
6	plan, it adds context and makes it clear that	5	county to tackle this in the 18 years after	
1	figure 24 isn't is not an artifact, it's not	5 6		
7	•		county to tackle this in the 18 years after	
7 8	figure 24 isn't is not an artifact, it's not	6	county to tackle this in the 18 years after annexation. But the W-1, S-1 designation that	
	figure 24 isn't is not an artifact, it's not something just set aside to identify some	6 7	county to tackle this in the 18 years after annexation. But the W-1, S-1 designation that was provided for in the text wasn't implemented	
8	figure 24 isn't is not an artifact, it's not something just set aside to identify some hypothetical future plan. It is a service area	6 7 8	county to tackle this in the 18 years after annexation. But the W-1, S-1 designation that was provided for in the text wasn't implemented until recently.	
8 9	figure 24 isn't is not an artifact, it's not something just set aside to identify some hypothetical future plan. It is a service area map incorporated by the text that identifies	6 7 8 9	county to tackle this in the 18 years after annexation. But the W-1, S-1 designation that was provided for in the text wasn't implemented until recently. And the other thing that I think is	
8 9 10	figure 24 isn't is not an artifact, it's not something just set aside to identify some hypothetical future plan. It is a service area map incorporated by the text that identifies those areas programmed for service.	6 7 8 9	county to tackle this in the 18 years after annexation. But the W-1, S-1 designation that was provided for in the text wasn't implemented until recently. And the other thing that I think is important to highlight, Ms. Price asked	
8 9 10 11	figure 24 isn't is not an artifact, it's not something just set aside to identify some hypothetical future plan. It is a service area map incorporated by the text that identifies those areas programmed for service. When you then look at the capital	6 7 8 9 10	county to tackle this in the 18 years after annexation. But the W-1, S-1 designation that was provided for in the text wasn't implemented until recently. And the other thing that I think is important to highlight, Ms. Price asked Mr. Clarke the same question a couple of times	
8 9 10 11 12	figure 24 isn't is not an artifact, it's not something just set aside to identify some hypothetical future plan. It is a service area map incorporated by the text that identifies those areas programmed for service. When you then look at the capital improvement programs, both in the water and the	6 7 8 9 10 11 12	county to tackle this in the 18 years after annexation. But the W-1, S-1 designation that was provided for in the text wasn't implemented until recently. And the other thing that I think is important to highlight, Ms. Price asked Mr. Clarke the same question a couple of times about the service area designation and the	
8 9 10 11 12 13	figure 24 isn't is not an artifact, it's not something just set aside to identify some hypothetical future plan. It is a service area map incorporated by the text that identifies those areas programmed for service. When you then look at the capital improvement programs, both in the water and the sewer area, they specifically identify Trappe	6 7 8 9 10 11 12 13	county to tackle this in the 18 years after annexation. But the W-1, S-1 designation that was provided for in the text wasn't implemented until recently. And the other thing that I think is important to highlight, Ms. Price asked Mr. Clarke the same question a couple of times about the service area designation and the county's interpretation.	
8 9 10 11 12 13 14	figure 24 isn't is not an artifact, it's not something just set aside to identify some hypothetical future plan. It is a service area map incorporated by the text that identifies those areas programmed for service. When you then look at the capital improvement programs, both in the water and the sewer area, they specifically identify Trappe East and call for the improvements to be	6 7 8 9 10 11 12 13	county to tackle this in the 18 years after annexation. But the W-1, S-1 designation that was provided for in the text wasn't implemented until recently. And the other thing that I think is important to highlight, Ms. Price asked Mr. Clarke the same question a couple of times about the service area designation and the county's interpretation. And when you look back at the history of	
8 9 10 11 12 13 14 15	figure 24 isn't is not an artifact, it's not something just set aside to identify some hypothetical future plan. It is a service area map incorporated by the text that identifies those areas programmed for service. When you then look at the capital improvement programs, both in the water and the sewer area, they specifically identify Trappe East and call for the improvements to be constructed in 2003.	6 7 8 9 10 11 12 13 14 15	county to tackle this in the 18 years after annexation. But the W-1, S-1 designation that was provided for in the text wasn't implemented until recently. And the other thing that I think is important to highlight, Ms. Price asked Mr. Clarke the same question a couple of times about the service area designation and the county's interpretation. And when you look back at the history of amendments specifically related to Lakeside,	
8 9 10 11 12 13 14 15 16	figure 24 isn't is not an artifact, it's not something just set aside to identify some hypothetical future plan. It is a service area map incorporated by the text that identifies those areas programmed for service. When you then look at the capital improvement programs, both in the water and the sewer area, they specifically identify Trappe East and call for the improvements to be constructed in 2003. It's illogical to look at figure 24 and	6 7 8 9 10 11 12 13 14 15	county to tackle this in the 18 years after annexation. But the W-1, S-1 designation that was provided for in the text wasn't implemented until recently. And the other thing that I think is important to highlight, Ms. Price asked Mr. Clarke the same question a couple of times about the service area designation and the county's interpretation. And when you look back at the history of amendments specifically related to Lakeside, the Town of Trappe presented an amendment to	
8 9 10 11 12 13 14 15 16 17	figure 24 isn't is not an artifact, it's not something just set aside to identify some hypothetical future plan. It is a service area map incorporated by the text that identifies those areas programmed for service. When you then look at the capital improvement programs, both in the water and the sewer area, they specifically identify Trappe East and call for the improvements to be constructed in 2003. It's illogical to look at figure 24 and say that entire area is unprogrammed for	6 7 8 9 10 11 12 13 14 15 16 17	county to tackle this in the 18 years after annexation. But the W-1, S-1 designation that was provided for in the text wasn't implemented until recently. And the other thing that I think is important to highlight, Ms. Price asked Mr. Clarke the same question a couple of times about the service area designation and the county's interpretation. And when you look back at the history of amendments specifically related to Lakeside, the Town of Trappe presented an amendment to the County Council in 2004. And the County	
8 9 10 11 12 13 14 15 16 17 18	figure 24 isn't is not an artifact, it's not something just set aside to identify some hypothetical future plan. It is a service area map incorporated by the text that identifies those areas programmed for service. When you then look at the capital improvement programs, both in the water and the sewer area, they specifically identify Trappe East and call for the improvements to be constructed in 2003. It's illogical to look at figure 24 and say that entire area is unprogrammed for service but we're going to construct a	6 7 8 9 10 11 12 13 14 15 16 17	county to tackle this in the 18 years after annexation. But the W-1, S-1 designation that was provided for in the text wasn't implemented until recently. And the other thing that I think is important to highlight, Ms. Price asked Mr. Clarke the same question a couple of times about the service area designation and the county's interpretation. And when you look back at the history of amendments specifically related to Lakeside, the Town of Trappe presented an amendment to the County Council in 2004. And the County Council introduced a resolution. The	

		1101	
	Page 118		Page 120
1	at that time understood the map, and the	1	area is unprogrammed.
2	resolution indicates it's an amendment from W-2	2	The other thing that has been suggested is
3	S-2 to W-1, S-1.	3	that the County Council's treatment of the Howe
4	The County Council adopted specific	4	Point Road properties is somehow indicative of
5	findings of fact in their denial of that	5	this issue. And I don't think it is because
6	amendment. And the findings of fact describe	6	the Howe Point properties are in the existing,
7	the map amendment that was requested by the	7	what was then the existing town's service area.
8	Town of Trappe as an amendment to "change water	8	So those properties were covered by figure 24.
9	and sewer classifications from W-2, S-2 to W-1,	9	That was the existing town service area.
10	S-21 respectively."	They're not in the White Marsh district.	
11	So the County Council, two years after	They're not in the Trappe East district.	
12	this plan was adopted, clearly interpreted it	12	I think the Council's adoption of an
13	to be W-2, S-2.	amendment to change their sewer service	
14	In connection with debates around that	14	designation is entirely consistent with the
15	same time, opponents of the project	15	long-standing county interpretation and MDE
16	communicated with MDE, and they argued that	16	interpretation of the plan.
17	there's no service designation allocated to the	17	And the last thing I'd like to just note
18	property, not S-1 or otherwise. In other	18	about the correction of the mapping issue,
19	words, they argued the same arguments you're	19	mapping errors in 281. We're happy to
20	hearing today. That the figure 24 should be	20	cooperate, and I don't think there's anything
21	disregarded.	21	necessary from the developer because it doesn't
	Page 119		Page 121
1	MDE responded in 2004 and said Trappe East	1	involve our properties. But to the extent we
2	was included in the Talbot County 2002 water	2	can do anything to support the County's
3	and sewer plan and mapped as an S-2 service	3	efforts, we're happy to do that.
4	area, see attached figure 24 entitled Talbot	4	The example that Mr. Clarke provided in
5	County Town of Trappe long-range planning sewer	5	Easton where the county adopted the 2002 report
6	service area, closed quote.	6	of the review and a property was omitted, I
7	So MDE expressed its interpretation to the	7	think it's appropriate that that get corrected
8	county 18 years ago. That letter from Dr. Tien	8	by a resolution because the county adopted maps
9	at MDE was addressed directly to the County	9	wholesale, a change was necessary, and that
10	Council and copies were sent to the county	10	change is implemented through an amendment.
11	attorney, to Mr. Clarke, and to the Talbot	11	I think we have a different circumstance
12	County Public Works Advisory Board.	12	here. And so I would suggest the county, in
13	Nobody, none of those recipients spoke up	13	the interest of seeing that corrected as
14	and said wait, we interpret it differently, we	14	efficiently as possible, in this case the
15	think it's unprogrammed.	15	county adopted a resolution that specifically
16	The county received notice of that	16	described what you intended to change. And it
17	interpretation, consistent with their	17	just so happened that the maps that were
18	interpretation, and accepted it.	18	attached to it had some clerical inclusions or
19	So I just want you to understand there's	19	exclusions.
20	more context here than just saying if you look	20	I don't think the county needs to adopt a
21	at this map and interpret it a certain way, the	21	new resolution to fix those. I think those are
			<u> </u>

clerical errors. The intent can be discerned from the text of the resolution. And I believe that the county probably can submit new maps to MDE identifying that error without putting yourself through the process of a normal amendment. But just share that. And with that, I will conclude my comments unless there are any questions. And I think Mr. Clarke pointed out that there was even a mapping mistake with the process of Easton. So they happen, they happen. People are human and they make mistakes those mistakes should not be interpreted as someone trying to do something nefarious. Think that's what we need to get beyond. That's what we need to get beyond, is tryin questions. MR. CALLAHAN: Thank you, Mr. Showalter. 10 any other reason than just a human being reason.	e Town S. But S. And
from the text of the resolution. And I believe that the county probably can submit new maps to MDE identifying that error without putting yourself through the process of a normal amendment. But just share that. And with that, I will conclude my comments unless there are any questions. from the text of the resolution. And I believe there was even a mapping mistake with the free days of Easton. So they happen, they happen. People are human and they make mistakes those mistakes should not be interpreted as someone trying to do something nefarious I think that's what we need to get beyond. That's what we need to get beyond, is trying say something that was a mistake was don any other reason than just a human being reason.	e Town S. But S. And
that the county probably can submit new maps to MDE identifying that error without putting yourself through the process of a normal amendment. But just share that. And with that, I will conclude my comments unless there are any questions. The control of Easton. So they happen, they happen. People are human and they make mistakes those mistakes should not be interpreted as someone trying to do something nefarious. I think that's what we need to get beyond. That's what we need to get beyond, is trying any something that was a mistake was don any other reason than just a human being reason.	s. But s
MDE identifying that error without putting yourself through the process of a normal amendment. But just share that. And with that, I will conclude my comments unless there are any questions. MR. CALLAHAN: Thank you, Mr. Showalter. 10 People are human and they make mistakes those mistakes should not be interpreted as those mistakes should not be interpreted as someone trying to do something nefarious. I think that's what we need to get beyond, is trying any something that was a mistake was done any other reason than just a human being reason.	s . And
5 yourself through the process of a normal 6 amendment. 7 But just share that. And with that, I 8 will conclude my comments unless there are any 9 questions. 9 That's what we need to get beyond, is trying to get beyond. 8 That's what we need to get beyond, is trying to get beyond. 9 That's what we need to get beyond, is trying to get beyond. 10 MR. CALLAHAN: Thank you, Mr. Showalter. 10 any other reason than just a human being reason than just a human being reason.	s . And
amendment. But just share that. And with that, I will conclude my comments unless there are any questions. MR. CALLAHAN: Thank you, Mr. Showalter. 10 someone trying to do something nefarious. I think that's what we need to get beyond. That's what we need to get beyond, is trying any something that was a mistake was don any other reason than just a human being reason.	. And
But just share that. And with that, I 8 will conclude my comments unless there are any 9 questions. 10 MR. CALLAHAN: Thank you, Mr. Showalter. 10 11 think that's what we need to get beyond. 8 That's what we need to get beyond, is trying say something that was a mistake was done any other reason than just a human being reason.	
8 will conclude my comments unless there are any 9 questions. 9 say something that was a mistake was don 10 MR. CALLAHAN: Thank you, Mr. Showalter. 10 any other reason than just a human being r	ıg to
9 questions. 9 say something that was a mistake was don any other reason than just a human being r	ıg to
MR. CALLAHAN: Thank you, Mr. Showalter. 10 any other reason than just a human being r	
	e for
	naking
So Council, let's go around the table 11 a mistake.	
12 here. 12 So I do appreciate the time people gave	to to
13 Mr. Lesher, you're back with us; are you? 13 this.	
MR. LESHER: I am. And I apologize for 14 Again, I do apologize having stepped a	way.
being out. I think I lost eight minutes. But 15 I will listen to it at a later date and catch	
16 I'll be able to go back and review the missing 16 up on anything that I missed. Okay? That	nks.
17 information. 17 MR. CALLAHAN: Thank you, Mr. Pa	ick. I
I do want to thank everyone for their 18 appreciate you spending time with us tonig	ght
participation here tonight. 19 and being able to do the best you can to be	;
20 And it will take some time for me to 20 here. That's for sure.	
21 digest some of this information, but I 21 So Mr. Divilio.	
Page 123	Page 125
1 appreciate the involvement of all present here. 1 MR. DIVILIO: I also had technical iss	ues
2 Thank you. 2 and I don't need to say I apologize. I will	
3 MR. CALLAHAN: Thank you, Mr. Lesher. 3 say that you guys are welcome for not hav	ing to
4 Mr. Pack, are you still with us? 4 see my picture while I sat through it. But	I
5 MR. PACK: Yes, I am. And I, too, 5 was on the phone the whole time.	
6 apologize. I had to step away to take care of 6 MR. CALLAHAN: I got you. That's g	good.
6 apologize. I had to step away to take care of 7 MR. CALLAHAN: I got you. That's got you another matter. I'll listen, as Mr. Lesher 7 MR. DIVILIO: I had asked to do this beginning to take care of 7 MR. DIVILIO: I had asked to do this beginning to take care of 7 MR. DIVILIO: I had asked to do this beginning to take care of 8 MR. CALLAHAN: I got you.	
	oack
7 another matter. I'll listen, as Mr. Lesher 7 MR. DIVILIO: I had asked to do this b	oack was
7 another matter. I'll listen, as Mr. Lesher 7 MR. DIVILIO: I had asked to do this be said, to the full discussion at a later date. 8 in the beginning before the rescinding 281	oack was /body
7 another matter. I'll listen, as Mr. Lesher 7 MR. DIVILIO: I had asked to do this be said, to the full discussion at a later date. 8 in the beginning before the rescinding 281 9 But yeah. Look, I want to thank everybody 9 a discussion piece. And I appreciate every	was body body
7 another matter. I'll listen, as Mr. Lesher 7 MR. DIVILIO: I had asked to do this be said, to the full discussion at a later date. 8 in the beginning before the rescinding 281 9 But yeah. Look, I want to thank everybody 9 a discussion piece. And I appreciate every who participated this evening from the Town of 10 coming to the table for this discussion. Ar	was body body
another matter. I'll listen, as Mr. Lesher 8 said, to the full discussion at a later date. 9 But yeah. Look, I want to thank everybody 10 who participated this evening from the Town of 11 Trappe, Mr. Showalter, staff members for the 7 MR. DIVILIO: I had asked to do this beginning before the rescinding 281 8 in the beginning before the rescinding 281 9 a discussion piece. And I appreciate every coming to the table for this discussion. Are apologize for taking everyone's time tonighted.	was body d I ht.
another matter. I'll listen, as Mr. Lesher 8 said, to the full discussion at a later date. 9 But yeah. Look, I want to thank everybody 10 who participated this evening from the Town of 11 Trappe, Mr. Showalter, staff members for the 12 county. 7 MR. DIVILIO: I had asked to do this beginning before the rescinding 281 8 in the beginning before the rescinding 281 9 a discussion piece. And I appreciate every 10 coming to the table for this discussion. Ar 11 apologize for taking everyone's time tonight 12 This is a big project. And I have the	was body d I ht.
another matter. I'll listen, as Mr. Lesher 8 said, to the full discussion at a later date. 9 But yeah. Look, I want to thank everybody 10 who participated this evening from the Town of 11 Trappe, Mr. Showalter, staff members for the 12 county. 13 And hopefully those who have had questions 7 MR. DIVILIO: I had asked to do this be in the beginning before the rescinding 281 8 in the beginning before the rescinding 281 9 a discussion piece. And I appreciate every coming to the table for this discussion. Are apologize for taking everyone's time tonight to the project. And I have the benefit of knowing these individuals, who	was body d I ht.
another matter. I'll listen, as Mr. Lesher 8 said, to the full discussion at a later date. 9 But yeah. Look, I want to thank everybody 10 who participated this evening from the Town of 11 Trappe, Mr. Showalter, staff members for the 12 county. 13 And hopefully those who have had questions 14 had those questions answered tonight. 7 MR. DIVILIO: I had asked to do this be in the beginning before the rescinding 281 8 in the beginning before the rescinding 281 9 a discussion piece. And I appreciate every coming to the table for this discussion. Are apologize for taking everyone's time tonight benefit of knowing these individuals, who players are involved, and being able to real	was body d I ht.
another matter. I'll listen, as Mr. Lesher 8 said, to the full discussion at a later date. 9 But yeah. Look, I want to thank everybody 10 who participated this evening from the Town of 11 Trappe, Mr. Showalter, staff members for the 12 county. 13 And hopefully those who have had questions 14 had those questions answered tonight. 15 MR. DIVILIO: I had asked to do this be in the beginning before the rescinding 281 8 in the beginning before the rescinding 281 8 coming to the table for this discussion. Are apologize for taking everyone's time tonight. 16 benefit of knowing these individuals, who players are involved, and being able to read out to them using my County Council name.	was body d I ht.
another matter. I'll listen, as Mr. Lesher 8 said, to the full discussion at a later date. 9 But yeah. Look, I want to thank everybody 10 who participated this evening from the Town of 11 Trappe, Mr. Showalter, staff members for the 12 county. 13 And hopefully those who have had questions 14 had those questions answered tonight. 15 Mapping errors, they're mistakes. There's 16 nothing nefarious about those mistakes. They 17 MR. DIVILIO: I had asked to do this be in the beginning before the rescinding 281 8 in the beginning before the rescinding 281 8 coming to the table for this discussion. Are apologize for taking everyone's time tonight. 10 benefit of knowing these individuals, who players are involved, and being able to read out to them using my County Council named to the table for this discussion. Are players are involved, and being able to read to the players are involved, and being able to read to the using my County Council named to the table for this discussion. Are players are involved, and being able to read to the using my County Council named to the table for this discussion. Are players are involved, and I have the players are involved, and being able to read to the using my County Council named to the table for this discussion. Are players are involved to the using my County Council named to the using my County Council named to the table for the rescinding 281 15 out to them using my County Council named to the table for the rescinding 281 16 the players are involved.	was ybody and I htt.
another matter. I'll listen, as Mr. Lesher 8 said, to the full discussion at a later date. 9 But yeah. Look, I want to thank everybody 10 who participated this evening from the Town of 11 Trappe, Mr. Showalter, staff members for the 12 county. 13 And hopefully those who have had questions 14 had those questions answered tonight. 15 Mapping errors, they're mistakes. There's 16 nothing nefarious about those mistakes. And we'll 7 MR. DIVILIO: I had asked to do this be in the beginning before the rescinding 281 8 in the beginning before the rescinding 281 9 a discussion piece. And I appreciate every coming to the table for this discussion. Are leading apologize for taking everyone's time tonight benefit of knowing these individuals, who lad those questions answered tonight. 14 players are involved, and being able to real title and get the answers that I want. 15 Were internal mapping mistakes. And we'll 16 And the public is not able to get that.	was ybody nd I ht. the ch ne and
another matter. I'll listen, as Mr. Lesher 8 said, to the full discussion at a later date. 9 But yeah. Look, I want to thank everybody 10 who participated this evening from the Town of 11 Trappe, Mr. Showalter, staff members for the 12 county. 13 And hopefully those who have had questions 14 had those questions answered tonight. 15 Mapping errors, they're mistakes. There's 16 nothing nefarious about those mistakes. They 17 MR. DIVILIO: I had asked to do this to in the beginning before the rescinding 281 8 in the beginning before the rescinding 281 9 a discussion piece. And I appreciate every coming to the table for this discussion. Are apologize for taking everyone's time tonig apologize for taking everyone's time tonig benefit of knowing these individuals, who players are involved, and being able to real title and get the answers that I want. 16 nothing nefarious about those mistakes. They were internal mapping mistakes. And we'll 17 And the public is not able to get that. 18 correct them. But it wasn't that anyone from 18 And I understand that. As well as it takes	was ybody nd I ht. the ch ne and

		***************************************	A Session Teordary 1, 2022
	Page 126		Page 128
1	Planning Commission, to even Public Works	1	So I see everybody in this as part of a
2	Advisory Board, to all the different people who	2	plan to move the county forward in a safe way
3	have voted on this or had a decision to make.	3	with a lot of planning.
4	And I understand it's not possible for that	4	And I say that without knowing the
5	communication to happen with the public.	5	developer. Maybe they want all pastel homes
6	So I appreciate everybody coming on	6	that are in the South Carolina style, and I'm
7	tonight to confirm the steps that you took,	7	not a fan of that here. And that's when Trappe
8	what role you had, and clarify that role to the	8	steps in and tells them what they can do. And
9	public to put a lot of this fear mongering to	9	we need to enable Trappe and let them get to
10	bed. I've had enough with it really.	10	that point. And that's where I am.
11	I'm going to say the one thing that I have	So again, I thank everyone for their time	
12	not heard tonight. I look forward to this	12	answering my questions over and over again,
13	project. I believe that this is the correct	answering these questions to the public. And	
14	direction for Talbot County to go.	hope that we're to a point where we can move	
15	I don't believe that Trappe should have	15 forward.	
16	two pristine, top-of-the-line wastewater	16 Thank you.	
17	treatment facilities when there's other	17	MR. CALLAHAN: Thank you, Mr. Divilio.
18	facilities in the county that are failing. And	18	And I will sort of wrap things up, too,
19	I will continue to work on that.	19	and say that I can't thank all the parties here
20	I will continue to also work on the other	20	tonight being here.
21	problems that have been brought to my attention	21	I know a couple of weeks ago when we had a
	Page 127		Page 129
1	throughout the county with other sewer	1	meeting and it got heated, and I apologize for
2	problems.	2	some of the way that Council sort of acted on
3	I don't believe that Easton should	3	this.
4	continue to grow. I think at some point Easton	4	But it was really important for us to do
5	will grow all the way to Caroline County if we	5	this tonight for the public, for the safety of
6	let it, and I don't think that that is	6	the project, just to help everybody understand
7	beneficial when we've already had complaints	7	to get here.
8	about Easton Elementary School being such a	8	And like I said, I apologize for putting
9	large school.	9	this thing on hold and making everybody be here
10	I think it's now time for the county to	10	at the table, but I felt that was my job. It
11	divide a little bit and let the growth go to a	11	was my job to get everybody here, answer the
12	new town.	12	questions in the last four to five months, and
13	I look forward to Trappe, the time when a	13	get everybody comfortable, everybody talking.
14	restaurant is renewing leases in there, when	14	And hopefully everybody is coming away
15	there's a grocery store again, when they're	15	from here and feeling very positive about what
16	able to stand on their own. I think that will	16	Mr. Divilio said about having the
17	benefit all of us. And I plan to do that with	17	state-of-the-art community. That's what we
18	the best technology and the best monitoring to	18	want to be. We want to be successful. We want
19	make sure that that technology is putting out	19	Trappe to be successful. We want to be proud
20	the cleanest water into our bays and	20	of Trappe. And there's things that we need to
21	maintaining our water systems around.	21	support them on.
21	manitaning our water systems around.		**

1 So I'm looking forward in the next week to 2 ten days to get with the Planning Commission so 3 we can discuss this information that we've 4 heard tonight. 5 I want to thank Lyndsey and Trappe and 6 Ryan for, you know, being prepared for this 7 because everybody was put on hold and was 8 prepared tonight. So you guys did a phenomenal 9 job, everybody here. Staff did a phenomenal 10 job. 10 11 Ray, I know you had a lot on your 12 shoulders, and you've done the right thing. 13 And hey, you know, in the last 20 years, the 14 county probably has made a little mistake here 15 and there. But like Mr. Pack said, we're only 16 human. That's why we're here understanding 17 what the mistakes were and how to fix it. So 18 nothing intentional here. 19 Let's move on, support the community, and 20 do the best that we can as Council, as Council. 21 So I think, I'm probably going to leave 1 (Work Session concluded at: 7:11 p.m (Work Session concluded at: 7:11 p.m (Work Session concluded at: 7:11 p.m 1 (Work Session concluded at: 7:11 p.m		Tulou County	, ,
ten days to get with the Planning Commission so we can discuss this information that we've heard tonight. I want to thank Lyndsey and Trappe and Ryan for, you know, being prepared for this because everybody was put on hold and was prepared tonight. So you guys did a phenomenal job, everybody here. Staff did a phenomenal job, everybody here. Staff did a phenomenal job. Ray, I know you had a lot on your shoulders, and you've done the right thing. And hey, you know, in the last 20 years, the county probably has made a little mistake here and there. But like Mr. Pack said, we're only thuman. That's why we're here understanding what the mistakes were and how to fix it. So nothing intentional here. Let's move on, support the community, and do the best that we can as Council, as Council. So I think, I'm probably going to leave Page 131 it - Mr. Stamp, do you have anything for us? MR. STAMP: No, sir. A lot of good information and a lot of good information shared. That's important. Thank you. MR. CALLAHAN: Thank you. Ms. Morris, that's my boss. Okay, Ms. Morris, that's my boss. Okay, Ms. Morris, Appreciate everybody being in attendance tonight. MR. CALLAHAN: Thanks a lot. I want to thank staff for preparing staff for all this. Patrick, do you have any closing words? MR. THOMAS: No, I do not. Thank you. MR. CALLAHAN: Well, thanks a lot on our end with staff on preparing us. Council, and all the hard work. You've been trying to naswer e-mails and stuff. And I'm going to end it, everybody is welcome to send us an e-mail and we'll go from there. So other than that, everybody have a great Diane Houliban. Notary Public Transher certify that the stipulations or related to any of the parties, nor in any way increased in the custome of this saction. As WITNESS my hand Notorial Seal this 15th day of February, 2023 and of Public in and for the State of Maryland, County of Salimore City. 3 do hereby certify that the examination was for conducted that the examination was for exordine therein were entered into by counsel		Page 130	Page 132
3 we can discuss this information that we've 4 heard tonight. 5 I want to thank Lyndsey and Trappe and 6 Ryan for, you know, being prepared for this 7 because everybody was put on hold and was 8 prepared tonight. So you guys did a phenomenal 9 job, everybody here. Staff did a phenomenal 10 job. 11 Ray, I know you had a lot on your 12 shoulders, and you've done the right thing. 13 And hey, you know, in the last 20 years, the 14 county probably has made a little mistake here 15 and there. But like Mr. Pack said, we're only 16 human. That's why we're here understanding 17 what the mistakes were and how to fix it. So 18 nothing intentional here. 18 Let's move on, support the community, and 19 do the best that we can as Council, as Council. 20 do the best that we can as Council, as Council. 21 So I think, I'm probably going to leave 22 MR. STAMP: No, sir. A lot of good 21 information and a lot of good information 22 shared. That's important. Thank you. 23 MR. CALLAHAN: Thank you. 24 MR. CALLAHAN: Thank you. 25 MR. CALLAHAN: Thanks a lot. I want to 16 thank staff for preparing staff for all this. 16 Patrick, do you have any closing words? 17 MR. CALLAHAN: Well, thanks a lot on our 18 end with staff on preparing us, Council, and 19 and we'll go from there. 20 So other than that, everybody have a great 20 So other than that, everybody have a great 21 Diane Houlihan 22 In the certify that the stipulations 23 James everybody is welcome to send us an e-mail 24 In the read work. You've been trying to 25 answer e-mails and stuff. And I'm going to end 26 it, everybody is welcome to send us an e-mail 27 and we'll go from there. 28 So other than that, everybody have a great 29 My commission expires September 16, 2025	1	So I'm looking forward in the next week to	
4 heard tonight. 5 I want to thank Lyndsey and Trappe and 6 Ryan for, you know, being prepared for this 7 because everybody was put on hold and was 8 prepared tonight. So you guys did a phenomenal 9 job, everybody here. Staff did a phenomenal 10 job. 11 Ray, I know you had a lot on your 12 shoulders, and you've done the right thing. 13 And hey, you know, in the last 20 years, the 14 county probably has made a little mistake here 15 and there. But like Mr. Pack said, we're only 16 human. That's why we're here understanding 17 what the mistakes were and how to fix it. So 18 nothing intentional here. 19 Let's move on, support the community, and 20 do the best that we can as Council, as Council. 21 So I think, I'm probably going to leave 22 MR. STAMP; No, sir. A lot of good 23 information and a lot of good information 24 shared. That's important. Thank you. 25 MR. CALLAHAN: Thank you. 26 MR. CALLAHAN: Thank you. 27 STATE OF MARYLAND 28 Libian Houlihan, a Notary Public in and 29 for the State of Maryland, County of Baltimore City, 30 do hereby certify that the within amed. Talbot County Council Audio, personally appeared before me 3 the time and place herein set according to law, 3 was interrogated by counsel; 4 MR. CALLAHAN: Thanks a lot. I want to 4 thank staff for preparing staff for all this. 4 Patrick, do you have any closing words? 5 MR. THOMAS: No, I do not. Thank you. 5 MR. CALLAHAN: Well, thanks a lot on our 6 croinght. 6 that's my boss. Okay. Ms. Morris. 7 MS. MORRIS: No. It was a good meeting. 8 Appreciate everybody being in attendance 10 to make the mistake were and now to good information 11 thank staff for preparing staff for all this. 12 Partick, do you have any closing words? 13 MR. CALLAHAN: Well, thanks a lot on our 14 the mistake place herein set according to law, 15 vasintered the examination was 16 recorded stenographically by me and then transcribed 17 from my stenographically by me and then transcribed 18 from my stenographically by me and then transcribed 19 from my stenographically by me and	2	ten days to get with the Planning Commission so	
5 I want to thank Lyndsey and Trappe and 6 Ryan for, you know, being prepared for this 7 because everybody was put on hold and was 8 prepared tonight. So you guys did a phenomenal 9 job, everybody here. Staff did a phenomenal 10 job. 11 Ray, I know you had a lot on your 12 shoulders, and you've done the right thing. 13 And hey, you know, in the last 20 years, the 14 county probably has made a little mistake here 15 and there. But like Mr. Pack said, we're only 16 human. That's why we're here understanding 17 what the mistakes were and how to fix it. So 18 nothing intentional here. 18 Let's move on, support the community, and 19 do the best that we can as Council, as Council. 21 So I think, I'm probably going to leave 22 MR. STAMP: No, sir. A lot of good 21 STATE OF MARYLAND 22 MR. STAMP: No, sir. A lot of good 23 information and al lot of good information 24 shared. That's important. Thank you. 25 MR. CALLAHAN: Thank you. Ms. Morris, 26 that's my boss. Okay. Ms. Morris. 27 MS. MORRIS: No. It was a good meeting. 28 Appreciate everybody being in attendance 29 tonight. 30 herey certify that the within named. Talbot County Council. Audio, personally apparent before me 4 at the time and place herein set according to law, was interrogated by counsel. SI further certify that the examination was 6 recorded stenographically by me and then transcribed from my stenographic notes to the within printed matter by means of computer sessited transcription in a true and accurate manner. SI further certify that I am not of counsel 11 true and accurate manner. 12 true earlie of the parties, nor in any way 12 interested in the outcome of this action. 13 AN WINNESS my hand Notorial Seal this 15th day of February, 2023 "Avit	3	we can discuss this information that we've	3
6 Ryan for, you know, being prepared for this 7 because everybody was put on hold and was 8 prepared tonight. So you guys did a phenomenal 9 job, everybody here. Staff did a phenomenal 10 job. 11 Ray, I know you had a lot on your 12 shoulders, and you've done the right thing. 13 And hey, you know, in the last 20 years, the 14 county probably has made a little mistake here 15 and there. But like Mr. Pack said, we're only 16 human. That's why we're here understanding 17 what the mistakes were and how to fix it. So 18 nothing intentional here. 18 Let's move on, support the community, and 20 do the best that we can as Council, as Council. 21 So I think, I'm probably going to leave 22 MR. STAMP: No, sir. A lot of good 21 STATE OF MARYLAND 22 James Houlihan, a Notary Public in and for the State of Maryland, County of Baltimore City, 3 do hereby certify that the within named, Taibo, 4 shared. That's important. Thank you. 5 MR. CALLAHAN: Thank you. Ms. Morris, 4 Appreciate everybody being in attendance 2 tonight. 10 MR. CALLAHAN: Thanks a lot. I want to thank staff for preparing staff for all this. 12 Patrick, do you have any closing words? 13 MR. THOMAS: No, I do not. Thank you. 14 MR. CALLAHAN: Well, thanks a lot on our end with staff on preparing us, Council, and 16 all the hard work. You've been trying to answer e-mails and we'll go from there. 19 James Houlihan 10 As WITNESS my hand Notorial Seal this 15th day of February, 2022 - 1	4	heard tonight.	4
7 because everybody was put on hold and was 8 prepared tonight. So you guys did a phenomenal 9 job. everybody here. Staff did a phenomenal 10 job. 11 Ray, I know you had a lot on your 12 shoulders, and you've done the right thing. 13 And hey, you know, in the last 20 years, the 14 county probably has made a little mistake here 15 and there. But like Mr. Pack said, we're only 16 human. That's why we're here understanding 17 what the mistakes were and how to fix it. So 18 nothing intentional here. 19 Let's move on, support the community, and 20 do the best that we can as Council, as Council. 21 So I think, I'm probably going to leave 22 MR. STAMP: No, sir. A lot of good 23 information and a lot of good information 24 shared. That's important. Thank you. 25 MR. CALLAHAN: Thank you. Ms. Morris, 26 that's my boss. Okay. Ms. Morris, 27 MS. MORRIS: No. It was a good meeting. 28 Appreciate everybody being in attendance 29 tonight. 29 I STATE OF MARYLAND 20 21 21 STATE OF MARYLAND 21 STATE OF MARYLAND 22 I, Diane Houlilian, a Notary Public in and for the State of Maryland, County of Baltimore City, 3 do hereby certify that the within named, Talbot County Council Audio, personally appeared before me 4 at the time and place herein set according to law, was interrogated by counsel. 3 MR. THOMAS: No. I do not. Thank you. 4 MR. CALLAHAN: Thanks a lot. I want to thank staff for preparing staff for all this. 4 MR. CALLAHAN: Thanks a lot. I want to thank staff for preparing staff for all this. 5 Patrick, do you have any closing words? 5 MR. THOMAS: No, I do not. Thank you. 6 MR. CALLAHAN: Well, thanks a lot on our end with staff on preparing us, Council, and all the hard work. You've been trying to answer e-mails and stuff. And I'm going to end it, everybody is welcome to send us an e-mail and we'll go from there. 5 O other than that, everybody have a great 7	5	I want to thank Lyndsey and Trappe and	5
8 prepared tonight. So you guys did a phenomenal job, everybody here. Staff did a phenomenal job, everybody here in the last 20 years, the lock what here is and there. Staff did a phenomenal job, everybody here in the last 20 years, the lock what here is and there. But like Mr. Pack said, we're only lit. Staff or lit. Staff of good information and a lot of good information shared. That's important. Thank you. Staff for good information and a lot of good information shared. That's important. Thank you. Ms. Morris. Ms. MORRIS: No. It was a good meeting. Appreciate everybody being in attendance tonight. Patrick, do you have any closing words? MR. CALLAHAN: Thanks a lot. I want to thank staff for preparing staff for all this. Patrick, do you have any closing words? MR. THOMAS: No, I do not. Thank you. MR. CALLAHAN: Well, thanks a lot on our late the mice and place here inst according to law, was interogated by counsel. I further certify that the examination was at the time and place here inst according to law, was interogated by counsel. I further certify that the within printed from my stenographic notes to	6	Ryan for, you know, being prepared for this	6
9 job, everybody here. Staff did a phenomenal job. job. 10 job. 11 Ray, I know you had a lot on your shoulders, and you've done the right thing. 12 shoulders, and you've done the right thing. 12 land there, you know, in the last 20 years, the county probably has made a little mistake here 14 county probably has made a little mistake here 15 and there. But like Mr. Pack said, we're only human. That's why we're here understanding what the mistakes were and how to fix it. So nothing intentional here. 18 Let's move on, support the community, and do the best that we can as Council, as Council. 20 do the best that we can as Council, as Council. 21 So I think, I'm probably going to leave 21 STATE OF MARYLAND 1, Diane Houlihan, a Notary Public in and for the State of Maryland, County of Baltimore City, 40 hereby certify that the within named, Talbot County Council Audio, personalty appeared before me 4 at the time and place herein set according to law, was interrogated by counsel. 1 further certify that the examination was recorded stenographically by me and then transcribed from my stenographic notes to the within printed manner. 1 further certify that the examination was recorded stenographically by me and then transcribed from my stenographic notes to the within printed manner. 1 further certify that the examination was recorded stenographically by me and then transcribed from my stenographic notes to the within printed manner. 1 further certify that the stipulations or contained herein were entered into by counsel in ny presence. 10 I further certify that I am not of counsel 11 to any of the parties, nor in any way interested in the outcome of this action. 2 was a post of February, 202 in the parties, nor langth and section. 2 more than a currant manner. 3 more remained by the parties, nor in any way interested in the outcome of this action. 2 more than a currant manner. 3 more remained by a february, 202 in the parties, nor i	7	because everybody was put on hold and was	7
10 job. 11 Ray, I know you had a lot on your 12 shoulders, and you've done the right thing. 13 And hey, you know, in the last 20 years, the 14 county probably has made a little mistake here 15 and there. But like Mr. Pack said, we're only 16 human. That's why we're here understanding 17 what the mistakes were and how to fix it. So 18 nothing intentional here. 19 Let's move on, support the community, and 20 do the best that we can as Council, as Council. 21 So I think, I'm probably going to leave 22 Page 131 23 STATE OF MARYLAND 24 MR. STAMP: No, sir. A lot of good 25 information and a lot of good information 26 shared. That's important. Thank you. 27 MR. CALLAHAN: Thank you. Ms. Morris, 28 Appreciate everybody being in attendance 29 tonight. 20 MR. CALLAHAN: Thanks a lot. I want to 20 thank staff for preparing staff for all this. 21 Patrick, do you have any closing words? 22 MR. THOMAS: No, I do not. Thank you. 23 MR. CALLAHAN: Well, thanks a lot on our 24 end with staff on preparing us, Council, and 25 all the hard work. You've been trying to 26 and there. 27 In the recrify that the examination was 28 recorded stenggraphically by me and then transcribed from my stenographic notes to the within namer. 38 Appreciate everybody being in attendance tonight. 39 If urther certify that the examination was 30 doereby certify that the examination was 31 further certify that the stipulations contained herein were entered into by counsel in my presence. 30 If urther certify that I am not of counsel 11 to any of the parties, not an employee of counsel, nor related to any of the parties, not an employee of counsel, nor related to any of the parties, not an employee of counsel, nor related to any of the parties, not an employee of counsel, nor related to any of the parties, not an employee of counsel, nor related to any of the parties, not an employee of counsel, nor related to any of the parties, not an employee of counsel, nor related to any of the parties, not an employee of counsel, nor related to any of the parties, not	8	prepared tonight. So you guys did a phenomenal	8
11 Ray, I know you had a lot on your 12 shoulders, and you've done the right thing. 13 And hey, you know, in the last 20 years, the 14 county probably has made a little mistake here 15 and there. But like Mr. Pack said, we're only 16 human. That's why we're here understanding 17 what the mistakes were and how to fix it. So 18 nothing intentional here. 19 Let's move on, support the community, and 20 do the best that we can as Council, as Council. 21 So I think, I'm probably going to leave 22 Page 13 2 I it - Mr. Stamp, do you have anything for us? 2 MR. STAMP: No, sir. A lot of good 3 information and a lot of good information 4 shared. That's important. Thank you. 5 MR. CALLAHAN: Thank you. Ms. Morris. 6 that's my boss. Okay. Ms. Morris. 7 MS. MORRIS: No. It was a good meeting. 8 Appreciate everybody being in attendance 10 tonight. 10 MR. CALLAHAN: Thanks a lot. I want to 11 thank staff for preparing staff for all this. 12 Patrick, do you have any closing words? 13 MR. THOMAS: No, I do not. Thank you. 14 MR. CALLAHAN: Well, thanks a lot on our 15 end with staff on preparing us, Council, and 16 all the hard work. You've been trying to 17 In Jiane Houlihan. a Notary Public in and ror the State of Maryland County of Baltimore City, 3 do hereby certify that the within named. Talbot County Council Audio, personally appeared before me 4 at the time and place herein set according to law, was interrogated by counsel. 1 Further certify that the examination was 1 Further certify that the examination was 1 Further certify that the stipulations 2 ontoniand herein were entered into by counsel in my presence. 10 Turther certify that I am not of counsel 11 to any of the parties, not an employee of counsel, 1 to any of the parties, not an employee of counsel, 1 to any of the parties, not an employee of counsel, 1 to any of the parties, not an employee of counsel, 1 to any of the parties, not an employee of counsel, 1 to any of the parties, not an employee of counsel, 1 to any of the parties, not an employee of counsel, 1 to any	9	job, everybody here. Staff did a phenomenal	9
12 shoulders, and you've done the right thing. 13 And hey, you know, in the last 20 years, the 14 county probably has made a little mistake here 15 and there. But like Mr. Pack said, we're only 16 human. That's why we're here understanding 17 what the mistakes were and how to fix it. So 18 nothing intentional here. 18 nothing intentional here. 19 Let's move on, support the community, and 20 do the best that we can as Council, as Council. 21 So I think, I'm probably going to leave Page 131 1 it Mr. Stamp, do you have anything for us? 2 MR. STAMP: No, sir. A lot of good 3 information and a lot of good information 4 shared. That's important. Thank you. 5 MR. CALLAHAN: Thank you. 6 that's my boss. Okay. Ms. Morris. 7 MS. MORRIS: No. It was a good meeting. 8 Appreciate everybody being in attendance 10 tonight. 10 MR. CALLAHAN: Thanks a lot. I want to 11 thank staff for preparing staff for all this. 12 Patrick, do you have any closing words? 13 MR. THOMAS: No, I do not. Thank you. 14 MR. CALLAHAN: Well, thanks a lot on our 15 end with staff on preparing us, Council, and 16 all the hard work. You've been trying to 17 answer e-mails and stuff. And I'm going to end 18 it, everybody is welcome to send us an e-mail 19 and we'll go from there. 20 So other than that, everybody have a great 12 STATE OF MARYLAND 1 Joine Houlihan, a Notary Public in and for the State of Maryland, County of Baltimore City, 20 do hereby certify that the within named, Talbot County Council Audio, personally appeared before me 4 at the time and place herein set according to law, was interrogated by counsel. 2 Ifurther certify that the examination was 2 fercorded stenographically by me and then transcription in a true and accurate manner. 3 Ifurther certify that I am not of counsel to any of the parties, nor in any way 1 interested in the outcome of this action. 1 Ifurther certify that I am not of counsel to any of the parties, nor in any way 1 interested in the outcome of this action. 1 Ifurther certify that I am not of counsel to any of the	10	job.	10
13 And hey, you know, in the last 20 years, the 14 county probably has made a little mistake here 15 and there. But like Mr. Pack said, we're only 16 human. That's why we're here understanding 17 what the mistakes were and how to fix it. So 18 nothing intentional here. 18 16 19 Let's move on, support the community, and 20 do the best that we can as Council, as Council. 21 So I think, I'm probably going to leave 22 MR. STAMP: No, sir. A lot of good 22 information and a lot of good information 23 shared. That's important. Thank you. 24 MR. CALLAHAN: Thank you. Ms. Morris, 25 that's my boss. Okay. Ms. Morris, 26 that's my boss. Okay. Ms. Morris, 27 MS. MORRIS: No. It was a good meeting. 28 Appreciate everybody being in attendance 29 tonight. 29 Turther certify that the examination was 29 from my stenographic notes to the within printed 29 matter by means of computer-assisted transcription 20 in a true and accurate manner. 20 MR. CALLAHAN: Well, thanks a lot on our 21 end with staff on preparing us, Council, and 21 all the hard work. You've been trying to 22 and the mistakes were and how to fix it. So 24 In the mistakes were and how to fix it. So 25 It with mistakes were and how to fix it. So 26 It with mistakes were and how to fix it. So 27 In the certify that the within named, Talbot County Council Audio, personally appeared before me 28 at the time and place herein set according to law, was intercogated by counsel. 29 If urther certify that the examination was 20 from my stenographic notes to the within printed 21 matter by means of computer-assisted transcription in a true and accurate manner. 28 If urther certify that the misting and well and the first man that the within named, Talbot County Council Audio, personally appeared before me 29 tat the time and place herein set according to law, was intercogated by counsel. 20 If urther certify that the examination was 21 further certify that the misting in attendance to any of the parties, not an employee of counsel, nor related to any of the parties, not an emplo	11	Ray, I know you had a lot on your	11
14 county probably has made a little mistake here and there. But like Mr. Pack said, we're only 15 human. That's why we're here understanding 16 human. That's why we're here understanding 17 what the mistakes were and how to fix it. So 18 nothing intentional here. 18 Let's move on, support the community, and 20 do the best that we can as Council, as Council. 21 So I think, I'm probably going to leave 21 21 Page 131 it Mr. Stamp, do you have anything for us? 2 MR. STAMP: No, sir. A lot of good 3 information and a lot of good information 4 shared. That's important. Thank you. 5 MR. CALLAHAN: Thank you. 6 that's my boss. Okay. Ms. Morris. 6 that's my boss. Okay. Ms. Morris. 7 MS. MORRIS: No. It was a good meeting. 8 Appreciate everybody being in attendance 29 tonight. 10 MR. CALLAHAN: Thanks a lot. I want to 11 thank staff for preparing staff for all this. 11 Patrick, do you have any closing words? 11 to and with staff on preparing us, Council, and 16 all the hard work. You've been trying to 18 and with staff on preparing us, Council, and 18 it, everybody is welcome to send us an e-mail 19 and we'll go from there. 20 So other than that, everybody have a great 19 Wy commission expires September 16, 2025	12	shoulders, and you've done the right thing.	12
15 and there. But like Mr. Pack said, we're only 16 human. That's why we're here understanding 17 what the mistakes were and how to fix it. So 18 nothing intentional here. 19 Let's move on, support the community, and 20 do the best that we can as Council, as Council. 21 So I think, I'm probably going to leave Page 131 1 it Mr. Stamp, do you have anything for us? 2 MR. STAMP: No, sir. A lot of good 3 information and a lot of good information 4 shared. That's important. Thank you. 5 MR. CALLAHAN: Thank you. 6 that's my boss. Okay. Ms. Morris. 6 that's my boss. Okay. Ms. Morris. 7 MS. MORRIS: No. It was a good meeting. 8 Appreciate everybody being in attendance tonight. 10 MR. CALLAHAN: Thanks a lot. I want to tonight. 11 thank staff for preparing staff for all this. 12 Patrick, do you have any closing words? 13 MR. THOMAS: No, I do not. Thank you. 14 MR. CALLAHAN: Well, thanks a lot on our 15 end with staff on preparing us, Council, and 16 all the hard work. You've been trying to 17 answer e-mails and stuff. And I'm going to end 18 it, everybody is welcome to send us an e-mail 19 and we'll go from there. 20 So other than that, everybody have a great 15 16 17 18 19 20 21 STATE OF MARYLAND 1, Diane Houlihan, a Notary Public in and for the State of Maryland, County of Batimore City, do the theorety certify that the within named, Talbot County Council Audio, personally appeared before me 4 at the time and place herein set according to law, was interrogated by counsel. 1 further certify that the examination was recorded stenographically by me and then transcribed from my stenographic notes to the within printed more by complete assisted transcription in a true and accurate manner. 1 further certify that the stipulations contained herein were entered into by counsel in my presence. 1 further certify that the stipulations contained herein were entered into by counsel in a true and accurate manner. 1 further certify that I am not of counsel to any of the parties, nor in any way interested in the outcome of this	13	And hey, you know, in the last 20 years, the	13
human. That's why we're here understanding what the mistakes were and how to fix it. So nothing intentional here. 18	14	county probably has made a little mistake here	14
17 what the mistakes were and how to fix it. So 18 nothing intentional here. 19 Let's move on, support the community, and 20 do the best that we can as Council, as Council. 21 So I think, I'm probably going to leave 22 Page 131 1 it Mr. Stamp, do you have anything for us? 2 MR. STAMP: No, sir. A lot of good 3 information and a lot of good information 4 shared. That's important. Thank you. 5 MR. CALLAHAN: Thank you. 6 that's my boss. Okay. Ms. Morris. 7 MS. MORRIS: No. It was a good meeting. 8 Appreciate everybody being in attendance 9 tonight. 10 MR. CALLAHAN: Thanks a lot. I want to 11 thank staff for preparing staff for all this. 12 Patrick, do you have any closing words? 13 MR. THOMAS: No, I do not. Thank you. 14 MR. CALLAHAN: Well, thanks a lot on our 15 end with staff on preparing us, Council, and 16 all the hard work. You've been trying to 17 answer e-mails and stuff. And I'm going to end 18 it, everybody is welcome to send us an e-mail 19 and we'll go from there. 20 So other than that, everybody have a great 17 Intriber certify that the examination was for corded stenographic notes to the within printed matter by means of computer-assisted transcription in a true and accurate manner. 10 If urther certify that the stipulations contained herein were entered into by counsel in my presence. 10 If urther certify that I am not of counsel in oany of the parties, not an employee of counsel, nor related to any of the parties, not an employee of counsel, nor related to any of the parties, not an employee of counsel, nor related to any of the parties, not an employee of counsel, nor related to any of the parties, not an employee of counsel, nor related to any of the parties, not an employee of counsel, nor related to any of the parties, not an employee of counsel, nor related to any of the parties, not an employee of counsel, nor related to any of the parties, not an employee of counsel, nor related to any of the parties, not an employee of counsel, nor related to any of the parties, not an employee of counsel	15	and there. But like Mr. Pack said, we're only	15
18 nothing intentional here. 19 Let's move on, support the community, and 20 do the best that we can as Council, as Council. 21 So I think, I'm probably going to leave Page 131 1 it Mr. Stamp, do you have anything for us? 2 MR. STAMP: No, sir. A lot of good 3 information and a lot of good information 4 shared. That's important. Thank you. 5 MR. CALLAHAN: Thank you. Ms. Morris, 6 that's my boss. Okay. Ms. Morris. 7 MS. MORRIS: No. It was a good meeting. 8 Appreciate everybody being in attendance 9 tonight. 10 MR. CALLAHAN: Thanks a lot. I want to 11 thank staff for preparing staff for all this. 12 Patrick, do you have any closing words? 13 MR. THOMAS: No, I do not. Thank you. 14 MR. CALLAHAN: Well, thanks a lot on our 15 end with staff on preparing us, Council, and 16 all the hard work. You've been trying to 17 answer e-mails and stuff. And I'm going to end 18 it, everybody is welcome to send us an e-mail 19 and we'll go from there. 20 So other than that, everybody have a great 18 STATE OF MARYLAND 20 21 STATE OF MARYLAND 1 I, Diane Houlihan, a Notary Public in and for the State of Maryland, County of Baltimore City, do hereby certify that the within named, Talbot County County County of Baltimore City, do hereby certify that the examination was recorded stenographically by me and then transcribed from my stenographic notes to the within printed matter by means of computer-assisted transcription in a true and accurate manner. 1 Further certify that the examination was recorded stenographically by me and then transcribed from my stenographically by me and then transcribed from my stenographically by me and then transcribed from my stenographic notes to the within printed matter by means of computer-assisted transcription in a true and accurate manner. 1 Further certify that I am not of counsel, nor related to any of the parties, nor in any way interested in the outcome of this action. 13 AS WITNESS my hand Notorial Seal this 15th day of February, 2027 in the parties, nor in any way interested in the out	16	human. That's why we're here understanding	16
19 Let's move on, support the community, and do the best that we can as Council, as Council. 21 So I think, I'm probably going to leave Page 131 1 it Mr. Stamp, do you have anything for us? 2 MR. STAMP: No, sir. A lot of good information and a lot of good information ashared. That's important. Thank you. 5 MR. CALLAHAN: Thank you. Ms. Morris, that's my boss. Okay. Ms. Morris. 6 that's my boss. Okay. Ms. Morris. 7 MS. MORRIS: No. It was a good meeting. 8 Appreciate everybody being in attendance tonight. 10 MR. CALLAHAN: Thanks a lot. I want to thank staff for preparing staff for all this. 11 thank staff for preparing staff for all this. 12 Patrick, do you have any closing words? 13 MR. THOMAS: No, I do not. Thank you. 14 MR. CALLAHAN: Well, thanks a lot on our end with staff on preparing us, Council, and all the hard work. You've been trying to answer e-mails and stuff. And I'm going to end 18 it, everybody is welcome to send us an e-mail 19 and we'll go from there. 20 So other than that, everybody have a great Page 131 21 STATE OF MARYLAND 1, Diane Houlihan, a Notary Pablic in and for the State of Maryland, Councy of Baltimore City, 3 do hereby certify that the within named, Talbot County Council Audio, personally appeared before me 4 at the time and place herein set according to law, was interrogated by counsel. 1 further certify that the examination was recorded stenographically by me and then transcribed from my stenographic notes to the within printed matter by means of computer-assisted transcription in a true and accurate manner. 8 I further certify that the stipulations contained herein were entered into by counsel in my presence. 10 I further certify that I am not of counsel to any of the parties, nor na memployee of counsel, nor related to any of the parties, nor in any way interested in the outcome of this action. 13 AS WITNESS my hand Notorial Seal this 15th day of February, 202° via Wokar. 14 Diane Houlihan. 15 A Diane Houlihan. 16 Diane Houlihan. 17 Diane Houlihan. 18 Over the Maryl	17	what the mistakes were and how to fix it. So	17
20 do the best that we can as Council, as Council. 21 So I think, I'm probably going to leave Page 131 1 it Mr. Stamp, do you have anything for us? 2 MR. STAMP: No, sir. A lot of good 3 information and a lot of good information 4 shared. That's important. Thank you. 5 MR. CALLAHAN: Thank you. 6 that's my boss. Okay. Ms. Morris. 7 MS. MORRIS: No. It was a good meeting. 8 Appreciate everybody being in attendance 9 tonight. 10 MR. CALLAHAN: Thanks a lot. I want to 11 thank staff for preparing staff for all this. 12 Patrick, do you have any closing words? 13 MR. THOMAS: No, I do not. Thank you. 14 MR. CALLAHAN: Well, thanks a lot on our 15 end with staff on preparing us, Council, and 16 all the hard work. You've been trying to 17 answer e-mails and stuff. And I'm going to end 18 it, everybody is welcome to send us an e-mail 19 and we'll go from there. 20 So other than that, everybody have a great Page 131 STATE OF MARYLAND 1, Diane Houlihan, a Notary Public in and for the State of Maryland, County of Baltimore City, 3 do hereby certify that the within named, Talbot County Council Audio, personally appeared before me 4 at the time and place herein set according to law, was interrogated by counsel. 1 further certify that the examination was 6 recorded stenographic notes to the within printed 7 matter by means of computer-assisted transcription in a true and accurate manner. 8 I further certify that the stipulations 9 contained herein were entered into by counsel in my presence. 10 11 further certify that I am not of counsel to any of the parties, not an employee of counsel, nor related to any of the parties, not an employee of counsel, nor related to any of the parties, not an employee of counsel, nor related to any of the parties, not an employee of counsel, nor related to any of the parties, not an employee of counsel, nor related to any of the parties, not an employee of counsel, nor related to any of the parties, not an employee of counsel, nor related to any of the parties, nor in any way interested i	18	nothing intentional here.	18
Page 131 Page 131 Page 131 Page 131 It Mr. Stamp, do you have anything for us? MR. STAMP: No, sir. A lot of good information and a lot of good information ashared. That's important. Thank you. MR. CALLAHAN: Thank you. Ms. Morris, that's my boss. Okay. Ms. Morris. MS. MORRIS: No. It was a good meeting. Appreciate everybody being in attendance tonight. MR. CALLAHAN: Thanks a lot. I want to thank staff for preparing staff for all this. Patrick, do you have any closing words? MR. THOMAS: No, I do not. Thank you. MR. CALLAHAN: Well, thanks a lot on our end with staff on preparing us, Council, and all the hard work. You've been trying to answer e-mails and stuff. And I'm going to end it, everybody is welcome to send us an e-mail and we'll go from there. So other than that, everybody have a great Page 131 STATE OF MARYLAND I, Diane Houlihan, a Notary Public in and for the State of Maryland, County of Baltimore City, 3 do hereby certify that the within named, Talbot County Council Audio, personally appeared before me 4 at the time and place herein set according to law, was interrogated by counsel. I further certify that the examination was 6 recorded stenographic notes to the within printed 7 matter by means of computer-assisted transcription in a true and accurate manner. I further certify that the stipulations 2 contained herein were entered into by counsel in my presence. If further certify that I am not of counsel to any of the parties, nor in any way interested in the outcome of this action. AS WITNESS my hand Notorial Seal this 15th day of February, 2022 in the properties, nor in any way interested in the outcome of this action. AS WITNESS my hand Notorial Seal this 15th day of February, 2022 interested in the outcome of this action. AS WITNESS my hand Notorial Seal this 15th day of February, 2022 interested in the outcome of this action. So other thank examination was 1 for the State of Maryland, County of Baltimore City, 3 do hereby certify that the within named, Talbot County of heart	19	Let's move on, support the community, and	19
Page 131 1 it Mr. Stamp, do you have anything for us? 2 MR. STAMP: No, sir. A lot of good 3 information and a lot of good information 4 shared. That's important. Thank you. 5 MR. CALLAHAN: Thank you. Ms. Morris, 6 that's my boss. Okay. Ms. Morris. 7 MS. MORRIS: No. It was a good meeting. 8 Appreciate everybody being in attendance 9 tonight. 10 MR. CALLAHAN: Thanks a lot. I want to 11 thank staff for preparing staff for all this. 12 Patrick, do you have any closing words? 13 MR. THOMAS: No, I do not. Thank you. 14 MR. CALLAHAN: Well, thanks a lot on our 15 end with staff on preparing us, Council, and 16 all the hard work. You've been trying to 17 answer e-mails and stuff. And I'm going to end 18 it, everybody is welcome to send us an e-mail 19 and we'll go from there. 20 So other than that, everybody have a great Page 13: STATE OF MARYLAND 1, Diane Houlihan, a Notary Public in and for the State of Maryland, County of Baltimore City, 3 do hereby certify that the within named, Talbot County Council Audio, personally appeared before me 4 at the time and place herein set according to law, was interrogated by counsel. 1 further certify that the examination was 6 recorded stenographically by me and then transcribed from my stenographic notes to the within printed 7 matter by means of computer-assisted transcription in a true and accurate manner. 8 If urther certify that the examination was 6 recorded stenographically by me and then transcribed from my stenographic notes to the within printed 7 matter by means of computer-assisted transcription in a true and accurate manner. 8 If urther certify that the examination was 6 recorded stenographically by me and then transcribed from my stenographic notes to the within printed 7 matter by means of computer-assisted transcription in a true and accurate manner. 8 If urther certify that the stipulations 9 contained herein were entered into by counsel in my presence. 10 I further certify that I am not of counsel, nor related to any of the parties, nor in any way 1 int	20	do the best that we can as Council, as Council.	20
1 it Mr. Stamp, do you have anything for us? 2 MR. STAMP: No, sir. A lot of good 3 information and a lot of good information 4 shared. That's important. Thank you. 5 MR. CALLAHAN: Thank you. Ms. Morris, 6 that's my boss. Okay. Ms. Morris. 7 MS. MORRIS: No. It was a good meeting. 8 Appreciate everybody being in attendance 9 tonight. 10 MR. CALLAHAN: Thanks a lot. I want to 11 thank staff for preparing staff for all this. 12 Patrick, do you have any closing words? 13 MR. THOMAS: No, I do not. Thank you. 14 MR. CALLAHAN: Well, thanks a lot on our 15 end with staff on preparing us, Council, and 16 all the hard work. You've been trying to 17 answer e-mails and stuff. And I'm going to end 18 it, everybody is welcome to send us an e-mail 19 and we'll go from there. 20 So other than that, everybody have a great	21	So I think, I'm probably going to leave	21
answer e-mails and stuff. And I'm going to end it, everybody is welcome to send us an e-mail and we'll go from there. So other than that, everybody have a great 15 16 Diane Houlihan Notary Public 18 19 20 My commission expires September 16, 2025	2 3 4 5 6 7 8 9 10 11 12 13 14 15	it Mr. Stamp, do you have anything for us? MR. STAMP: No, sir. A lot of good information and a lot of good information shared. That's important. Thank you. MR. CALLAHAN: Thank you. Ms. Morris, that's my boss. Okay. Ms. Morris. MS. MORRIS: No. It was a good meeting. Appreciate everybody being in attendance tonight. MR. CALLAHAN: Thanks a lot. I want to thank staff for preparing staff for all this. Patrick, do you have any closing words? MR. THOMAS: No, I do not. Thank you. MR. CALLAHAN: Well, thanks a lot on our end with staff on preparing us, Council, and	I, Diane Houlihan, a Notary Public in and for the State of Maryland, County of Baltimore City, do hereby certify that the within named, Talbot County Council Audio, personally appeared before me at the time and place herein set according to law, was interrogated by counsel. I further certify that the examination was recorded stenographically by me and then transcribed from my stenographic notes to the within printed matter by means of computer-assisted transcription in a true and accurate manner. I further certify that the stipulations contained herein were entered into by counsel in my presence. I further certify that I am not of counsel to any of the parties, not an employee of counsel, nor related to any of the parties, nor in any way interested in the outcome of this action. AS WITNESS my hand Notorial Seal this 15th day of February, 2022
17 answer e-mails and stuff. And I'm going to end 18 it, everybody is welcome to send us an e-mail 19 and we'll go from there. 20 So other than that, everybody have a great 16 Diane Houlihan 17 Notary Public 18 19 20 My commission expires September 16, 2025			14
17 Notary Public 19 and we'll go from there. 20 So other than that, everybody have a great 17 Notary Public 18 19 20 My commission expires September 16, 2025			
19 and we'll go from there. 20 So other than that, everybody have a great 18 19 20 My commission expires September 16, 2025		•	
20 My commission expires September 16, 2025		•	18
	20	So other than that, everybody have a great	
	21	evening and I really appreciate everything.	

[1 - 9507a] Page 1

1	29:6,6,16 30:8,11	2021 50:16,18	87:5,10 89:1
1 6:4 14:9,10	30:15,16 31:6,9	51:1 70:1 72:21	110:17 120:19
17:14,15,15,20,20	31:10,10,14 32:5	73:10 84:15 88:3	125:8
18:5 19:11,12,12	32:6,9,10,11 33:3	89:20 105:12	2nd 42:14
19:17 23:8,8 24:7	33:4 37:5,5,18,18	2022 1:7 78:5	3
24:7 25:2,4,7,7,10	38:13,19 45:20,20	133:13	3 6:4 26:13 50:9
25:17,17,20,20	66:7,7 67:14	2023 73:12 92:13	3,700 98:13
26:13 28:6,6	80:21 81:10,15,15	2025 74:3 76:11	30 72:2
29:18 30:2,12,20	81:16,16 82:6	77:18 78:1 133:20	30,000 89:2,12
30:21 32:16 33:20	110:15 111:17	2030 73:12 74:3	308 2:12 3:8
38:6,19 39:7	118:2,3,9,9,13,13	76:12 77:18 78:1	30th 19:4
40:20,21 46:3,3	119:3	20th 42:9,12	313 2:13 94:7,18
66:7,7 67:1,1,6,6	2,500 81:20	21 76:19 118:10	95:6,13 97:8
67:14 81:9 110:15	20 65:8 79:9 99:19	229 22:1 25:19	3rd 42:15,16
117:2,2,6,6,21,21	130:13	23 26:11 116:2,3	
118:3,3,9,18	200,000 49:6	116:12	4
1-303 7:16	2002 16:16 20:6	23rd 13:4	40,000 72:2
1.1 74:8	20:21 21:3,10	24 26:14 28:10	4416 10:16
100 68:7	26:9 27:5,8 29:12	80:21 115:6,16	5
107 90:18 92:15	31:3 45:5 65:5	116:8,10,12	5 6:3
10th 42:18	68:2,7 69:1,11,16	118:20 119:4	50/50 67:14
12 90:3	69:16 71:4 72:10	120:8	540,000 69:17
120 49:12 83:4,17	72:15 73:7,20	24th 42:9	70:10 77:2,9
85:11 87:6,21	76:2 77:1,12 78:3	25 90:13	82:18
88:17 89:5,10,16	81:1,8 82:9,17	250 49:9	5:00 1:7
90:14,18 93:3	110:16 111:17	250,000 73:15	5th 16:20 40:15
99:12 105:15	113:3,6,20 119:2	26 6:4	6
1362 133:15	121:5	27th 13:19	
14 114:19	2003 65:4 68:17	281 2:12 3:8,14	60,000 49:10,13
15th 133:13	72:17,18 77:11	11:8,16 12:2,6,9	600,000 83:20
16 133:20	115:15 117:3	12:19 23:14 24:4	7
17 77:13	2004 74:1 78:1	25:5 40:12,13	7 1:7
18 76:8 91:18,21	117:17 119:1	42:19 64:4,19	7:11 132:1
99:4 117:5 119:8	2005 78:3	65:8,16,21 66:12	8
19 99:19	2006 73:6,9 77:17	67:16 69:2,9 70:1	
1a 90:1 92:5,8	2007 37:2	71:9,13 72:7,11	8.8 48:13 8th 16:3
1b 90:13	2008 28:18 37:2	72:18 73:11 74:16	
1st 92:11	37:14	74:20 75:20 76:4	9
2	2016 50:17	76:7,18 78:4,7,11	9 6:2
2 14:9,14 16:17,18	2019 13:8 15:14	78:11,15 79:4,14	95 90:1,2,10
19:12,14,14 23:13	15:16,17 37:1	79:15 80:2,7,12	95,000 49:7
23:16,16 26:13	38:6 79:1,8	81:12,20 82:1,4,5	9506a 7:5
28:2,18,18 29:1,1	2020 14:4 16:9	82:14 83:9,12,14	9507a 9:1
20.2,10,10 29.1,1	42:10 72:19 77:13	84:5 85:3 86:1,13	

[9th - appealable]

9th 15:8,16 37:1	96:10	agree 63:9 81:4	96:15 117:16
a	adding 27:18 96:4	103:10,11 106:11	118:2,6,7,8
abandoned 37:13	addition 59:1 73:1	109:17	120:13 121:10
	116:7	agreed 88:6	122:6
ability 35:3 83:8 85:2	additional 2:14	agreement 84:3,4	amendments 6:18
able 61:14,15 62:6	48:13 57:12 66:13	88:3,12 89:16	9:2,8,9 23:2 38:4
62:8 78:13 122:16	75:13,16 76:10	95:1 96:14	39:4 70:2 117:15
	90:13	ahead 5:18 24:1	amenities 67:10
124:19 125:14,17 127:16	address 3:21 5:16	53:11 70:17 108:2	ammonia 50:13
absent 74:15	11:12 27:20 55:8	110:4	50:19 51:2,16
	64:3 79:9 80:3	ain't 94:3	amount 49:16
absolutely 88:20 109:18 110:11	86:12 110:10	alerting 14:16	59:2 125:19
accept 38:7 101:4	addressed 12:8	alfaro 16:21	analysis 85:10
_	119:9	allocate 89:3	angst 32:20
accepted 119:18	addressing 28:13	90:17	annexation 65:3,4
access 67:11 accidently 21:7	adds 115:5	allocated 49:12	77:4 117:3,6
25:9,19	adequate 5:12	83:17 88:4 89:10	annexations 10:15
· ·	75:6	118:17	27:11
accompanying 26:17	adjusted 77:20	allocates 89:4	annexed 18:3 28:4
accomplish 95:5	adopt 5:10 8:16	allocating 89:15	29:19 31:19 117:1
96:4 101:21	41:9 121:20	allow 3:20 71:19	annotated 41:7
accomplished	adopted 22:3 27:3	allowed 51:15	annual 50:14
64:4,20 65:1	27:5 31:13 39:2	75:21 85:14,14	answer 125:20
76:19	65:6 68:2,7 71:14	amazing 52:2	129:11 131:17
accurate 133:7	77:12 78:11 79:4	ambiguity 111:10	answered 123:14
accurately 65:2	81:12 83:12	amend 8:11 12:4,5	answering 128:12
accurately 05.2 accusation 35:15	110:17,18 118:4	29:20 46:2	128:13
accusation 35:13	118:12 121:5,8,15	amended 6:6 11:1	answers 125:16
accusing 35:16	adoption 8:18	11:2 16:12 17:21	anticipated 49:17
36:3,16	28:11 31:2 65:7	18:6 23:15,15	68:6 70:8 74:13
achieve 50:9	79:15 81:19 82:1	31:21 73:11 74:2	77:11 90:14 91:16
act 7:11 8:7	82:4,8 120:12	78:7	anticipation 29:12
acted 129:2	advance 88:11	amending 8:8	anybody 36:3,16
action 9:6 29:3,15	advice 11:17	14:18 18:15 22:10	39:19 110:5
30:5 31:4 51:13	advised 80:20	26:5 28:6 117:19	anyway 59:11
56:15 91:11 94:21	81:6	amendment 6:8	apart 94:19
106:2 133:12	advising 13:6	6:10,19 7:7,13 8:2	apologies 61:19
actual 28:12	advisory 7:3 8:1	8:5 9:4,14,15 10:3	apologize 15:16
add 43:2 46:7 53:9	8:10 104:13 105:1	11:3 12:9 25:13	30:9 57:18 122:14
62:21 75:10 95:1	119:12 126:2	38:9 39:2 40:13	123:6 124:14
109:6	ago 70:7 76:8	40:19 41:2,5,10	125:2,11 129:1,8
added 69:3 72:8	79:19 94:13 119:8	41:14 42:7,20	appeal 97:5
74:4,5 75:8,15	128:21	43:5 45:12 70:1	appealable 97:16
78:15,16,18 79:15		73:20 81:19 96:12	

[appealed - big]

[mbhearen 218]
appealed 97:4
appeals 97:21
appeared 133:3
application 6:9,20
66:2,9 79:2
applications 14:18
applies 10:1
appreciate 39:21
44:17 46:5 53:7
62:16 98:9 102:19
104:4 105:9
113:17 123:1
124:12,18 125:9
126:6 131:8,21
approach 40:20
appropriate 9:7
98:11,18 121:7
approval 8:19,21
9:13,17 40:12
87:13
approvals 83:15
approve 9:4,10
35:5
approved 9:8,14
10:5 22:4 38:14
38:21 83:13 84:10
84:12 87:5
approves 33:21
approves 33.21 approving 33:9
approximately
48:6 66:20 91:18
april 13:4,7 51:1
84:15
aquifer 74:7
arbitrary 100:6
area 21:13 23:8
26:10,15 29:16
31:5 38:15,15,20
39:12 65:12 67:5
81:2,13 82:11
114:17 115:1,8,13
114:17 113:1,8,13
115.17,20 116.4
110.11 117.1,12
117.7,0 120.1,1,7

areas 13:14 14:9 28:4,9 29:1 38:14 57:6 67:3 115:1 115:10 116:17 argue 45:17 argued 118:16,19 arguing 39:7 46:2 argument 39:10 arguments 118:19 arose 96:11 arranged 85:5 art 129:17 article 6:3 7:6,16 9:1 41:6,8 artifact 115:6 aside 115:7 asked 93:20 102:6 117:10 125:7 asking 13:11 17:1 34:15,16 43:11 assist 62:8 assisted 133:7 assume 32:8,12 52:4 111:18 assumption 39:5 assumptions 32:19 atlantic 100:8 attached 119:4 121:18 attack 110:21 attempts 10:2 117:4 attendance 131:8 attention 58:3 126:21 attorney 4:20 13:7 28:20 119:11 audio 70:18 71:2 133:3 authority 9:2,10 9:19 10:6,10,18 10:20 11:4 33:10	
28:4,9 29:1 38:14 57:6 67:3 115:1 115:10 116:17 argue 45:17 argued 118:16,19 arguing 39:7 46:2 argument 39:10 arguments 118:19 arose 96:11 arranged 85:5 art 129:17 article 6:3 7:6,16 9:1 41:6,8 artifact 115:6 aside 115:7 asked 93:20 102:6 117:10 125:7 asking 13:11 17:1 34:15,16 43:11 assist 62:8 assisted 133:7 assume 32:8,12 52:4 111:18 assumption 39:5 assumptions 32:19 atlantic 100:8 attached 119:4 121:18 attack 110:21 attempts 10:2 117:4 attendance 131:8 attention 58:3 126:21 attorney 4:20 13:7 28:20 119:11 audio 70:18 71:2 133:3 authority 9:2,10 9:19 10:6,10,18	areas 13:14 14:9
argue 45:17 argued 118:16,19 arguing 39:7 46:2 argument 39:10 arguments 118:19 arose 96:11 arranged 85:5 art 129:17 article 6:3 7:6,16 9:1 41:6,8 artifact 115:6 aside 115:7 asked 93:20 102:6 117:10 125:7 asking 13:11 17:1 34:15,16 43:11 assist 62:8 assisted 133:7 assume 32:8,12 52:4 111:18 assuming 49:8 assumption 39:5 assumptions 32:19 atlantic 100:8 attached 119:4 121:18 attack 110:21 attempts 10:2 117:4 attendance 131:8 attention 58:3 126:21 attorney 4:20 13:7 28:20 119:11 audio 70:18 71:2 133:3 authority 9:2,10 9:19 10:6,10,18	
argue 45:17 argued 118:16,19 arguing 39:7 46:2 argument 39:10 arguments 118:19 arose 96:11 arranged 85:5 art 129:17 article 6:3 7:6,16 9:1 41:6,8 artifact 115:6 aside 115:7 asked 93:20 102:6 117:10 125:7 asking 13:11 17:1 34:15,16 43:11 assist 62:8 assisted 133:7 assume 32:8,12 52:4 111:18 assuming 49:8 assumption 39:5 assumptions 32:19 atlantic 100:8 attached 119:4 121:18 attack 110:21 attempts 10:2 117:4 attendance 131:8 attention 58:3 126:21 attorney 4:20 13:7 28:20 119:11 audio 70:18 71:2 133:3 authority 9:2,10 9:19 10:6,10,18	57:6 67:3 115:1
argued 118:16,19 arguing 39:7 46:2 argument 39:10 arguments 118:19 arose 96:11 arranged 85:5 art 129:17 article 6:3 7:6,16 9:1 41:6,8 artifact 115:6 aside 115:7 asked 93:20 102:6 117:10 125:7 asking 13:11 17:1 34:15,16 43:11 assist 62:8 assisted 133:7 assume 32:8,12 52:4 111:18 assuming 49:8 assumption 39:5 assumptions 32:19 atlantic 100:8 attached 119:4 121:18 attack 110:21 attempts 10:2 117:4 attendance 131:8 attention 58:3 126:21 attorney 4:20 13:7 28:20 119:11 audio 70:18 71:2 133:3 authority 9:2,10 9:19 10:6,10,18	
arguing 39:7 46:2 argument 39:10 arguments 118:19 arose 96:11 arranged 85:5 art 129:17 article 6:3 7:6,16 9:1 41:6,8 artifact 115:6 aside 115:7 asked 93:20 102:6 117:10 125:7 asking 13:11 17:1 34:15,16 43:11 assist 62:8 assisted 133:7 assume 32:8,12 52:4 111:18 assuming 49:8 assumptions 32:19 atlantic 100:8 attached 119:4 121:18 attack 110:21 attempts 10:2 117:4 attendance 131:8 attention 58:3 126:21 attorney 4:20 13:7 28:20 119:11 audio 70:18 71:2 133:3 authority 9:2,10 9:19 10:6,10,18	argue 45:17
argument 39:10 arguments 118:19 arose 96:11 arranged 85:5 art 129:17 article 6:3 7:6,16 9:1 41:6,8 artifact 115:6 aside 115:7 asked 93:20 102:6 117:10 125:7 asking 13:11 17:1 34:15,16 43:11 assist 62:8 assisted 133:7 assume 32:8,12 52:4 111:18 assuming 49:8 assumption 39:5 assumptions 32:19 atlantic 100:8 attached 119:4 121:18 attack 110:21 attempts 10:2 117:4 attendance 131:8 attention 58:3 126:21 attorney 4:20 13:7 28:20 119:11 audio 70:18 71:2 133:3 authority 9:2,10 9:19 10:6,10,18	argued 118:16,19
arguments 118:19 arose 96:11 arranged 85:5 art 129:17 article 6:3 7:6,16 9:1 41:6,8 artifact 115:6 aside 115:7 asked 93:20 102:6 117:10 125:7 asking 13:11 17:1 34:15,16 43:11 assist 62:8 assisted 133:7 assume 32:8,12 52:4 111:18 assuming 49:8 assumptions 32:19 atlantic 100:8 attached 119:4 121:18 attack 110:21 attempts 10:2 117:4 attendance 131:8 attention 58:3 126:21 attorney 4:20 13:7 28:20 119:11 audio 70:18 71:2 133:3 authority 9:2,10 9:19 10:6,10,18	arguing 39:7 46:2
arose 96:11 arranged 85:5 art 129:17 article 6:3 7:6,16 9:1 41:6,8 artifact 115:6 aside 115:7 asked 93:20 102:6 117:10 125:7 asking 13:11 17:1 34:15,16 43:11 assist 62:8 assisted 133:7 assume 32:8,12 52:4 111:18 assuming 49:8 assumption 39:5 assumptions 32:19 atlantic 100:8 attached 119:4 121:18 attack 110:21 attempts 10:2 117:4 attendance 131:8 attention 58:3 126:21 attorney 4:20 13:7 28:20 119:11 audio 70:18 71:2 133:3 authority 9:2,10 9:19 10:6,10,18	argument 39:10
arranged 85:5 art 129:17 article 6:3 7:6,16 9:1 41:6,8 artifact 115:6 aside 115:7 asked 93:20 102:6 117:10 125:7 asking 13:11 17:1 34:15,16 43:11 assist 62:8 assisted 133:7 assume 32:8,12 52:4 111:18 assuming 49:8 assumptions 32:19 atlantic 100:8 attached 119:4 121:18 attack 110:21 attempts 10:2 117:4 attendance 131:8 attention 58:3 126:21 attorney 4:20 13:7 28:20 119:11 audio 70:18 71:2 133:3 authority 9:2,10 9:19 10:6,10,18	arguments 118:19
art 129:17 article 6:3 7:6,16 9:1 41:6,8 artifact 115:6 aside 115:7 asked 93:20 102:6 117:10 125:7 asking 13:11 17:1 34:15,16 43:11 assist 62:8 assisted 133:7 assume 32:8,12 52:4 111:18 assuming 49:8 assumption 39:5 assumptions 32:19 atlantic 100:8 attached 119:4 121:18 attack 110:21 attempts 10:2 117:4 attendance 131:8 attention 58:3 126:21 attorney 4:20 13:7 28:20 119:11 audio 70:18 71:2 133:3 authority 9:2,10 9:19 10:6,10,18	arose 96:11
article 6:3 7:6,16 9:1 41:6,8 artifact 115:6 aside 115:7 asked 93:20 102:6 117:10 125:7 asking 13:11 17:1 34:15,16 43:11 assist 62:8 assisted 133:7 assume 32:8,12 52:4 111:18 assumption 39:5 assumptions 32:19 atlantic 100:8 attached 119:4 121:18 attack 110:21 attempts 10:2 117:4 attendance 131:8 attention 58:3 126:21 attorney 4:20 13:7 28:20 119:11 audio 70:18 71:2 133:3 authority 9:2,10 9:19 10:6,10,18	_
9:1 41:6,8 artifact 115:6 aside 115:7 asked 93:20 102:6 117:10 125:7 asking 13:11 17:1 34:15,16 43:11 assist 62:8 assisted 133:7 assume 32:8,12 52:4 111:18 assuming 49:8 assumption 39:5 assumptions 32:19 atlantic 100:8 attached 119:4 121:18 attack 110:21 attempts 10:2 117:4 attendance 131:8 attention 58:3 126:21 attorney 4:20 13:7 28:20 119:11 audio 70:18 71:2 133:3 authority 9:2,10 9:19 10:6,10,18	
artifact 115:6 aside 115:7 asked 93:20 102:6 117:10 125:7 asking 13:11 17:1 34:15,16 43:11 assist 62:8 assisted 133:7 assume 32:8,12 52:4 111:18 assuming 49:8 assumption 39:5 assumptions 32:19 atlantic 100:8 attached 119:4 121:18 attack 110:21 attempts 10:2 117:4 attendance 131:8 attention 58:3 126:21 attorney 4:20 13:7 28:20 119:11 audio 70:18 71:2 133:3 authority 9:2,10 9:19 10:6,10,18	
aside 115:7 asked 93:20 102:6 117:10 125:7 asking 13:11 17:1 34:15,16 43:11 assist 62:8 assisted 133:7 assume 32:8,12 52:4 111:18 assuming 49:8 assumption 39:5 assumptions 32:19 atlantic 100:8 attached 119:4 121:18 attack 110:21 attempts 10:2 117:4 attendance 131:8 attention 58:3 126:21 attorney 4:20 13:7 28:20 119:11 audio 70:18 71:2 133:3 authority 9:2,10 9:19 10:6,10,18	*
asked 93:20 102:6 117:10 125:7 asking 13:11 17:1 34:15,16 43:11 assist 62:8 assisted 133:7 assume 32:8,12 52:4 111:18 assuming 49:8 assumption 39:5 assumptions 32:19 atlantic 100:8 attached 119:4 121:18 attack 110:21 attempts 10:2 117:4 attendance 131:8 attention 58:3 126:21 attorney 4:20 13:7 28:20 119:11 audio 70:18 71:2 133:3 authority 9:2,10 9:19 10:6,10,18	
asking 13:11 17:1 34:15,16 43:11 assist 62:8 assisted 133:7 assume 32:8,12 52:4 111:18 assuming 49:8 assumption 39:5 assumptions 32:19 atlantic 100:8 attached 119:4 121:18 attack 110:21 attempts 10:2 117:4 attendance 131:8 attention 58:3 126:21 attorney 4:20 13:7 28:20 119:11 audio 70:18 71:2 133:3 authority 9:2,10 9:19 10:6,10,18	
asking 13:11 17:1 34:15,16 43:11 assist 62:8 assisted 133:7 assume 32:8,12 52:4 111:18 assuming 49:8 assumption 39:5 assumptions 32:19 atlantic 100:8 attached 119:4 121:18 attack 110:21 attempts 10:2 117:4 attendance 131:8 attention 58:3 126:21 attorney 4:20 13:7 28:20 119:11 audio 70:18 71:2 133:3 authority 9:2,10 9:19 10:6,10,18	
34:15,16 43:11 assist 62:8 assisted 133:7 assume 32:8,12 52:4 111:18 assuming 49:8 assumption 39:5 assumptions 32:19 atlantic 100:8 attached 119:4 121:18 attack 110:21 attempts 10:2 117:4 attendance 131:8 attention 58:3 126:21 attorney 4:20 13:7 28:20 119:11 audio 70:18 71:2 133:3 authority 9:2,10 9:19 10:6,10,18	
assist 62:8 assisted 133:7 assume 32:8,12 52:4 111:18 assuming 49:8 assumption 39:5 assumptions 32:19 atlantic 100:8 attached 119:4 121:18 attack 110:21 attempts 10:2 117:4 attendance 131:8 attention 58:3 126:21 attorney 4:20 13:7 28:20 119:11 audio 70:18 71:2 133:3 authority 9:2,10 9:19 10:6,10,18	
assisted 133:7 assume 32:8,12 52:4 111:18 assuming 49:8 assumption 39:5 assumptions 32:19 atlantic 100:8 attached 119:4 121:18 attack 110:21 attempts 10:2 117:4 attendance 131:8 attention 58:3 126:21 attorney 4:20 13:7 28:20 119:11 audio 70:18 71:2 133:3 authority 9:2,10 9:19 10:6,10,18	
assume 32:8,12 52:4 111:18 assuming 49:8 assumption 39:5 assumptions 32:19 atlantic 100:8 attached 119:4 121:18 attack 110:21 attempts 10:2 117:4 attendance 131:8 attention 58:3 126:21 attorney 4:20 13:7 28:20 119:11 audio 70:18 71:2 133:3 authority 9:2,10 9:19 10:6,10,18	
52:4 111:18 assuming 49:8 assumption 39:5 assumptions 32:19 atlantic 100:8 attached 119:4 121:18 attack 110:21 attempts 10:2 117:4 attendance 131:8 attention 58:3 126:21 attorney 4:20 13:7 28:20 119:11 audio 70:18 71:2 133:3 authority 9:2,10 9:19 10:6,10,18	
assuming 49:8 assumption 39:5 assumptions 32:19 atlantic 100:8 attached 119:4 121:18 attack 110:21 attempts 10:2 117:4 attendance 131:8 attention 58:3 126:21 attorney 4:20 13:7 28:20 119:11 audio 70:18 71:2 133:3 authority 9:2,10 9:19 10:6,10,18	
assumption 39:5 assumptions 32:19 atlantic 100:8 attached 119:4 121:18 attack 110:21 attempts 10:2 117:4 attendance 131:8 attention 58:3 126:21 attorney 4:20 13:7 28:20 119:11 audio 70:18 71:2 133:3 authority 9:2,10 9:19 10:6,10,18	
assumptions 32:19 atlantic 100:8 attached 119:4 121:18 attack 110:21 attempts 10:2 117:4 attendance 131:8 attention 58:3 126:21 attorney 4:20 13:7 28:20 119:11 audio 70:18 71:2 133:3 authority 9:2,10 9:19 10:6,10,18	
32:19 atlantic 100:8 attached 119:4 121:18 attack 110:21 attempts 10:2 117:4 attendance 131:8 attention 58:3 126:21 attorney 4:20 13:7 28:20 119:11 audio 70:18 71:2 133:3 authority 9:2,10 9:19 10:6,10,18	-
atlantic 100:8 attached 119:4 121:18 attack 110:21 attempts 10:2 117:4 attendance 131:8 attention 58:3 126:21 attorney 4:20 13:7 28:20 119:11 audio 70:18 71:2 133:3 authority 9:2,10 9:19 10:6,10,18	-
attached 119:4 121:18 attack 110:21 attempts 10:2 117:4 attendance 131:8 attention 58:3 126:21 attorney 4:20 13:7 28:20 119:11 audio 70:18 71:2 133:3 authority 9:2,10 9:19 10:6,10,18	
121:18 attack 110:21 attempts 10:2 117:4 attendance 131:8 attention 58:3 126:21 attorney 4:20 13:7 28:20 119:11 audio 70:18 71:2 133:3 authority 9:2,10 9:19 10:6,10,18	
attack 110:21 attempts 10:2 117:4 attendance 131:8 attention 58:3 126:21 attorney 4:20 13:7 28:20 119:11 audio 70:18 71:2 133:3 authority 9:2,10 9:19 10:6,10,18	
attempts 10:2 117:4 attendance 131:8 attention 58:3 126:21 attorney 4:20 13:7 28:20 119:11 audio 70:18 71:2 133:3 authority 9:2,10 9:19 10:6,10,18	
117:4 attendance 131:8 attention 58:3 126:21 attorney 4:20 13:7 28:20 119:11 audio 70:18 71:2 133:3 authority 9:2,10 9:19 10:6,10,18	
attendance 131:8 attention 58:3 126:21 attorney 4:20 13:7 28:20 119:11 audio 70:18 71:2 133:3 authority 9:2,10 9:19 10:6,10,18	-
attention 58:3 126:21 attorney 4:20 13:7 28:20 119:11 audio 70:18 71:2 133:3 authority 9:2,10 9:19 10:6,10,18	
126:21 attorney 4:20 13:7 28:20 119:11 audio 70:18 71:2 133:3 authority 9:2,10 9:19 10:6,10,18	
attorney 4:20 13:7 28:20 119:11 audio 70:18 71:2 133:3 authority 9:2,10 9:19 10:6,10,18	
28:20 119:11 audio 70:18 71:2 133:3 authority 9:2,10 9:19 10:6,10,18	- ·
133:3 authority 9:2,10 9:19 10:6,10,18	
133:3 authority 9:2,10 9:19 10:6,10,18	audio 70:18 71:2
9:19 10:6,10,18	
	authority 9:2,10
10:20 11:4 33:10	
	10:20 11:4 33:10

80:11
authorized 71:5
72:1 87:5
automatically
32:13
availability 87:13
available 46:13
49:5,14 81:11
82:2,11 87:19,21
90:11,19 92:16
average 49:7,15
averaging 49:10
aware 23:1 51:9
b
b 65:16 71:7 92:5
92:8
back 8:3,9 12:1,6
13:4 17:1,9 18:9
18:13 19:21 20:4
21:3 22:9,11
24:19,19 25:3,11
25:14 26:20 28:18
30:16,21 37:2
39:11 40:10 41:2
42:7 44:16 61:9
66:17 69:1,16
70:19,19 71:1
79:8 81:3 82:6,16
86:20 95:11 102:3
102:11,16 110:1
117:14 122:13,16 125:7
background 63:11 bad 99:21
ball 99:20
baltimore 5:9
133:2
based 14:14 17:20
49:15 69:13 74:20
basically 13:5,11
13:16,20 14:10
15:5 16:21 17:19
19:13 20:17 23:3
23:17 25:11,20

26:20 27:1,18
30:7,10 37:9,21
37:21 38:2 45:16
51:8 93:18 94:7
108:13,17
basis 83:15 84:3,4
89:4
bays 127:20
bed 126:10
began 18:17,19 89:19
beginning 77:5
78:21 79:3 97:1
125:8
behalf 96:21 105:2
belabor 109:21
belief 82:5
believe 15:7 19:2
23:10 42:18 56:10
56:14 58:16 59:12
62:12 66:12 74:9
80:10 83:8 85:3
92:1 94:4 99:9
122:2 126:13,15
127:3
beneficial 127:7
benefit 111:3
125:13 127:17
benefits 63:4
best 21:4 44:10,14
60:15 62:1 98:7
99:4 101:12
107:12 108:13
109:9 124:19
127:18,18 130:20
better 4:11 55:15
76:5
,
beyond 63:4 97:20
99:19 124:7,8
bid 48:4 53:1
bidding 52:21
big 62:1 108:3,3
125:12

[bigger - clarke]

107.17		74514167600	1 1 20 10 12
bigger 107:17	c	74:5,14,16 76:20	changed 28:10,12
biggest 49:20	call 17:10,11	78:5,7,20 79:4,6	65:3 66:5 71:9
biolac 50:7 71:6	20:19 30:8 37:9	79:15 85:20 86:5	73:5 77:10
71:17	115:14	86:14 88:10	changes 32:14
biological 71:7	callahan 1:14 2:3	113:19 114:8	68:6 71:18 76:18
82:21	11:10 12:12,14,18	115:11	77:14 78:7 100:14
biomass 51:7,8	15:13,18,21 23:21	care 48:14 56:6	chapter 6:4
bit 15:21 16:1	39:15,17 40:7	61:1,1 123:6	charges 83:21
26:7,18 53:9 64:8	43:7,9,11,15 44:4	carefully 104:11	chase 61:9,11
75:20 95:16 109:2	44:6,9 45:2,21	carolina 128:6	chief 17:6
127:11	46:4,10,17,20	caroline 127:5	chip 105:7
bnr 69:21 70:4,21	47:6,9,15 48:8,16	case 10:9 26:15	chuck 1:14 102:16
71:6 82:21	48:20 49:19 52:7	65:19 121:14	circle 55:18,20
board 7:3 8:1,10	53:6,14,19 54:5,7	cases 76:9	56:2 79:17
94:14 104:13	54:15,16,17,20	catch 113:14	circular 20:18
105:1 119:12	56:7 58:2,14 59:7	124:15	circumstance
126:2	61:3,12 62:15	categories 24:5	121:11
boat 100:7	63:5,8,13,14 64:2	category 38:16,17	circumstances
body 9:13	64:6,12 66:19	38:19	94:5
books 20:12	70:17 74:21 75:19	caveat 99:2	cited 10:10
borrowed 79:21	76:17 86:20 88:14	cc 106:14	citizens 20:5 52:10
86:10	94:16 101:18	center 90:2,3	98:6
boss 131:6	102:1,5,13,18	certain 6:7 7:15	city 5:9 133:2
bottom 23:15	102:1,5,15,16	105:1 119:21	clarification 37:19
boundary 65:2,7,9	103.10,13,19	certainly 100:18	clarified 109:16
briefly 4:20	104.3 103.4 107.1	100:21 104:14	clarify 34:7 45:1
110:10 113:2	110:4,11 113:5,16	certainty 57:20	126:8
bring 2:8 3:7 21:4	122:10 123:3	certification 8:18	clarifying 46:4
25:3	122:10 123:3	certified 8:4	clarity 36:18
bringing 13:12		certify 7:7 41:11	clarke 12:15,17,20
58:3	· ·	95:14 133:3,5,8	15:15,19 16:3
brings 25:14		133:10	24:11,18 26:20
broadband 14:6		chain 100:18	28:15 29:4,11,17
brought 56:10		chair 105:6	30:3,6 31:7,15
57:8 105:19		chairs 105:5	33:5,7,9 36:1,19
126:21		challenges 54:21	36:21 39:16,20,21
build 75:17 92:18		68:6 70:13	43:20 44:5,8,19
101:15		change 29:15 31:3	45:3 46:1 53:12
building 14:11		31:5 38:10,19	53:15 54:6,14
77:7	·	71:15 82:14 96:15	56:10,17,21 67:3
bulk 67:8	· · ·	99:3 100:15 118:8	68:2 78:21 80:20
bullets 64:21		120:13 121:9,10	103:18 108:8
business 65:15		121:16	110:9 117:11
94:2	_		119:11 121:4
brings 25:14 broadband 14:6 brought 56:10 57:8 105:19 126:21 build 75:17 92:18 101:15 building 14:11 77:7 bulk 67:8 bullets 64:21 business 65:15	128:17 131:5,10 131:14 called 68:10 69:13 79:10 calls 73:8,11,14 74:2 canceled 42:10 capacity 49:5 68:20 70:10 75:6 83:18,21 84:1 87:13,16,21 88:4 88:7 89:4,9,15 90:17 99:12 capital 13:15 48:12 72:11 73:13	95:14 133:3,5,8 133:10 chain 100:18 chair 105:6 chairs 105:5 challenges 54:21 68:6 70:13 change 29:15 31:3 31:5 38:10,19 71:15 82:14 96:15 99:3 100:15 118:8 120:13 121:9,10	15:15,19 16:3 24:11,18 26:20 28:15 29:4,11,17 30:3,6 31:7,15 33:5,7,9 36:1,19 36:21 39:16,20,21 43:20 44:5,8,19 45:3 46:1 53:12 53:15 54:6,14 56:10,17,21 67:3 68:2 78:21 80:20 103:18 108:8 110:9 117:11

[clarke - constrained]

124:1	108:7,9 109:3,15	comp 14:19 16:11	conclusion 112:17
classification 24:8	129:13	16:16 18:15 21:18	concrete 70:9
25:8 33:13 45:18	coming 29:14	21:20 22:9 29:20	conditions 2:14
classifications	32:20 55:16	31:8,20 33:14	conduct 51:15
33:17 118:9	125:10 126:6	37:7 46:2	conducted 87:15
classified 24:6	129:14	complaints 57:8	conference 17:10
25:2 28:17 32:5	commend 52:8	127:7	17:11
36:14 37:4	53:18 106:1	complete 78:13	confirm 52:2
classifies 33:14	commended 63:6	85:1,13 96:10	126:7
classify 35:4,4	comment 96:11	completed 65:4	confirmed 87:20
65:13	comments 66:18	68:20 74:11 76:16	conflict 37:16
classifying 21:7	83:7 91:4 98:13	79:18 92:12 99:10	conforms 6:1
35:2	101:3 103:1 104:9	114:14	confusion 30:10
cleanest 127:20	104:21 108:6	completely 21:12	congratulations
cleanup 66:17	110:2 122:8	34:10 100:9	62:21
clear 9:20 65:19	commission 7:3,6	completion 74:12	connect 75:11
65:21 80:8 99:3	7:12,21 8:4,9,17	compliance 50:1	89:6 92:5 96:8,8
111:3,8,15 112:8	10:2 40:14,16	complicated 3:16	connected 83:4
114:7 115:5 116:5	41:3,11 42:8,8,16	complicit 123:19	90:14 95:7
clearly 24:8 25:4	46:15 66:4 95:14	component 76:13	connecting 69:5
99:16 118:12	105:7,11,21	components 67:18	connection 83:10
clerical 121:18	106:16 126:1	comprehensive	83:21 84:14 85:11
122:1	130:2 133:20	3:13 5:1,1,10 7:8	87:6,9,11 89:17
clifton 21:11	commissioners	7:10,15 8:6 9:3	118:14
25:20	16:5	10:4,7 13:9 18:6	conservation 67:9
closed 119:6	commit 97:14	23:2 26:8 28:11	conservative 49:8
closer 49:10	98:17 99:7 100:20	31:2 37:4 40:18	53:2 89:14
closing 131:12	101:12	41:5,10,12,18,20	consider 4:12
code 6:4 41:7,8	commitment 99:3	42:6,21 43:6	40:19
cohoon 20:1	committed 88:17	95:15 105:17	considerable 58:9
coincidence 76:21	97:11	106:5 111:5 112:7	considered 17:12
cold 59:2	committing 97:1	computer 133:7	consistency 40:17
coliform 50:14	communicate	conceding 80:13	41:3,4,12,15
collected 91:4	39:14 61:15,21	conceptual 69:14	95:21
collection 77:15	107:17	77:3	consistent 7:8 8:5
79:17 84:7	communicated	concern 29:7	10:4 19:11 42:20
color 116:16	18:13 118:16	concerned 2:21,21	85:21 95:14 97:9
comar 37:6,9	communication	3:1 45:11 106:9	111:21 112:5
come 8:2 55:14	15:6 19:21 27:14	concerns 2:17	119:17 120:14
66:17 81:3 95:11	61:7 126:5	3:21 27:20 104:16	consistently 81:6
110:1	community 4:16	105:18	consists 90:1
comes 6:20 62:14	112:4 129:17	conclude 122:8	constantly 53:20
comfortable 4:4	130:19	concluded 132:1	constrained 99:13
4:15,16 95:4			

[construct - date]

construct 6:15	contractually 95.5	12.15 15.10 10	127.1 5 10 120.2
88:19 90:16 93:2	contractually 85:5	12:15 15:10,19	127:1,5,10 128:2
	contrary 7:14 93:1	16:4 22:2,20 23:4 33:4 40:19 41:9	130:14 133:2,3
94:8 97:7 115:18			county's 7:8 9:15
constructed 71:18	control 91:12 99:5	43:15,15 48:21	10:13 34:2 81:15
71:19 74:20 75:9	99:6,6,20 110:19	56:8,12,12,13	85:21 105:16
84:16 85:7 90:4	controlled 10:15	57:13 59:8 61:14	117:13 121:2
90:11 99:14	controls 65:20	62:19 66:4 79:18	couple 18:16 26:9
115:15	conversation	80:11 106:13,16	32:4 47:4 60:13
constructing	17:18 36:12	109:9 110:17	60:18 83:11 94:13
97:15	conversations	111:2 112:14	96:6 117:4,11
construction	13:6 94:15	117:17,18,21	128:21
38:18,20 39:1	convert 6:12	118:4,11 119:10	couplet 69:5 73:2
48:1,5 53:2,3 69:7	20:18	122:11 125:15	course 26:16 41:1
70:5,8,9,11 71:5	converted 25:17	129:2 130:20,20	courtesy 103:20
71:21 72:14,17,20	cooperate 120:20	131:15 133:3	courts 85:4 111:20
73:9,14 76:14	cooperation	council's 64:10	112:15
77:10 84:3,5,13	106:20	79:14 96:12	cover 123:20
86:11,16 89:19,21	copies 119:10	101:10 120:3,12	covered 40:9 47:7
91:1,7,13,16,17	corey 1:17 43:16	counsel 133:4,9,10	120:8
92:2,10 93:4,7,7	102:17,18,19	133:11	covid 42:11 91:20
97:1,17 99:6,15	103:16,21 104:1	county 1:1,1 2:20	crafted 22:19
101:13 103:4,9	104:10	4:20 5:9,14,20 6:8	crafting 19:6
114:12	correct 15:15	6:17,21 9:3,13,16	crash 102:4
construe 112:21	24:18 30:3,6	10:17 11:7 13:7	create 116:7
consultations	31:15,15 39:5	14:8 15:10,19	created 89:17
30:19	56:17 57:15,16	16:3 20:5 28:20	creating 90:17
consulting 33:7	67:5 123:18	29:3,14 32:9	creation 68:9
contained 72:10	126:13	33:14,19 34:1,9	69:12
133:9	corrected 51:19	37:3,7 38:4,7,8	creek 106:9
contemplated	121:7,13	54:3 56:11,13,16	crisis 37:14
67:20 68:9,16	correcting 24:3	57:13,15 60:4	crucial 2:6
69:12 72:16 73:21	correction 120:18	65:9 66:4 68:4,13	crystal 92:19
78:3	corrections	69:14 79:1,4	current 8:7 73:10
contemplates 77:8	108:17	80:10 81:6 83:12	88:7
context 110:20	corrective 51:13	86:1 87:7 98:5	currently 48:15
115:5 119:20	cost 48:13 74:8	106:13,16,17	curry 106:14
continue 57:12	100:19	107:11 110:17	d
99:15 100:11	councell 105:8	112:6 117:5,17,17	d 106:14
125:20 126:19,20	107:1,3,4 109:8	117:21 118:4,11	d 100:14 daily 49:7,15
127:4	109:17,18	119:2,5,8,9,10,12	51:16
continued 42:17	council 1:1,4,13	119:16 120:3,15	
continues 98:12	3:1,9 4:11,14 5:7	121:5,8,12,15,20	dalmasy 17:5
contract 51:11	7:1,11 8:3,6,11,13	122:3 123:12	data 20:13
53:1 83:16 87:1	8:16 11:7,10,12	125:15 126:14,18	date 72:13,19
	, -,	, , ,	73:17 77:20 81:19

[date - doing] Page 7

82:8 123:8 124:15	department 8:20	developer 3:21	discussed 66:3
dates 76:6,9	20:8 27:17	13:5 16:7 18:12	78:21 96:14,15
day 4:6 14:21 49:6	depict 115:1	18:17,21 19:6,10	discussion 65:17
49:8,9,11,13	depicted 65:15	19:17 22:16 23:11	72:5 78:16 110:7
50:21 59:19 82:18	depicts 116:9,12	37:13 38:11 45:13	123:8 125:9,10
89:2,13 106:3	depth 26:18	83:16 84:2 85:6	discussions 27:12
133:13	describe 67:19	86:21 87:8 88:2,6	27:16 28:16,19
days 53:12 109:11	69:3 118:6	97:1 120:21 128:5	66:16 97:9
130:2	described 69:21	developer's 96:13	disposal 82:20
deal 108:3,3	70:21 89:1 95:19	developing 22:14	91:7
dealing 58:7	95:20 113:21	development 7:18	disregarded
debate 113:4	121:16	7:19 13:21 14:1,4	118:21
debates 118:14	description 67:17	16:8 18:19 23:12	distribution 69:6
debating 34:3,6	descriptive 24:21	23:16 29:13 37:5	72:14
34:16	design 48:1 52:19	41:21 42:1 45:4	district 68:10
debt 88:9	52:21 80:1 86:10	47:17 68:12 87:18	69:13 71:10 72:9
december 14:21	designated 18:5	devolve 36:17	73:3,8,19 74:1
decide 4:14	25:7 66:6 67:1	diane 1:21 133:2	77:20,21 120:10
105:14	81:14 117:2	133:16	120:11
decision 30:7 34:4	designation 18:10	die 8:14	districts 116:8,9
52:9 59:17,18	45:8 66:7 67:6	different 10:11	116:13,15
60:2 105:14,20	80:21 81:2,17	60:5 64:21 86:8	diverted 92:13
126:3	82:6 116:19 117:6	92:20 100:9	divide 127:11
decisions 61:6	117:12 118:17	107:14 121:11	divilio 1:16 34:3,6
deems 9:7	120:14	126:2	34:9,13 35:7,10
default 100:1	designations	differently 119:14	35:13,19 36:5,7
defer 93:3	26:13 65:12 67:15	digest 122:21	56:9,9,17,20 57:7
deferred 73:17	80:19 81:13	dinorah 17:5,9	57:17 58:2 94:17
defined 112:2	117:20	direction 63:19	103:12,13 124:21
116:15	designed 84:6	92:13 126:14	125:1,7 128:17
defines 25:16	desire 79:9	directly 30:1	129:16
defining 25:16	desires 34:2	119:9	dmrs 53:16
116:16	detail 76:4	disapprove 9:4	document 96:17
definitely 56:19	details 68:18,21	discerned 122:1	98:11,19 111:11
definition 14:10	69:8 72:8	discharge 37:13	111:12 112:14,16
37:6,8 38:16	determination	38:14 49:21 50:12	112:18 114:9
definitions 14:15	41:15	50:18 51:19 69:19	documented 59:13
17:14	determine 7:13	91:5,6,8,9 94:9	documents 27:13
delays 57:19	55:15 87:16 89:9	98:1 101:13	114:15
delmarva 13:19	determined 77:4	discretionary	doing 4:5 13:10
demands 75:13	determines 52:17	10:13	21:4,10 22:14
denial 118:5	developable 66:21	discuss 19:4 86:4	35:16 44:12 53:8
densities 7:20 42:3	developed 20:1,8	130:3	53:17 54:10 63:7
	105:20		97:12 108:4

[dollars - face] Page 8

dollars 74:19	efforts 100:10,16	environment 4:6,7	existing 2:15 5:16
79:21 80:1 100:13	121:3	7:6 8:20 9:1 60:16	6:13,16 27:21
downgraded	eight 73:7 122:15	environmental 6:3	48:8,9,11 49:4,21
66:14	eighteen 94:9	41:6 104:16	52:10 60:7 72:3
dr 119:8	eighty 90:10	envisioned 68:13	78:9 79:12 85:9
drafted 22:19	either 6:9 30:11	equipment 84:19	88:4 108:12 114:6
drafting 65:18	66:6,14 114:19	equivalent 83:18	116:4,11,17 120:6
driven 92:19	electric 84:20	error 26:3,4 59:4	120:7,9
drra 95:2,11	element 95:18	59:5 122:4	expand 114:1
96:10,19	elementary 20:10	errors 24:4,5	expanded 73:12
due 37:14 42:10	127:8	65:18,18 120:19	expansion 112:4
dwelling 83:18	elements 95:20,21	122:1 123:15	112:11
dying 51:8 61:10	eligibility 52:17	essentially 9:18	expect 47:21
e	eliminates 86:2	26:14	expenses 79:20
e 1:18 13:4,11	employee 133:11	established 91:8	experienced 51:2
14:5,11 15:7	enable 128:9	evaluation 87:16	expires 133:20
16:10,13,19,21	ended 19:2 21:11	evening 123:10	explain 3:13 4:2
17:2,4,9 18:9 30:8	engaged 87:8	131:21	15:2 19:9 26:18
37:1,20 39:10	engineer 11:8 34:9	events 1:10	55:18
44:1,2,15,20	52:19 81:6	everybody 2:4,19	explaining 14:11
131:17,18	engineering 47:19	3:5 5:4 30:10	15:9 16:6,11 40:1
· ·	52:16 68:19	40:11 52:11 87:2	explains 116:1
eager 104:14	engineers 84:11	107:20 108:4	expressed 2:17
early 90:4 earth 20:18	87:15	113:12 123:9	80:9 119:7
earti 20:18 east 13:21 65:14	enhanced 71:11	125:9 126:6 128:1	extend 84:20
	83:2 108:10	129:6,9,11,13,13	115:2
68:10,11,17 69:13 69:19 70:3 71:10	enormous 63:1	129:14 130:7,9	extended 5:20
	enr 47:19 48:11	131:8,18,20	73:9 74:1
72:9,15 73:3,6,8	50:9 53:4 59:12	everyone's 125:11	extension 68:16
77:3 115:14	59:15 70:4 71:11	exactly 93:6	73:5,17 74:2
116:13 117:20	78:12 88:18 92:8	examination	76:10 77:21 78:2
119:1 120:11	101:6 103:4	133:5	112:4
eastern 107:13	108:10	example 121:4	extensions 77:14
easton 21:12,16	ensure 104:15,18	exception 17:18	77:17
27:10 121:5 124:3	106:10	excess 51:4,6	extensively 58:7
127:3,4,8	entered 83:16	79:21 83:20	extent 58:12 121:1
easy 116:3	133:9	excited 52:11	extreme 59:2
economic 106:6	entire 50:21 56:16	exciting 53:7	extremely 98:19
edu 49:9	81:20 82:7,11	exclude 67:12	eye 107:7
edus 49:12 83:18	115:17	exclusions 121:19	eyes 3:7 21:2
89:5,11	entirely 120:14	executed 88:13	f
effect 66:13 83:9	entirety 2:13	exhibits 65:15	
85:8 111:9	entitled 119:4	exist 43:5 75:10	f 1:14
efficiently 121:14		80:4	face 60:3 111:8

[facilities - going]

facilities 114:3,6	120:8	following 94:10	37:10 38:8,11
126:17,18	figures 26:10,19	force 84:14,16	77:17 89:10 91:12
facility 73:2	114:19	85:10 92:4	115:8 116:17
fact 14:17 89:10	final 8:21 9:2,10	forest 67:8,13	g
118:5,6	10:19 11:4 12:11	forests 67:8	
factor 41:17	30:18 37:5,9	form 7:1 8:7	gallon 49:6 69:17
factors 41:13,16	74:12 97:16	formally 87:4	73:15 77:2,9
42:6 43:4,5 106:6	finally 9:7 116:21	formerly 70:3	82:18
facts 3:6 64:18	financed 85:12	forth 7:16 19:21	gallons 49:7,9,10 49:13 70:10 72:2
failing 50:4,8	financial 37:14	44:16 59:14 94:6	
126:18	72:8	forward 14:3 15:4	89:2,12
fairly 59:12	find 3:2 38:1 60:9	16:7 19:19 22:2	game 22:12
faith 99:8,21	97:13 112:16	27:11 28:7 39:2	general 68:15 96:11
fall 92:12	finding 10:3 40:17	57:13,18 60:2	
fallen 94:19	findings 8:1 118:5	61:2,21 63:1	generally 3:14
families 90:21	118:6	77:13 106:3,12,17	generate 89:8,12 90:8
fan 128:7	fine 18:11 66:19	106:19 109:19	gentleman 107:10
far 13:15 59:11	93:16 94:18 100:6	126:12 127:13	getting 49:3 51:17
63:4	finish 107:9	128:2,15 130:1	57:10
fashion 71:20	first 6:21 7:7 34:5	forwarded 18:20	gis 20:10,17,17
fear 126:9	47:16 52:7,13,14	20:2	give 4:2 94:8
feasibility 60:15	52:20 56:12 64:3	found 17:2 105:13	103:16,19
feasible 60:10	64:17 65:1 69:5	four 14:19 48:13	given 8:18 24:7
february 1:7 14:4	75:8 80:18 83:4	129:12	111:9
15:4 40:15 50:16	85:11 88:17 89:21	frame 53:3 77:10	gives 112:17
51:1,5,9 58:19	91:15 96:12 97:15	77:18 91:16 92:2	giving 22:21
78:19 133:13	110:12	99:4	glad 61:6 104:6
fecal 50:13	five 10:14 14:14	francis 17:5	go 8:19 16:1 22:8
feel 4:4,15 39:7	32:12 81:18 82:3	frank 1:16 43:16	23:21 24:16 30:16
62:14 95:3 107:14	82:7,9,10 113:21	56:9	31:19 32:17 40:10
108:7,9 109:2	129:12	frankly 101:10	43:19 47:3 53:11
feeling 129:15	fix 24:10 121:21	free 62:14	53:14 70:17,21
feels 106:18	130:17	full 123:8	82:16 92:8 98:13
fees 88:11	fixing 56:3	fully 88:13	100:11 102:11
felt 129:10	flat 20:19 flow 49:7 72:2	fund 52:19	110:4 122:11,16
fiberglass 56:2		funding 21:17	126:14 127:11
fields 67:7,13	89:2,3,8,13 90:8 92:7,13 96:18	52:18 85:18 86:4 funds 58:9	131:19
fifths 23:12,16 67:4	flows 49:15	further 7:14 11:15	goes 20:20 49:21
figure 26:11,14	focus 58:1 72:5	62:13 73:9 79:9	79:6 114:7,16
28:10 80:21 98:14	83:7	109:5 133:5,8,10	116:6
115:6,16 116:2,3	follow 38:2 75:20	future 16:18 18:1	going 3:18 4:17
116:8,10,12,12	107:2	18:3 19:13 26:15	11:10 19:17 21:19
118:20 119:4	107.2	27:18 28:1 31:17	22:9 23:9 24:18
110.20 117.4		21.10 20.1 31.11	24:19 26:20 31:19

[going - important]

44:11,16 45:17	130:8	hearing 3:7,17 8:3	howe 78:2 120:3,6
47:10 52:12 57:13		23:5,6,10 43:10	human 124:4,10
63:15 77:16 85:8	h	60:21 66:16 96:12	130:16
90:8,9 92:18	half 48:14 66:20	96:16 118:20	
95:17 98:12,16	80:1 81:14 82:2		hypothetical 115:8
	hand 11:11 46:12	hearings 66:4	
100:4 101:5,9	133:13	heated 129:1	hypothetically
109:1 113:11	handled 104:18	hello 93:11	80:13
115:18 116:7	handling 75:1,2	help 2:19 3:2,5,10	i
126:11 130:21	hands 62:2	3:15 4:3 5:3,4	i&i 54:21 55:5,8
131:17	happen 23:9 30:4	36:19,21 54:9	55:12,15 56:11,15
good 39:21 43:17	39:4 52:12 75:21	73:15 88:9 108:21	56:18 57:9 58:9
45:2 48:16 53:17	76:8 94:5 98:6,12	113:3 129:6	58:16 79:7,10
54:14 56:7 62:15	99:17 101:2,5,12	helpful 5:6 62:6	80:3 86:12
94:2,4 99:8	124:3,3 126:5	98:20	identified 18:1
101:18,20 106:2	happened 13:2	helping 62:16	22:1 26:2 28:7,9
125:6 131:2,3,7	21:9 35:9 41:1	helps 4:13 44:21	66:9,9,15 78:20
gosh 100:11	58:19 78:4 121:17	hey 44:4 103:15	79:8
gotten 3:15 10:8	happening 22:18	130:13	identifies 66:1
governing 9:13	23:1 64:8	higher 71:12	114:13 115:9
government 10:16	happens 8:12	highlight 20:3	identify 25:4,12
33:13 55:5	106:3	117:10	28:4 57:5 87:19
governments	happy 61:4 63:20	highlighted 16:13	115:7,13
37:16	64:9 98:21 100:20	31:18	identifying 122:4
grant 21:17 52:17	120:19 121:3	history 47:7 51:21	illogical 113:1
granularity 76:5	hard 97:20 131:16	117:14	115:16
gravity 79:12	harrison 55:17,20	hold 10:14 129:9	immediate 17:13
great 47:12,15	56:2 79:17	130:7	17:15 19:12 23:8
53:6 59:20 61:3,5	hatching 116:16	holdings 65:15	30:13 31:21 39:12
62:17 97:18 109:7	head 101:9	home 90:16,18	immediately
131:20	headed 63:19	homes 83:4,10	51:13,18
grocery 127:15	health 104:15,16	85:11 87:6 88:18	impact 64:5 80:6
ground 84:17,18	107:10	90:4,5,10,15	impacted 85:17
grow 127:4,5	healthy 4:7 51:8	92:15 96:8,9	impacting 58:12
growth 49:17	107:12,16	99:12 100:12	58:17
112:9 127:11	hear 4:13 46:19	105:16 128:5	implementation
guess 13:4,9 14:3	46:20 57:9 61:6	hook 6:13 87:1	7:18 41:19
15:10 17:5 22:13	61:12,13 63:17	hookup 105:15	implemented
23:18 24:5 43:2	93:12,15,16 102:5	hope 91:11,19	117:7 121:10
46:6 50:3 90:6	102:14,15 104:1	128:14	important 36:17
99:4	heard 2:7,17 3:3	hopefully 4:10,13	63:3 64:18 67:2
guys 19:10 60:3	4:1 15:10 17:1	123:13 129:14	85:16 89:7 104:5
61:4,5,15 62:6,8	42:13 47:10 63:14	houlihan 1:21	110:13 112:13
62:17 95:10	93:1 103:1 126:12	133:2,16	117:10 129:4
109:10 125:3	130:4		131:4

[impose - knowing]

impose 2:14	individual 112:20	internal 123:17	•
improperly 25:5	individuals	interpret 112:14	j
improve 55:12	125:13	112:15 119:14,21	janice 15:9 16:10
improvement	infill 49:16 87:18	interpretation	16:14,19 17:2
13:15 74:12,14	inform 4:11	81:4 111:20	37:2
78:5,20 79:2,5,6	information 3:10	112:17 117:13	january 16:9 37:1
79:16 84:21 86:5	4:10 13:13 36:10	119:7,17,18	50:17,17 88:3
113:19 114:8	44:7,14 69:14	120:15,16	job 39:21 52:3
115:12	105:13,19 109:13	interpretations	53:18 62:15,18
improvements	122:17,21 130:3	111:14,19	103:5 107:15
67:19 72:11 73:13	131:3,3	interpreted 111:6	129:10,11 130:9
74:17 76:20 78:8	infrastructure	111:7,12 118:12	130:10
85:4,20 88:10	69:15 72:16 76:5	124:5	join 105:9
115:14	77:6 112:8,11	interrogated	july 15:8,8,16 16:3
improving 58:9	initial 72:1 75:7	133:4	89:20
inadvertently	88:21 105:15	interrupted 64:10	jump 32:2
21:6 24:7,14	initially 84:7	introduced 6:21	june 13:19 14:17
25:21	initiate 6:17	22:3,20 41:2	14:21 42:14,15,16
inaudible 14:6	initiated 6:20 13:2	117:18	42:18
35:12 59:10	19:20 22:6	introduction 15:3	k
included 24:14	installed 5:20	inventory 114:6	keep 44:6 48:18
68:8,11 119:2	84:19	invest 100:13	48:20
includes 49:16	instant 6:14	involve 44:12	kept 42:13
67:7	intend 93:6	121:1	key 25:15 31:16
including 5:14,21	intended 29:9	involved 10:13	kind 13:1,16 18:8
38:10	66:5 79:2 80:3	125:14	19:20 20:9 22:12
inclusions 121:18	92:16 116:10	involvement 123:1	23:18 47:3,7
inconsistent	121:16	irrigation 67:7	94:18 98:15
105:16	intends 88:8	69:20 82:19 91:6	know 10:8,9 13:1
incorporated	intensities 7:20	issue 10:1 49:20	14:7 17:20 22:18
114:3,18 115:9	42:3	51:9 60:1 66:18	36:13,14,15 44:8
incorporates	intent 14:7 27:1,7	86:16 91:5,7	45:14 47:2 48:17
114:20	27:17 31:11 65:20	101:13 120:5,18	49:1 52:5,12
incorporation	93:2 94:1 95:6	issued 37:12 38:13	59:21 60:3,12
38:11	97:14 122:1	74:18 83:3 84:13	61:9,12 64:15
incurred 79:20	intention 31:12	92:10 93:8 98:15	65:17 72:20 91:10
indefinitely 93:5	intentional 130:18	issues 51:19 54:6	92:21 97:21 100:6
indicated 57:1	intentions 94:4	55:5,8,16 68:5	100:14 102:7,9
67:3 68:2 79:3	98:7	71:3 80:4 91:20	103:7 105:11,21
80:20 85:20 96:20	interest 99:9,17	98:1 100:18 103:3	106:17 107:14
indicates 81:17	101:11 109:9	103:5 125:1	128:21 130:6,11
118:2	121:13	item 23:18	130:13
indicative 120:4	interested 133:12	items 7:15	knowing 125:13
			128:4

[knows - management]

knows 23:5 94:2	learned 51:12	linked 20:13	looks 5:17,18 31:7
l	59:3	listen 3:10 47:13	looped 75:5
lab 51:11,11	learning 14:2	102:11 123:7	lost 34:10 70:20
lack 8:14	leases 127:14	124:15	122:15
lagoon 51:4	leave 105:4 113:11	listened 94:11	lot 2:20 10:9 20:16
lagoons 48:15	130:21	listening 4:17	20:19 22:13 32:19
lake 67:9,11,13	lee 106:14	104:8,11,13	36:10 40:9 44:7
lakeside 1:5 2:10	left 21:12,13,14	liter 50:10	44:15 50:2 57:5
2:15 13:21 16:8	legal 66:12 80:11	little 13:17 15:21	60:14 63:15 68:21
24:13,14 26:19	83:9 85:8	16:1 26:7,18 35:1	90:17 95:3 107:8
49:12 62:3 64:8	legally 98:4	53:9 64:7 74:19	109:5,13 126:9
65:3 66:21 67:21	legislate 32:11	75:20 79:19 90:8	128:3 130:11
70:3 71:10 72:15	legislation 28:13	95:16,17 107:14	131:2,3,10,14
73:19 75:7 76:2	legislative 14:20	109:2 111:3	lots 49:17 89:16
76:11 77:16 80:16	24:10 29:2,15	127:11 130:14	90:1,2,3,13 110:7
80:18 81:21 82:2	30:5 31:4 94:21	live 93:3	lower 23:12 24:7
82:15 83:19 84:8	legislature 65:20	loads 50:14	lyndsey 14:6
87:12 88:5,19	lesher 1:15 11:15	loan 52:17	46:14,17 47:1
89:21 91:1,14,17	12:12,13 24:1,2	local 10:15 33:13	49:1 52:13 53:16
92:6,8,12 96:9	24:12 26:6 28:9	37:16	54:15 57:1,10
97:15 108:12	29:2,8,12 30:1,4	long 29:5 30:18	58:15 59:7 62:9
117:15	31:1,12 34:11	31:9 32:6,7 33:2	107:19 113:13
land 7:16,19 18:3	39:16,17 43:8,9	59:21 61:16 100:3	130:5
41:7 42:2,3 67:3,5	43:13 54:16,17,18	100:10,16 119:5	m
117:1	54:21 58:5,6 59:6	120:15	ma'am 93:10
language 68:8	62:21 63:6,8,9	longer 91:21 95:8	mail 13:4,11 14:5
82:16 97:19,20	88:14,15 102:1,3	longest 34:19	14:11 15:7 16:10
98:10,18	102:9 110:8	look 12:7 18:14	16:13,19,21 17:2
lapse 97:5	122:13,14 123:3,7	23:11 29:10 39:2	17:4 18:9 37:1,20
large 38:4,5,8	letter 106:14	67:3,12 89:8	44:1,2,20 131:18
67:13 70:9 127:9	107:4 119:8	106:2,4,12,19	mailed 17:9
largely 83:6	letting 14:6 22:18	109:18 111:16,20	mails 30:8 39:10
larger 49:14	level 69:21 70:21	112:12,19 115:4	44:15 131:17
late 91:4	71:6,12 101:6	115:11,16 117:14	main 84:14,16
latest 47:17 50:7	levels 50:11	119:20 123:9	85:10 92:4
laura 1:18 32:2	lies 9:12	126:12 127:13	maintain 73:15
35:10,10,15 43:16	light 12:18	looked 29:5,5 32:5	75:15
law 5:10 22:17	limits 50:12 91:8	33:2 39:9	maintaining
65:19 133:4	line 28:14 56:3	looking 6:12 13:8	127:21
lawsuits 98:2	95:17 107:9	14:2 21:17,18	making 52:9 61:6
lead 102:10	116:15 126:16	31:8 51:21 57:3	124:10 129:9
leaking 56:4	lines 79:12	92:11 105:12	management 72:8
lean 109:2	lining 56:2 79:17	108:10 112:20	112:9
		130:1	

[mandatory - moving]

mandatory 96:18	max 50:14	measures 55:8	mislead 123:19
manner 112:5	mde 9:2,8,9,14	56:6 114:1	misrepresentatio
133:7	10:5,19 11:4	mechanical 84:18	99:21
map 13:20 16:18	12:10 15:6,7	meet 95:18 109:10	missed 44:1 102:7
25:6 26:12,14	16:15 17:11 21:21	meeting 3:5 13:19	124:16
108:17 112:20	22:3,17,21 27:16	15:11 16:4,5 19:3	missing 122:16
113:1 115:9 116:4	28:15,17,20,21	19:3 34:14 35:21	mistake 22:1
116:20 118:1,7	30:8,18,19 31:7	42:10,12,15,16,17	124:2,9,11 130:14
119:21	32:4 33:1,7,16,21	50:7,10 53:4	mistaken 28:19
mapped 25:5,21	35:2,7,15 36:13	113:10 129:1	mistakes 21:6
111:17 119:3	37:2,12,17,19	131:7	22:6 123:15,16,17
mapping 24:3,8	38:6,13 39:14	meetings 18:16	123:20 124:4,5
26:3,3,11 65:18	45:10,16 47:20,20	59:13	130:17
66:18 110:8	52:16 74:18 78:14	members 1:13	model 90:2,3,6,16
120:18,19 123:15	80:20 81:5 83:13	8:13 46:15 100:21	90:18
123:17,20 124:2	83:13 84:12,12	104:12 123:11	models 90:20
maps 13:13 18:20	85:4,6,13 86:3,16	memorialize	modify 9:5
18:20 19:4 20:2,2	86:21 87:4 91:3,5	97:13	modular 71:19
20:11,14,19 21:5	92:10 98:1,12	mentioned 69:11	moment 95:12
27:3,6 110:18,18	101:13 103:3,5	73:3 74:9 83:6	money 86:10 88:9
111:16 114:18,19	106:15 107:3	message 20:4	mongering 126:9
114:21 115:1,1	108:16 112:14	met 94:17	monitoring 51:14
116:2 121:8,17	118:16 119:1,7,9	method 70:7	51:15 127:18
122:3	120:15 122:4	michael's 58:8	month 74:11
march 15:1 42:9	mde's 9:19 10:6	middle 100:8	91:21 99:4,19
51:10,13 92:11	17:18 81:21 85:17	miguel 40:2,4 43:7	months 2:8,18 4:1
mark 20:1	91:10,12	43:12 95:20	58:20 72:6 78:17
market 92:19	mean 10:12,21	107:20 108:5	91:18 92:1 94:9
99:10 100:14,19	13:3 21:13 22:13	109:1	94:13 99:12
marsh 73:18,21	27:14 32:8 33:1	milligrams 50:10	105:12 129:12
77:19,21 116:12	36:1 52:14 53:7	million 48:13,14	morris 46:14
120:10	53:21 54:2,4 55:3	74:8,19 79:21	131:5,6,7
maryland 1:1 5:9	60:1,3,8 61:10	80:1	motion 40:16
6:5 8:20 20:7,8,21	80:15 89:11 93:11	millions 100:13	42:19
27:17 41:7 65:19	97:5 100:9 104:20	mind 44:6 50:19	move 14:3 15:4
133:1,2	107:7,17 114:10	85:10 88:12	28:7 40:2 59:8
matching 21:2	meaning 111:8	104:17	61:21 103:5 105:5
material 2:7	112:18	minds 81:21	105:6 106:16
matter 6:14 44:13	means 3:8,8,16	minor 26:3	128:2,14 130:19
45:7,18 81:9	11:1 41:5 50:8	minute 81:4	moved 17:3 19:19
123:7 133:7	80:7 114:11 133:7	100:15	22:2 29:18 42:12
matters 108:21	measurement	minutes 113:10	moving 13:16 16:7
110:14	82:8	122:15	27:10 60:1 61:2

[multiple - participated]

multiple 50:5	71:20 74:5,6,20	occur 38:19 39:4	opinion 9:16
97:11 111:13,18	76:13,14 81:13	73:7 74:3 75:13	11:17 44:12
municipal 10:14	88:18 92:6 105:13	75:17 123:21	opponents 118:15
65:2	116:7,8 121:21	occurred 73:10	opportunity 3:12
municipalities	122:3 127:12	78:17,19 117:3	3:20 4:2 105:9
5:15 27:12 68:4	nice 19:10	occurs 91:11	106:21
114:4	nicolai 17:5	ocean 100:9	opposed 10:18
municipality 4:8	night 15:11 59:18	october 38:6 91:4	opposite 93:6
5:21 6:15 55:4	nitrogen 50:11,13	odd 35:1	option 8:8 60:16
115:3	50:15 51:3	oden 15:9 16:10	options 59:15 60:5
mute 43:20 53:11	non 97:16	16:19 37:2	60:9,19
n	normal 122:5	office 18:19 20:1	order 29:15 99:15
	notably 72:11	21:6 22:6,17	orderly 112:3,10
name 125:15	74:4 79:20	oh 43:11 59:20	original 40:12
named 133:3	notary 133:2,17	98:6 100:11	outcome 133:12
narrative 67:17	note 108:15	okay 11:13 12:12	outdated 50:3,6
69:10	114:17 120:17	12:14 15:18 30:20	outline 114:1
near 91:11	noted 69:19	36:5 39:18,20	outlined 11:3
nearly 65:8	notes 18:2 36:11	43:15,16 46:10,21	overdue 61:16
necessarily 12:5	38:2 114:5 116:21	48:16 49:18 53:6	overview 11:5
89:11	133:6	54:12,15 56:20	owned 65:14
necessary 11:20	notice 119:16	64:6 86:20 93:11	owner 6:12
63:3 120:21 121:9	notion 96:7	93:17 100:6	oxidation 48:12
need 4:2 14:8	notorial 133:13	101:19 102:18	p
16:11 18:14 29:14	november 19:4	103:15 104:2	_
29:19 34:4 38:21	105:14	109:6 124:16	p.m. 1:7 132:1
39:11,12 45:14	nuance 89:7	131:6	pack 1:17 102:16
46:2 56:19 61:1	number 25:6	omitted 110:6	102:21 123:4,5
75:10 79:9 80:9	40:13 42:20 49:8	121:6	124:17 130:15
99:15 102:10	49:14 64:20 89:14	once 7:21 10:5,21	package 11:21
106:9 124:7,8	nutrient 71:7,11	22:20 52:16 57:20	page 38:2 109:12
125:2 128:9	82:21 83:2 108:11	70:12 71:18 94:8	paid 84:1 88:11
129:20 needed 77:4	0	98:3 100:4 103:8	pair 75:8
needing 88:11	objective 101:3	ones 41:18	pairs 69:4 paper 20:12
94:20	obtained 74:15	online 102:16	parcel 20:11 25:6
needs 12:8 30:14	obviously 48:4	open 42:14 59:13	parcels 22:7 26:2
31:20 68:5 121:20	53:1 68:21 72:17	opener 107:7	28:13 66:1,3,5,13
nefarious 123:16	97:5 100:1 104:8	operating 52:3	part 9:5,15 11:20
124:6	occasionally 90:7	operation 53:3	24:13 26:4 31:16
negotiations 87:8	occupancy 90:11	114:2	37:1 51:3 54:10
never 11:1	90:19 92:16,18	operational 59:4	58:11 108:19
new 6:15 13:10	occupied 90:9,21	70:12 92:4	128:1
25:12 27:6,7	92:17	operator 53:19	participated
59:18 68:9 69:3	72.11	59:3	123:10
シラ・エひ ひひ・フ ひブ・ブ			143.10

[participation - possible]

participation	permitting 48:1	33:15 37:4,7	71:16,16,17,21
122:19	person 34:20 94:2	38:17 39:3,11	72:3 77:2,9 78:10
particular 6:11	94:2	40:18 41:6,11,12	78:12 82:16,19
67:21	personally 133:3	41:14,18,20 42:6	83:5 84:9 85:19
parties 2:9 128:19	perspective 67:6	42:21 43:6 45:12	86:3 87:17 88:1,5
133:11,11	108:8	46:3 57:4 65:5,6,9	88:18 91:2,14,15
party 6:10	pete 1:15	65:12 67:18 68:1	91:18 92:3,7,9,12
passage 81:7	phase 2:15 40:20	69:11 71:13 72:13	93:4 96:9 97:2,7
pastel 128:5	87:12 88:21 90:12	72:15 73:8,10,20	97:15 99:14
patrick 4:19 5:3	91:15 97:16	74:2 76:7 77:12	101:15 103:4
11:13 40:9 46:7	phased 70:5 71:21	81:3,16 82:9,17	108:13 115:19
95:19 131:12	phases 38:5,9 75:7	86:1 87:7 95:15	plants 52:10 60:4
patterns 7:19 42:1	phasing 13:20	105:17 106:5	players 125:14
pay 88:6,9	19:11 38:3 80:18	110:16 111:5,6,9	please 16:2 45:12
payments 83:20	phenomenal 130:8	111:16,17 112:1,2	49:1 53:11 63:18
pellicano 17:6	130:9	112:7,13 113:3,20	pleasure 64:11
37:21 45:10	phone 102:4 125:5	114:5,16 115:5,8	point 5:5 9:17
pending 4:12	phosphorus 50:9	118:12 119:3	19:15 23:4,20
people 2:20 3:15	50:13,14	120:16 127:17	27:7 29:9 30:20
20:16 95:3 107:8	pick 71:1	128:2	37:17 43:3 45:7
107:14 109:14	picked 102:21	planned 5:17 45:4	52:8 54:2 58:17
124:4,12 126:2	picture 125:4	45:9	74:7 78:2 102:10
performance 55:2	piece 96:2 104:5	planning 5:12 7:2	103:2 110:12
58:13,18	125:9	7:6,12,21 8:4,9,17	120:4,6 127:4
performed 88:13	piney 74:7	10:2 16:18 17:7	128:10,14
period 14:12	pipe 100:7	18:2,3 20:9 27:17	pointed 124:1
37:15 81:18	pipes 56:3	27:18 31:17 37:6	points 12:21
periods 15:3 97:5	place 56:14 57:11	37:10,11 40:14,15	109:21
permissible	92:20 133:4	41:3,11 42:8,8,16	policies 7:17 41:17
111:19 112:19	plain 111:8	66:3 76:6 95:13	42:5
permit 37:13,14	plan 3:13 5:2,11	105:7,11,21	policy 38:10
38:14 50:12,18	5:11 6:1,19 7:9,9	106:15 112:9	pond 58:21
51:17,20 83:2,3	7:10,14,15 8:6 9:3	119:5 126:1 128:3	portion 66:21
84:13 86:16 91:5	9:8,15,19 10:4,7	130:2	portions 68:17
91:6,7,9,13 92:10	10:21 11:3 12:4,5	plans 6:2 9:11	72:12
94:9 98:2,14,15	13:10,20 14:8,19	84:5,9,12 88:19	position 17:11,19
101:17 103:3,6,8	16:12,15,16 17:21	113:19,21	28:21 30:15 32:7
permits 38:13,21	18:6,7,15 21:19	plant 48:8,9,11	32:9 33:3 39:6
74:15,18 86:11	21:20 22:10,12	49:4,6,21 50:3,6,8	97:6 99:18
89:16 93:8 97:3,4	23:2 26:5,9,12	51:6 52:3,20	positive 3:5 4:4
97:17 101:14	27:21 28:1,6,12	58:12,17 59:12,16	129:15
permitted 76:2	29:6,6,20 30:12	60:7,8,18 63:2	possible 94:20
82:20 85:3,6,12	30:18 31:3,8,9,13	69:18,19,21 70:4	121:14 126:4
89:5 91:3	31:20 32:6 33:2	70:6,12,21 71:12	

[potentially - question]

potentially 90:19	priority 17:13,16	project 1:5 2:10	provision 5:12
power 13:19	19:12 23:8 30:13	2:11 38:6,8 42:1	68:15 87:5
prefer 107:4	32:1 39:13	52:18 55:9,17,20	provisions 68:11
preliminary 8:19	pristine 126:16	62:2 67:1 74:6	82:18 86:2 87:10
9:9 47:19 52:16	probably 27:19	75:7,18 78:5	112:12,18
prepared 20:5	34:19 52:4 61:10	80:16 81:15 83:19	public 2:18 3:7,11
35:20 101:16	67:5 72:4 92:20	85:9 86:4,8,14	3:19 4:4,14 5:3
130:6,8	122:3 130:14,21	88:5,21 89:11,12	7:3 8:1,3,10 23:5
preparing 94:11	problem 9:12 40:7	93:3 97:17 99:16	23:6,10 66:4,16
131:11,15	44:19 46:11 53:14	101:2,5 107:12	67:10 91:3 96:16
presence 133:9	56:11,15 57:6,9	114:13,14 118:15	101:1 102:10
present 26:12	96:21 97:10	125:12 126:13	103:17 104:12,15
123:1	102:13,18	129:6	104:21 107:20
presentation	problematic 33:8	projection 92:1	111:2 113:4
113:14	problems 54:3	projects 13:15	119:12 125:17,20
presented 4:10	58:9 126:21 127:2	38:4 62:2 107:13	126:1,5,9 128:13
79:1 110:20	proceed 45:6	114:8	129:5 133:2,17
117:16	proceeding 45:6	proper 104:17	pump 55:9,11
presents 70:12	proceedings 2:1	properly 36:12	79:12 80:2 84:6
president 5:8	process 5:2 6:8	properties 24:6,12	84:13,17,19,20
63:12	11:3,6 12:10 13:1	25:1,6 65:13 66:8	86:7,12,17 92:4
pressures 73:16	18:12,14,18 19:21	120:4,6,8 121:1	purchase 51:14
75:15	22:8,11 23:3 24:3	property 6:12	purported 80:15
pretty 20:14 55:4	26:5 34:10 40:11	20:7,14,21 21:7,8	purpose 111:21
112:8	51:16 55:10 60:11	65:4 82:7 110:15	112:2 116:20
previous 10:3 27:2	97:21 100:5	111:17 118:18	pursuant 9:1
previously 24:6	110:21 122:5	121:6	83:17
80:9	processed 21:21	proposed 6:19 7:7	pushed 76:11
price 1:18 32:2	processes 24:16	7:13 8:5 9:9 10:3	77:13 108:2
33:6,8,12 34:4,7	104:17	37:5 41:9,20	pushes 77:17 78:4
34:12,15 35:9,12	procurement	71:17 108:12	put 12:18 43:18
35:18 36:3,6,8,20	91:20 99:5	114:1,6	48:4 56:14 57:11
70:14,16,17,18	product 20:7	proposes 2:12,14	74:16 79:4,14
93:9,11,17 97:18	program 17:3	proposing 22:5	93:18,19,21 94:6
100:3 101:18,20	56:11 79:5	prosperous 62:4	96:18 101:14
102:5 110:8 113:7	programmed	proud 54:11 61:8	126:9 130:7
113:9,16 117:10	37:10 81:17 114:8	108:4 129:19	putting 98:9,10,17
principal 72:4	114:10,11,11	provide 3:10 78:8	122:4 127:19
101:2	115:2,10	112:3,10	129:8
printed 133:6	programs 115:12	provided 52:1	puzzle 104:5
prior 12:6 25:4	progress 81:18	69:17 70:5 73:1	q
27:5 50:16 58:20	99:16	117:7 121:4	
105:20	progressed 84:2	provides 71:20	question 23:7 26:7
		76:4 78:11	34:1,16 49:5
			54:18 58:11,14

[question - resolution]

	T	I	I
64:13 86:15 96:11	23:9 25:16 30:20	referenced 77:1	26:21,21 27:1,8
117:11	55:5 59:18 60:1,9	references 114:18	47:19 52:16 68:8
questioning 34:20	60:12,15,21 62:15	116:18	69:16 71:4 72:10
35:1	76:13 78:6 90:18	reflect 34:2 40:20	76:3 77:1 81:1,8
questions 11:6	94:6,10 95:15,18	65:6,7,9	121:5
12:15 23:20,20	97:20 98:9 104:10	reflects 65:2	reported 1:20
34:11 43:12 47:4	104:20 107:7	regard 44:3	78:10
48:18,21 49:18	110:21 126:10	regarding 1:5	represented 30:17
52:4 62:13,19	129:4 131:21	69:10 72:8	37:3
63:21 64:10 79:7	reason 100:6	regardless 41:13	representing
86:19 103:13	124:10	41:14	37:18
110:9 122:9	reasonable 92:1	regards 45:3	request 19:18,19
123:13,14 125:21	99:4 111:14	regulate 116:10	38:10 74:17
128:12,13 129:12	reasons 80:8	regulations 6:5	requested 38:3
quick 13:3 57:1	83:11 99:19	regulatory 9:21	118:7
102:14	reassure 63:18	111:6	require 70:2 71:9
quickly 57:6	receive 14:18	reiterate 36:9	required 5:10 6:2
quote 119:6	received 37:20	reiterated 50:5	31:4 50:11 71:12
r	47:4 51:10 69:14	relate 41:18 42:5	86:6 88:17 112:15
rainwater 58:20	83:7,19 119:16	55:1	requirements 6:7
range 29:5 30:18	recipients 119:13	related 50:19 51:2	50:1
31:9 32:6 33:2	reclassified 28:5	76:2 91:20 117:15	requires 38:7
119:5	reclassify 24:17	133:11	requiring 38:5
rate 89:3	31:21 39:11,12	relates 2:11 91:8	rescind 9:17 10:2
rates 88:7	reclassifying 23:7	relevance 26:19	10:10 80:11,15
ray 11:11 15:13	recommendation	relevant 6:14	82:5 83:9 85:2
15:21 21:19 34:13	19:16	110:14 114:15	86:13
36:20 40:8 43:17	recommendations	relying 101:11	rescinding 2:12
44:4,4,4 46:5 52:1	7:4 8:2	remain 23:13	9:13 125:8
52:1,5 53:9 54:13	recommended	remaining 87:19	rescinds 86:1
103:15 107:20	40:19	remember 53:13	rescission 10:8
108:5 130:11	recommending	remind 40:10 41:4	11:18,18,20 82:10
reach 62:7 125:14	48:11	43:3 56:13	82:13 85:7,17
reached 68:3	reconsidering	reminder 117:3	researching 59:15
95:16	12:1	remiss 105:10	reserve 87:17
reading 38:16	record 42:13	removal 71:8,12	residential 6:11
39:3 44:15	97:11	82:21 83:2 108:11	resolution 2:13
ready 40:17 45:5	recorded 133:6	renewing 127:14	7:1,2 8:12,13,17
45:6	recording 102:12	repair 79:11	11:8 19:7 22:1
real 13:3 57:1	recreational 67:10	replacement 78:9	23:14 25:1,5,13
102:14	redirected 92:6	79:11	25:19 32:11 33:19
realize 20:16	redirection 96:17	replacing 55:10	40:12,13 42:19
really 2:19 9:9	reduce 56:15 88:9	report 13:10	64:4,19 65:8,14
		16:17 20:6 21:10	65:16,21 66:11,12
10:11 20:9 22:9			·

[resolution - service]

66:15 67:16 68:7	reviewing 91:3	19:17 23:8,13,16	90:12
70:1 72:7,18	reviews 22:13	24:7 25:2,4,7,10	section 10:16 18:1
73:11 74:16,20	revised 96:17	25:17,20 26:13,13	27:19 89:21 90:1
78:4,7,15 80:2,7	revitalizing 55:11	26:13 28:2,6,18	90:12,13
80:12 81:12 82:14	rezoning 7:19	29:1,6,16,18 30:2	sections 92:5,8
83:13 84:5 87:10	41:21	30:8,11,12,15,20	114:17 116:1
89:1 93:17 94:12	rid 59:1	30:21 31:6,9,10	see 61:9 63:10
97:8 110:17	right 11:14 14:17	31:14 32:5,6,9,10	75:19 86:18 90:5
117:18,19 118:2	29:11 35:3 38:1	32:11,16 33:3,4	93:14 101:1,5,12
121:8,15,21 122:2	43:17 45:21 46:10	33:20 37:5,18	119:4 125:4 128:1
resolutions 4:12	47:21 54:5,5,8,12	38:13,19,19 39:7	seeing 121:13
22:21 27:2	55:3 57:11 62:17	40:20 45:20 46:3	seeking 6:15
resources 95:18	63:5,19 82:14	66:7,7 67:1,6,14	seeks 6:10
125:19	103:2,17 108:2,14	67:14 80:21 81:9	sell 100:12
respectful 64:14	130:12	81:10,15,16 82:6	send 13:4 33:18
respective 115:3	rights 96:13	110:15,15 111:17	34:4 44:21 84:6
respectively	road 78:3 120:4	117:2,6,21 118:3	131:18
118:10	robin 17:6 18:8	118:3,9,10,13,18	sending 8:9 15:8
responded 119:1	37:21 44:2,20	119:3	sense 32:21
responses 96:7	45:10	safe 4:6 107:11	sent 7:2 14:1,5
responsibilities	role 126:8,8	128:2	15:5,7 16:10,21
96:13	rolling 48:19,20	safety 104:16	17:4 44:2 107:3
rest 57:14 76:11	room 90:7	106:10 129:5	119:10
90:7 113:14	route 11:18	sales 90:2	sentence 97:8
restaurant 127:14	router 102:4	salinas 40:5,8	sentences 70:19
result 12:8	rubber 20:20 21:1	109:4	separate 7:9 10:19
resulted 51:7	rules 111:11	sat 125:4	31:4 69:12
results 51:11	run 51:5 52:14	saw 31:9	september 14:21
retain 66:7	54:3 60:6,17 87:2	• •	16:20 133:20
revert 12:5 82:6	running 53:4 56:4	24:10 34:17,18	septic 6:13
88:18	60:4	35:7,15 77:16	sequence 69:4
review 7:4 8:21	ryan 14:6 46:14	80:14 119:20	serve 68:17 69:18
9:10 13:11 16:17	46:19 47:2,7,14	says 18:10 19:16	75:6
19:1 20:6 21:11	47:16 48:10,17	38:17 44:3 87:11	served 21:14
24:15 26:21 27:1	49:3,20 52:15	99:20 114:12	71:11 81:21
27:8 47:21 68:8	55:3,21 57:16	116:14,19	service 13:14
69:16 71:5 72:10	58:16 62:12 70:14	scheduled 42:9,17	17:14 21:14 32:1
76:3 77:1 78:14	74:9 78:10 113:13	55:13 86:5	45:4,9 65:11
81:1,9 85:17 86:4	130:6	schemes 9:21	71:10 72:1 73:3
88:16 121:6	ryan's 103:1	school 127:8,9	73:18,21 77:20
122:16	S	sdat 20:13	80:19 81:2,11,13
reviewed 83:13	s 14:9,9,10,14	seal 133:13	81:16 82:3,12
84:10,12	16:17 17:14,15,20	second 26:7 32:3 54:12 65:11 75:14	114:12,17 115:1,2
	18:5 19:11,12,14	34.12 03.11 /3.14	115:3,8,10,18

Veritext Legal Solutions 202-803-8830 -- 410-494-8300

[service - starts]

116:4,8,9,11,13	shore 107:13	slightly 86:8	69:11,17 79:8
116:18,19 117:12	shoulders 130:12	slow 13:17	85:6 87:11 96:14
117:20 118:17	shovels 101:14	slower 16:1	96:15 113:20
119:3,6 120:7,9	show 19:14 22:11	sludge 48:14	114:20 115:13
120:13	23:15 27:3	51:17	116:18 117:15
services 14:9	showalter 59:9	smoke 55:13 57:2	121:15
session 1:4 2:4	62:11 63:10,12,20	57:4	specifics 82:15
132:1	64:3,7,16,17	solutions 3:2	specified 65:13
set 7:15 23:17	66:20 70:15 71:4	somebody 3:17	81:8
60:6 84:20 115:7	74:21 75:3 76:1	64:13,15	spending 58:8
133:4	76:18 87:4 88:15	soon 84:20 91:2	124:18
seven 41:13,16	88:20 93:9,10,15	91:13 92:3 93:7	spent 74:18
42:5 43:4,5 79:11	96:6 98:21 100:20	96:9 97:2,16	105:11
95:20,21 106:4	102:8,15,20 108:6	98:12 101:16	spirit 106:19
114:19	109:20 110:5,12	103:2	split 25:8
sewer 3:13 4:21	113:6,8,18 122:10	sooner 91:19	spoke 119:13
5:2,11,13,19 6:13	123:11	sorry 5:1 35:19,21	sponsor 8:15
6:16 7:10 9:3 10:7	showalter's	40:5 42:2 43:20	sponsored 8:13
13:10,14 14:9,19	105:18	53:15 70:16 71:2	spray 67:7,13
16:12,16 17:7,13	showed 28:1	102:3,17 103:21	69:20 82:19 91:6
18:6,15 21:14,18	showing 26:10,14	113:8,9	spring 55:14 57:4
21:20 22:10 23:2	26:15	sort 4:14 5:4 11:5	st 58:8
26:9,12 28:11	shows 26:11	47:9,12 109:10	staff 3:12,15 62:7
29:20 31:2,8,20	signature 133:15	128:18 129:2	123:11,19 130:9
32:1 33:15 37:4,7	signed 88:2,13	sounds 48:16	131:11,11,15
39:3 41:10 43:6	significant 78:6	54:10 61:3	stamp 131:1,2
45:12 46:3 60:4	86:15	south 128:6	stand 127:16
65:5,12 67:11	similar 77:14,19	southern 81:14	standard 83:1,21
68:1,10 69:10,12	simplify 3:8,18	82:2	standards 53:4
72:9,12 76:20	44:17	span 50:21	106:5
77:15,21 78:2	simply 9:16	speak 34:13 52:5	standing 120:15
80:19 81:3,13,16	100:19	64:7 105:2 106:21	start 2:3 13:12
82:1 83:21 85:21	single 50:21	speaker 43:18	16:8 18:14 46:21
87:7 111:5 112:5	sir 12:17,18 64:2,6	55:20 56:1 59:10	47:16 52:19 53:2
113:20 114:17,19	103:18,19 104:1,3	59:11	77:17 93:7 99:7
114:21 115:2,13	104:3 110:4 131:2	speaking 80:12	103:3,8 113:7
115:21 116:3,17	sit 106:8	96:21	started 72:20
118:9 119:3,5	site 51:15 67:1	specific 28:13	86:10
120:13 127:1	situation 4:15	82:17 104:10,20	starting 27:7
shading 116:16,19	10:11,17,18	118:4	30:11 77:12
share 53:16 122:7	six 78:17	specifically 2:11	109:14
shared 131:4	size 77:3	3:14 7:12 11:9	starts 100:4
sheeting 20:20 21:1	sleeved 56:1	12:8 66:8,15 67:19 68:9 69:2	113:10
41.1		07.19 00.9 09.2	

[startup - thank]

			T
startup 48:5 87:12	style 128:6	73:16 74:7 75:1,4	talks 76:10
88:21 90:12	subfactors 42:4	75:4,6,9,12,14	tank 70:9
state 26:1 129:17	subject 66:2 87:12	76:15,20 77:15	tax 20:11,14 25:6
133:1,2	subjects 63:15	79:10,18 84:8	team 54:8
state's 112:3	submit 47:18 66:6	89:6,18 115:20	technical 125:1
statement 104:11	78:13 122:3	systems 5:13,13	technically 29:17
station 55:9 84:6	submitted 52:15	5:17,17 68:16	technology 50:7
84:14,18,21 86:7	84:9,11	69:10 112:5	71:18 83:1 101:7
86:12,17 92:4	substance 111:1	127:21	108:13 127:18,19
stations 55:11	substantially	t	telling 33:10 37:17
79:12 80:2	74:10,11 84:21	table 2:9 73:13	tells 37:21 128:8
status 17:13,16	subtitle 6:3,4	74:5,17 76:19,20	temporary 87:6
19:13 23:9 30:13	successful 62:5	77:8 78:8 79:3	ten 5:18 29:10,13
31:3,5 32:1 39:13	129:18,19	86:14 107:21	31:12 32:13
40:21	suggest 121:12	114:10,13 122:11	109:11 130:2
statute 10:19	suggested 68:12	125:10 129:10	term 32:7 34:19
111:7 112:3	120:2	tables 72:12 113:1	50:3
statutory 9:20	suggestions 93:1	tackle 117:5	terms 3:18 67:14
stay 85:14 95:7	summarize 4:20	take 9:6,18 12:9	terrible 70:18
stenographic	summarizes 18:9	24:19 25:11 27:2	test 57:1,2
133:6	summer 20:4	29:14,18 30:21	testimony 42:13
stenographically	89:19,20	32:15 44:10 48:5	testing 50:20
133:6	supply 5:13,19	48:14 53:11 56:6	55:13 57:5,12
step 63:1,3 123:6	73:6,18 75:5	61:1 63:20 64:10	text 20:4 25:16
stepped 124:14	100:17 112:5	91:21 122:20	26:17 28:3 31:18
steps 32:17 126:7	115:19	123:6	38:10 66:1,10,10
128:8	support 4:8,9 54:1	taken 56:15	67:17 69:2,10
steve 16:21 17:1,4	61:4,16 107:16	104:18	70:2 71:9,20
53:19	121:2 129:21	takes 100:14	111:16 112:21,21
stipulations 133:8	130:19	125:18	115:4,9,21 116:5
stop 86:18 100:5	supposed 3:4	talbot 1:1 6:8 37:3	116:6,14,21 117:7
storage 58:21	sure 12:20 15:14	37:7 98:5 105:16	122:2
store 127:15	34:18 46:17,17	107:11 112:6	thank 5:7 12:13
storing 51:4 58:20	47:2,6 54:11 58:4	119:2,4,11 126:14	26:6 36:20 39:16
straight 32:16	61:18 64:12 71:4	133:3	39:17 40:5 43:14
stringent 83:3	86:3 95:15 102:14	talk 11:8,15 50:2	57:7,17 58:1,2
strong 99:11	104:7 107:16	64:14 80:5	59:6 63:8,9,12
structural 71:15	113:5 124:20	talked 10:8 17:17	71:3 101:21
structure 70:9	127:19	59:19	103:14 106:21
struggles 55:5	system 5:19,19	talking 10:12	107:1,19 113:12
stuck 100:7	6:11,13,14,16	12:21 15:14 21:21	113:13,15,16,18
studies 68:20	48:12 55:1 67:18	28:15 61:17	122:10,18 123:2,3
stuff 3:6 4:3,12	68:19 69:6 71:6	129:13	123:9 124:17
62:3 131:17	72:14 73:6,12,14		128:11,16,17,19

[thank - trappe]

130:5 131:4,5,11	111:2,15,15 113:3	timeline 94:10	59:14 60:10 65:7
131:13	116:1 117:9	106:7	65:9 67:20 68:12
thanks 43:7 46:4	119:15 120:5,12	times 14:19 22:14	69:15 74:6,15,17
105:3 124:16	120:20 121:7,11	32:4 50:5 93:12	74:18 75:3 76:15
131:10,14	121:20,21 122:15	97:11 117:11	77:5 78:21 79:2,7
thing 12:20 15:4	124:1,7 127:4,6	timetable 88:16	79:10,13,20 80:4
57:21 64:18 65:1	127:10,16 130:21	timing 7:17,18,18	80:16 81:10 83:15
65:11 69:9 72:7	thinking 57:18	41:19,20,21,21	83:17,19 84:14
74:4 80:5 94:1	69:15	42:2 73:5 76:12	85:5,9,12,15 86:3
98:3 99:1 106:12	thomas 4:19 5:7	91:10,12 92:17,18	86:9,11,21 87:1,7
107:18 108:2,15	12:3 46:9 85:19	92:21 99:6	87:14 88:2,4,8,12
109:8 110:1	131:13	title 6:2,4 65:21	89:3,4,5,8,17 92:5
112:13 117:9	thought 2:6,9 32:6	66:10 125:16	94:14 95:2 96:12
120:2,17 126:11	35:20	today 77:7 110:19	106:1 107:19
129:9 130:12	three 14:12,13,13	118:20	108:6,16,18,20
things 13:3 19:8	32:12 42:21 67:4	today's 83:1	112:6 114:20,21
21:9 22:15 32:21	70:19 81:18 82:3	tonight 2:5 3:2,9	116:4,7,11 117:1
35:2,4 36:2 45:1	82:7,9,10 90:10	3:10 4:11,13	117:4,16 118:8
47:10 57:11,13	91:21 105:14	47:10 63:11 80:10	119:5 120:9
85:16 105:13	113:10	97:12 104:4,6	123:10 124:2
128:18 129:20	tie 60:7	105:9 107:6 109:3	125:21 127:12
think 3:1 10:1	tien 119:8	109:14 113:13	town's 69:6 72:3
12:3,7,20 13:18	tilghman 56:18	122:19 123:14	78:12 83:20 84:7
20:3 21:5,16 23:4	57:4	124:18 125:11	84:10 85:18 87:15
23:6,10 25:15	time 4:7,18 6:6,6	126:7,12 128:20	88:7 120:7
28:15,16,18,21	11:13 13:7 14:16	129:5 130:4,8	towns 15:11 27:14
29:4 30:6,9 31:16	14:20 15:8 16:13	131:9	27:15,20
32:20 44:21 46:7	20:20 27:9,20	tool 5:12 51:14	transcribed 133:6
46:12 47:16 49:4	28:20 31:13 33:11	112:9,10,10	transcript 2:1
49:20 50:5 51:21	37:12,15 40:15	top 56:3 58:4	transcription
52:1 53:19 55:3	42:19 43:14 44:10	104:16 126:16	133:7
56:19 57:2 59:11	46:6,9 49:2 51:12	total 67:5 90:14	trappe 2:15 3:20
60:20 61:16 62:19	51:18 53:3 59:3	tower 69:7 73:15	4:5,8 13:21 22:15
63:21 64:17 65:1	62:7,20 63:21	75:14,15	26:10 27:10 46:13
67:2,4 77:5 80:5,9	64:14 68:3,14	town 13:5,12 16:4	46:15 47:5,18
85:8,13,16 86:14	77:10,18 81:7,7	16:6 17:12,20	48:15 50:17 52:2
89:13 94:15,16	87:2 91:16 92:2	18:4,12,13,17,20	52:8 53:8,17 54:9
95:3,16,19 97:9	92:14 99:4 103:14	18:21 19:6,9,15	55:7 57:9,10 58:4
98:19 99:14 101:4	118:1,15 122:20	19:16,16 21:11	59:14 60:1,10,21
101:8,10 103:2,16	124:12,18 125:5	22:15 27:9,10	62:20 63:1,4
103:16 104:14	125:11,19 127:10	28:5 29:19 31:20	65:14 67:20 68:10
106:2,9,11,13	127:13 128:11	45:13 47:18 48:15	68:11,17 69:13,15
109:4,8,14 110:6	133:4	50:16 51:2,4,18	69:18 70:3 71:10
110:13,13,14		52:2 53:17 55:7	72:9,15 73:3,6,8

[trappe - walk]

73:16 76:20 77:3	21:17 27:19 30:21	101:1 105:18	upgrades 86:12,13
80:17 81:10 83:5	34:7 35:13 36:6,8	119:19 125:18	86:17
84:15 92:6 94:14	36:11,18 37:18	126:4 129:6	upgrading 52:9
98:5 99:12 106:1	39:13 44:9,13,16	understanding	upset 99:20
106:9 107:8,16,19	45:17 57:3 58:21	38:12 88:8 111:4	use 7:16 18:10
108:7,16 112:6	60:2,9,21 75:20	130:16	41:8 82:19,21
114:21,21 115:13	95:5 96:1 101:21	understands 3:19	88:9 90:7 116:3
116:1,2,5,13	123:19 124:6,8	38:12 87:3	uses 7:19 42:2,3
117:1,16,20 118:8	131:16	understood 118:1	usually 24:16
119:1,5 120:11	turn 4:19 11:7	undertaken 55:7,9	usurp 9:18 10:6
123:11 125:21	23:19 62:10	undo 9:17	utilities 21:16
126:15 127:13	twenty 70:7	undoing 64:5 80:6	utilized 85:15
128:7,9 129:19,20	twice 33:1	undone 11:1,2,16	v
130:5	two 15:3 23:12,16	unfortunately	various 32:17
trappe's 49:4,5,21	24:2,5 34:11	55:6 106:4	116:16
50:2,6 78:9	37:16 43:1 48:6	unintentional	verb 82:14
treated 101:6	50:18,20 53:5	24:9	versus 52:17
treatment 48:12	59:16 62:2 78:6	units 81:20 83:18	versus 32:17 video 93:14
51:6 52:3 60:6,8	85:16 91:21	87:21 89:6 93:3	view 20:7,15,21
69:4,6,18,21 70:4	105:15 116:2,7	unprogrammed	view 20.7,13,21 violation 9:20
70:6,11 71:6,7,13	118:11 126:16	25:3,10,12 26:1	violations 50:18
71:16,21 72:3	type 20:10	26:13 29:16 30:2	51:2,20 78:18
73:2 74:7 75:11	typically 8:12	30:11,17 31:6	visiting 90:6
75:16 76:15 77:2	20:12	32:16 45:19	vote 8:3 42:21
77:9 78:10,12	u	110:15 115:17	105:15
82:16,19,21 83:5	ultimate 9:19 10:6	117:21 119:15	voted 47:18 126:3
84:9 85:19 86:3	10:17	120:1	votes 8:16
88:1 91:2,14,18	ultimately 12:10	unwinding 82:13	
92:3,7 93:4 97:2	14:5 15:12 17:1,4	update 13:9	W
97:15 108:11,14	17:10,17,21 18:2	updated 65:10,11	w 1:17 16:18
114:2 115:19	18:4,8,9 19:5	67:16 69:2,9 70:2	17:15,20 19:12,12
120:3 126:17	20:13 21:16 22:2	72:11,13,19 76:9	19:14 23:8,16
tremendous	22:20 26:4 28:1	76:12	24:7 25:7,17,20
125:19	28:21 37:12,20	updates 76:6	28:6,18 29:1,6
tried 51:5 97:19	84:8	114:5	30:16 31:10 37:5
true 34:19 86:7	uncertainty	updating 27:6,13	37:18 40:21 45:20
133:7	111:10	upgrade 6:16	46:3 66:7,7 67:1,6
truly 2:19 60:1,15	undergoing 78:14	24:15 47:20 48:10	81:15,16 117:2,6
76:13 97:6	undergoing 78.14 understand 2:19	52:9 60:17 75:1	117:21 118:2,3,9
trust 65:15	3:5,15 5:4 31:1	78:8,12 80:3	118:9,13
try 3:18 21:4	32:15 38:18 62:16	85:18 86:2 114:1	wait 35:10 119:14
56:21 108:1,20	64:18 67:2 68:4	upgraded 63:2	waiver 10:13
trying 13:8 14:3	72:4 74:10 81:5	66:14	walk 113:2
15:2,4 19:9 20:17	83:6 86:9 89:7		
	05.0 00.7 05.7		

[want - zoning]

4 2 10 4 6 6 0	60 1 10 16 10	4 17 7 10 10	06.2.5.00.4.0
want 2:19 4:6,6,8	68:1,10,16,19	went 17:7 19:19	96:2,5 98:4,8
4:9 15:13 18:10	69:4,5,7 72:12,14	22:3 23:5 26:4	written 36:11
19:17 30:12 33:19	73:1,6,14,15,16	40:11 41:2 83:12	wrong 21:8 35:8
33:20,20 34:1	73:16,18 74:1,5	109:4	35:17 44:13 98:8
36:13,14,15 46:21	75:1,4,4,5,5,11,14	wet 84:17	X
48:18 52:5,8 53:9	75:14,16 76:14	whatnot 13:13	xyz 33:20
55:18 59:8 62:4,5	77:19 80:18 81:2	white 73:18,21	
62:5,6 64:13 99:2	81:13,16 82:1	77:19,21 116:12	y
99:18 100:12	85:21 87:7 95:17	120:10	y'all 23:19
101:1,5 102:13	111:5 112:4	wholesale 121:9	yeah 40:8 44:5
103:19 104:7	113:20 114:16,21	wide 56:11 60:4	55:21 56:1 59:20
105:6 107:8,9,11	115:2,12,19,21	willing 23:11	60:19 123:9
109:21 110:5	116:17 118:8	97:14	year 10:14 14:12
113:12 119:19	119:2 127:20,21	withdraw 8:14	14:14,19 29:10
122:18 123:9	waterman 10:9,12	witness 133:13	31:12 39:5 48:2,3
125:16 128:5	wave 48:12	wonderful 94:1	50:2 52:13,14,20
129:18,18,18,19	way 12:3 27:19	95:6	56:12 65:5 79:19
130:5 131:10	30:16 31:7 34:17	words 40:3 59:8	81:18 82:7 114:13
wanted 40:10 43:3	61:20 89:4 95:9	70:11 82:11 94:11	115:20
47:4 87:17 102:14	96:3 97:13 101:12	101:15 118:19	years 5:18 29:13
wants 61:14	108:2 113:1	131:12	32:12,13,13 48:6
wastewater 2:16	119:21 127:5	work 1:4 2:4	53:5 59:16 60:13
69:18,20 70:3,6	128:2 129:2	18:11 27:15 45:13	65:8 70:7 73:7
71:16 72:3 77:2,9	133:11	54:8 56:18 97:12	76:8 77:13 80:20
78:9 82:15 83:5	we've 2:7,17 3:3	108:18,20 126:19	82:3,9,10 113:21
84:7 85:19 91:2	4:1 52:15 56:14	126:20 131:16	117:5 118:11
91:14,17 92:7	58:6 88:11 99:1	132:1	119:8 130:13
97:2 101:6 108:14	109:13,13 127:7	worked 19:5 26:1	yup 46:20 47:9,9
114:2 115:19	130:3	97:19	47:14 49:3,19
126:16	webex 1:10	working 16:7,14	54:20 63:14,14
water 3:13 4:21	133:13	16:15,17 22:15,16	103:10,10
4:21 5:1,11,13,19	weeds 26:8	22:16,17 53:21	Z
6:16 7:10 9:3 10:7	week 47:18 109:10	99:7 106:12	zoning 10:14
13:9 14:19 16:12	130:1	108:16	
16:16 17:7,13	weeks 74:13	works 6:9 7:3 8:1	
18:6,15 21:14,18	128:21	8:10 60:13 103:17	
21:20 22:9 23:2	weigh 47:5	104:13 105:1	
26:8 28:11 29:20	weighed 42:4	107:20 119:12	
31:2,8,20 32:1	weird 35:6	126:1	
33:14 37:4,7 39:3	welcome 63:11	worried 45:19	
41:10 43:6 45:12	125:3 131:18	wrap 128:18	
46:2 51:4,6 56:4	welcoming 2:4	write 35:13	
58:21 59:2 65:5	wells 69:3 75:8,16	writing 93:19,21	
65:12 67:11,17		94:3,7 95:7,10	